



1

2 SUPREME COURT OF THE STATE OF NEW YORK
3 COUNTY OF NASSAU : TRIAL TERM PART 12

X

4 BESSIE SAVINO, as Executrix of the Goods,
5 Chattels and Credits of MICHAEL SAVINO,
Deceased, and BESSIE SAVINO, Individually,

6 Plaintiffs,

7 -against- Index No. 27262/82

8 FRANKLIN GENERAL HOSPITAL, S. PAUL ZOLA,
M.D., JEROME J. ZUFLACHT, M.D., ALBIN
9 ALGIRD BAGDONAS, M.D., Individually, and
ZUFLACHT, M.D., ZOLA, M.D., & BADGONAS,
10 M.D., P.C.,

11 Defendants.

X

12 _____
13 Mineola, New York
May 6, 1994

14 B E F O R E :

15 HONORABLE HARRY H. KUTNER
16 Justice of the Supreme Court
and a Jury

17 A P P E A R A N C E S :

18 PEGALIS & WACHSMAN, P.C.
19 Attorneys for Plaintiffs
BY: MICHAEL A. CARLUCCI, ESQ., of Counsel

20 KOPFF, NARDELLI & DOPF, ESQS.
21 Attorneys for Defendant Franklin General
BY: SUSAN D. NOBLE, ESQ., of Counsel

22 ROSSANO, MOSE, HIRSCHHORN & CORLETO, ESQS.
23 Attorneys for Defendants Zola, Zuflacht & Bagdonas
BY: MADELEINE HIRSCHHORN, ESQ.

24 MARIA C. GARDNER, CSR, RPR
25 ARNOLD COHEN, CSR, CM
Official Court Reporters

1 Dr. DiGiacinto - for Deft - Direct

2 (Jury entered.)

3 THE COURT: Good morning.

4 Ready to proceed, Miss Hirschhorn?

5 MS. HIRSCHHORN: Yes.

6 THE COURT: Call your witness.

7 MS. HIRSCHHORN: Dr. George DiGiacinto.

8 D R. G E O R G E V. D I G I A C I N T O, called by the
9 Defendant herein, 53 East 67th Street, New York, N.Y.
10 10021, being duly sworn, was examined and testified
11 as follows.

12 DIRECT EXAMINATION

13 BY MS. HIRSCHHORN:

14 Q Good morning, doctor.

15 A Good morning.

16 Q Doctor, can you tell the court and jury about your
17 education and medical background, please?

18 A Yes. I attended Columbia College in New York City
19 and graduated in 1966. In 1966 to 1970 I attended the Harvard
20 Medical School. From 1970 to 1972 I did two years of surgical
21 residency at the Roosevelt Hospital in New York City. After
22 being in the United States Navy from 1972 to '74, one year of
23 which was spent as a neurology officer, I began my training in
24 neurological surgery. I trained from 1974 to 1978 at Columbia
25 Presbyterian Medical Center in New York, New York, and

1 Dr. DiGiacinto - for Deft - Direct
2 completed my formal education.

3 Q Thereafter, what did you do professionally?

4 A In 1978 I went into the private practice of
5 neurosurgery, and also became an instructor in neurosurgery at
6 Columbia Presbyterian Medical Centre. I've been in practice
7 and an instructor since that time.

8 Q Doctor, do you have any present hospital
9 affiliations?

10 A Yes, I do.

11 Q What hospitals are you affiliated with?

12 A I am an attending neurosurgeon at the St. Luke's
13 Roosevelt Hospital Medical Center, Beth Israel North Medical
14 Center, and Harlem Hospital Medical Center, all in New York
15 City.

16 Q Do you hold any positions with any of these
17 hospitals?

18 A Yes, I do. I am an instructor in neurosurgery at
19 Harlem Hospital Medical Center through Columbia University, and
20 I am the active director of the division of neurosurgery at St.
21 Luke's Roosevelt Hospital Center in New York.

22 Q Doctor, are you board certified in the field of
23 neurologic surgery?

24 A Yes, I am.

25 MS. HIRSCHHORN: Your Honor, at this time I

1 Dr. DiGiacinto - for Deft - Direct

2 would ask that this witness be declared an expert in
3 the field of neurosurgery.

4 MR. CARLUCCI: No objection, sir.

5 MS. NOBLE: No objection.

6 THE COURT: Proceed.

7 Q Doctor, can you tell the court and jury the
8 difference between the specialties of neurology and
9 neurosurgery, please.

10 A The specialty of neurology is involved primarily with
11 the diagnosis of diseases of the nervous system. Am I speaking
12 loud enough? I can't tell from here, I'm sorry. That diagnose
13 will include the evaluation of the patient and ordering of
14 certain tests.

15 The specialty of neurosurgery will include that
16 area of diagnosis and go beyond that to include the area of
17 surgical decision-making and treatment of diseases of the
18 nervous system, which are amenable or which will benefit from
19 surgery.

20 Q Prior to becoming specialized in neurosurgery, what
21 was the extent of your training in general surgery?

22 A I did two full years of training in general surgery
23 as a house officer at the Roosevelt Hospital in New York City.

24 Q Doctor, when were you asked to review this file?

25 A I believe I was contacted by your office in December

1 Dr. DiGiacinto - for Deft - Direct
2 of 1993.

3 Q And since that time, have you and I had occasion to
4 meet prior to you coming to court today?

5 A Yes, we have.

6 Q Doctor, are you being paid for the time you spend
7 reviewing the records and the time you are spending testifying
8 in court today?

9 A Yes, I am.

10 Q What would your fee for the services be?

11 A My fee for the services of review will be on an
12 hourly basis, and it was a fairly big chart, I believe it's
13 eight or ten hours of review to this point. And my fee for
14 testimony today will be \$2,000.

15 Q What will your hourly fee be, doctor?

16 A \$250 an hour, I'm sorry.

17 Q Doctor, from your review of the admissions of Michael
18 Savino to Franklin General Hospital, I would like you to
19 assume, from the record which is in evidence and from testimony
20 elicited during the course of this trial, that Michael Savino,
21 on April 27th of 1980, came to Franklin General Hospital by
22 ambulance; that he had been in urinary retention for a period
23 of 48 hours, and was asked by his family doctor, Dr. Fahnrich,
24 to see Dr. Klein at Franklin General Hospital; he came there by
25 ambulance, and he was seen by Dr. Klein, an attending in

1 Dr. DiGiacinto - for Deft - Direct
2 urology, and a catheter was inserted. The patient gave a
3 history of a CVA, or a stroke, ten or twelve years before, and
4 a history of diffuse arthritis.

5 In the days from April 27 to April 28, the first
6 two days of the patient's admission, and prior to the time that
7 he was seen in consultation by Dr. Zola, there is a record from
8 the History Physical and Nurses Notes that were obtained during
9 that period of time that this patient was unable to stand, had
10 diffuse arthritis in all four extremities, had difficulty using
11 the left hand and making a fist; and during the course of his
12 care at Franklin General Hospital, he was treated by Dr. Klein
13 and underwent an intravenous pyelogram which showed
14 trabeculation of the bladder. On examination, he had an
15 enlarged prostate.

16 And while under Dr. Klein's care and treatment
17 in the first two days of his admission, Dr. Klein determined
18 that he had an abdominal distension, and on April 29, two days
19 after Mr. Savino came to Franklin General Hospital, he called
20 Dr. Zola to see the patient in surgical consultation. Dr. Zola
21 examined the patient and treated the patient conservatively
22 initially with a Levin tube and then a Cantor tube.

23 Sir, it was then determined, after a series of
24 tests were ordered, that surgery was required. However, when
25 Dr. Zola called in internists to assess the patient's

1 Dr. DiGiacinto - for Deft - Direct
2 condition, internists other than his family doctor who had seen
3 him, it was determined that this patient had cardiac problems
4 and, therefore, surgery was delayed.

5 In the interim, patient was found to have liver
6 and kidney problems as determined by laboratory tests that were
7 ordered to assess various kidney and liver functions. He was
8 also found to be protein depleted with very low albumins, and
9 was treated with a course of hyperalimentation. The patient
10 subsequently went on and was cleared for surgery, and Dr. Zola
11 operated, found an abscess, peritonitis, and created a
12 colostomy.

13 Patient had problems recovering from anesthesia,
14 and had been assessed as a poor anesthesia risk, a grade 4,
15 prior to the time he was operated on, with a notation of
16 B.U.N., blood urea nitrogen level with three arrows going up in
17 the air.

18 Ventilation assistance was required for
19 breathing, but the patient was weaned off the ventilator, and
20 during the course of his admission was gradually weaned off
21 hyperalimentation and was able to resume taking some oral feed.

22 He also received bedside physical therapy, and
23 there are records in the hospital chart by the physical
24 therapist indicating that the patient was showing improvement,
25 and both active and passive range of motion exercises were

1 Dr. DiGiacinto - for Deft - Direct
2 performed.

3 When this patient, who had all of these
4 presenting problems and problems that occurred during the
5 course of his admission, was discharged on June 19, he was
6 weak, he was debilitated, and he was unable to stand.

7 Based on this picture of this patient, from the
8 time of his admission to the time of his discharge, do you have
9 an opinion, with a reasonable degree of medical certainty, as
10 to whether the symptoms the patient exhibited on discharge were
11 consistent with his past history and present condition?

12 A Yes, I do have an opinion.

13 Q Doctor, can you tell us what your opinion is.

14 A My opinion is that the symptoms which the patient
15 exhibited upon discharge and through his hospital course were
16 related primarily to the two processes which had been
17 identified and were being actively treated. More specifically,
18 the urinary obstruction secondary to an enlarged prostate, as
19 further demonstrated by physical exam and trabeculation, or
20 muscular overgrowth of the bladder, and his acute abdominal
21 problem which was related to an intra-abdominal abscess causing
22 a small bowel obstruction.

23 These two processes, and most specifically the
24 major process being the acute abdominal infection and
25 obstruction, led to a patient who was becoming progressively

1 Dr. DiGiacinto - for Deft - Direct

2 more and more weakened and debilitated due to protein loss, due
3 to what we call a catabolic state, or the body literally is
4 living off itself rather than being able to obtain nutrition
5 from the outside.

6 All of these factors add to a picture of a
7 generally very debilitated patient. And that's what we're
8 seeing through the course of the hospitalization and up to the
9 time of discharge.

10 Q Was the ileus caused by spinal cord compression?

11 A The abdominal distension, which was found to be an
12 ileus, was caused by the intra-abdominal infection causing a
13 mass which blocked the flow of fluid through the tube, or hose
14 which we call the small bowel. So it was related to an
15 infection in the abdomen.

16 Q Doctor, if a neurologist had been called in by any of
17 the doctors who treated Mr. Savino during his first admission,
18 and the neurologist called in a neurosurgeon, or any of the
19 treating doctors went directly and called in a neurosurgeon
20 during this first admission in April, was Mr. Savino a
21 candidate for a myelogram at any time during the first
22 admission after Dr. Zola saw this patient in surgical
23 consultation?

24 A In my opinion he was not.

25 Q Why?

1 Dr. DiGiacinto - for Deft - Direct

2 A By the time Dr. Zola saw the patient in consultation,
3 he had an on-going process which very clearly was related to an
4 intra-abdominal abscess. This became progressively clearer and
5 clearer. This patient, therefore, had an on-going infection
6 and a progressively downhill course in terms of his ability to
7 tolerate any type of testing.

8 Furthermore, he was found, from a cardiac point
9 of view, to be a very bad risk for any sort of procedure. Very
10 specifically, with relationship to the myelogram, there are two
11 things that make him -- or three things that make him not a
12 candidate. Number one, it is an absolute contraindication to
13 perform a myelogram and stick a needle from the outside through
14 blood vessels and soft tissue into the spinal fluid space,
15 which is a very, very isolated space, the presence of infection
16 of the type that Mr. Savino was suffering from afforded an
17 absolute contraindication.

18 Secondly, the physical performance of a
19 myelogram, which required that a patient be positioned with his
20 stomach down and tilted on a tilt table so his feet are much
21 higher than his head, would have been impossible in this
22 patient both because of his abdominal distension and because of
23 his general cardiac condition.

24 So I feel that it would have been impossible to
25 perform a myelogram, and it would have been absolutely

1 Dr. DiGiacinto - for Deft - Direct
2 inappropriate to try to perform a myelogram given the medical
3 history that we have available on this patient.

4 Q Doctor, during the course of this first admission,
5 if, indeed, despite the contraindications for it, a myelogram
6 had been performed and there was an indication of a need for
7 surgery to correct the patient's spinal cord compression
8 problem, do you have an opinion, with a reasonable degree of
9 medical certainty, as to whether Michael Savino was a candidate
10 for a cervical laminectomy during the course of his admission
11 from April 27 to June 19 of 1980?

12 A I do have an opinion.

13 Q Will you please tell us what your opinion is in that
14 regard.

15 A My opinion is that at no time during that
16 hospitalization was this patient a candidate for cervical
17 surgery. He was too debilitated, as even with the assumption
18 that the tests could have been done, he would not have
19 medically or surgically tolerated such a procedure. Moreover,
20 there was no evidence that I have available that there was any
21 reason at that time to perform a cervical laminectomy on this
22 patient.

23 So either in the hypothetical and in the reality
24 of this patient, there was medical contraindications of
25 performing either a myelogram or surgery, and there was no

1 Dr. DiGiacinto - for Deft - Direct
2 reason to perform the surgery.

3 Q Was this patient receiving physical therapy during
4 the course of his admission?

5 A The hospital record indicates that when it was
6 possible he was, yes.

7 Q Was this appropriate for Michael Savino under the
8 conditions with which he presented and his past medical
9 history?

10 A Yes, it was.

11 Q If there had been a diagnosis of cervical stenosis or
12 narrowing of the spinal cord during the patient's first
13 admission, would that diagnosis at that time, the first
14 admission, have changed the management of this patient while he
15 was at the hospital from April to June?

16 A No, it would not.

17 Q Why is that, doctor?

18 A Again, he was admitted with one acute process, which
19 was urinary obstruction due to prostate enlargement, and he
20 then was found to probably have on admission and to further
21 develop during admission a major abdominal catastrophe, an
22 intra-abdominal infection which was causing an ileus or failure
23 of the bowel to move properly, and an actual blockage of the
24 bowel. Both of those conditions absolutely took precedence and
25 had to be treated.

1 Dr. DiGiacinto - for Deft - Direct

2 Moreover, the lack of any documentation of
3 progression of anything that might be attributed to cervical
4 spinal cord compression and, indeed, the documentation of
5 improvement in strength, which I feel was mostly due to an
6 improvement in his overall condition, that factor in addition
7 to the major contraindications I've already discussed failed to
8 ever make him a candidate for surgery, and failed, therefore,
9 to change any management during that first hospital admission.

10 Q After the patient is discharged on June 19, 1990, he
11 sees Dr. Zola in the emergency room at Franklin General
12 Hospital on July 5, 1980. And during that time, Dr. Zola
13 debrides decubiti that the patient had and checks his colostomy
14 site. There is no complaint by the patient or his family of
15 any other changes in symptoms and, indeed, in a note in a home
16 health care nursing record for a period running from July 2 to
17 July 4, the only notation of patient complaints as relayed by
18 the family is of some temperature and diarrhea.

19 Do you have an opinion, with a reasonable degree
20 of medical certainty, as to whether Michael Savino would have
21 been a candidate for a myelogram in the interim from June 19 to
22 July 5, 1980, when Dr. Zola saw this patient in the emergency
23 room at Franklin General Hospital?

24 A Yes, I do have an opinion.

25 Q What is your opinion, doctor?

1 Dr. DiGiacinto - for Deft - Direct

2 A That he would not have been a candidate.

3 Q Why is that?

4 A He was recently discharged from the hospital. While
5 his acute problems had been treated, he was certainly in a
6 recovery phase. He was eating better at home, he was gradually
7 building up his strength, his protein reserve, what I described
8 this catabolic body breakdown state was being reversed and he
9 was progressively building up.

10 Moreover, there's nothing that I've been aware
11 of in evidence that shows that there's been any loss of further
12 function. And given those two factors, there's no indication
13 at that point in time to proceed further with his evaluation or
14 treatment.

15 Q Prior to your coming here today, there was testimony
16 by Dr. Robert DeLorenzo, a neurologist, who practices in
17 Virginia, and Dr. DeLorenzo testified that if during the first
18 admission Michael Savino had undergone myelography he would
19 have been a candidate for cervical laminectomy, and that had
20 cervical laminectomy been performed during the first admission
21 and preferably early on in April and May, that bowel and
22 bladder problems that Michael Savino suffered from during this
23 admission would have been reversed.

24 Do you agree with this opinion that was rendered
25 by Dr. DeLorenzo?

1 Dr. DiGiacinto - for Deft - Direct

2 A No, I do not.

3 Q Why don't you agree?

4 A I'll try to break it down and be as responsive as I
5 can to the question.

6 I've stated my opinion as to why the patient was
7 not a candidate for studies. I stated my opinion as to what
8 explained the bowel and bladder problems. Those two factors
9 certainly weigh heavy in my statement that I do not agree with
10 Dr. DeLorenzo.

11 Moreover, I feel that if we make the assumption
12 that any of the bowel and bladder problems were related to
13 cervical spinal cord compression, ignoring the prostate
14 enlargement, ignore the intra-abdominal abscess, et cetera, et
15 cetera, if we make the assumption that they were related to the
16 spinal cord compression, then with a high degree of medical
17 certainty these findings would not have been reversible by a
18 cervical laminectomy.

19 A cervical laminectomy is realistically
20 presented to the patient as a way of stabilizing his current
21 condition. By that I mean the hope is that further progression
22 and loss of function would stop. The likelihood of any
23 existing condition reversing is essentially nil. And,
24 therefore, given the assumptions that I've made in this answer,
25 performing a cervical laminectomy, if it were possible, if it

1 Dr. DiGiacinto - for Deft - Direct
2 were indicated, would not have resulted in a reversal of bowel
3 and bladder symptoms if they were related to the cervical
4 spinal cord compression.

5 Q Do you perform cervical laminectomies during the
6 course of your practice?

7 A Yes, I do.

8 Q Do neurologists performs cervical laminectomies?

9 A No, they do not.

10 Q If the patient underwent myelography and cervical
11 laminectomy despite what you have said about the
12 contraindications for such a procedure, even if there were
13 signs and symptoms indicative of cervical stenosis, would a
14 cervical laminectomy performed in the interim between June 19,
15 1980, and July 7, 1980, have resulted in any reversal of the
16 symptoms which the patient had?

17 A In my opinion it would not have.

18 Q We've been talking about cervical stenosis throughout
19 the course of this trial. Can you as a neurosurgeon define
20 cervical stenosis for us?

21 A I'll certainly try. The cervical spine is our neck.
22 I think everyone recognizes where that is. The spinal canal is
23 the space between the bones of the neck which form a tunnel,
24 and we can think of that tunnel very much as a pipe. The
25 spinal cord, when it has adequate space to run through that

1 Dr. DiGiacinto - for Deft - Direct
2 pipe, functions as it normally does. As arthritis develops, as
3 ligaments in the neck get thicker, as disks tends to bulge out,
4 as the structures surrounding this pipe or the spinal cord
5 undergo degeneration as a process we all are undergoing, the
6 space where the spinal cord runs becomes narrower.

7 I often describe to it patients as rust
8 beginning to fill up the pipe so that the space of the pipe
9 gradually becomes smaller and smaller until it begins to
10 impinge upon or compress or limit the space for the spinal
11 cord.

12 This process of progressive narrowing because of
13 joints getting bigger and bone forming because of disks
14 degenerating and bunching, and because of ligaments getting
15 thicker with wear and tear, is the process of cervical
16 spondylosis or cervical spinal cord narrowing.

17 Q How was this condition diagnosed back in 1980?

18 A In 1980, the only tests that was available and the
19 only test that was used was through a myelogram.

20 Q You previously described that for us, doctor. What
21 were the treatments available for cervical stenosis diagnosed
22 in 1980?

23 A The most commonly used treatment was physical
24 therapy. The second more commonly used treatment, I guess, and
25 way down, would be an operation called a cervical laminectomy,

1 Dr. DiGiacinto - for Deft - Direct
2 which would be designed to relieve the pressure against the
3 spinal cord.

4 Q What were the risks and benefits of a cervical
5 laminectomy in 1980?

6 A The benefits of the cervical laminectomy are to
7 relieve the pressure against the spinal cord with the hope that
8 relieving that pressure will stabilize the condition, meaning
9 that it will avoid further progression and loss of any
10 neurological function which may be related to that condition.

11 The risks are infection, hemorrhage as a result
12 of the surgery and, very specifically, increased loss of
13 function in the spinal cord, which, in effect, would speed up
14 the process of neurological loss. That's really the major risk
15 of the procedure.

16 Q Is this what occurred when Michael Savino underwent a
17 cervical laminectomy in September of 1980?

18 A The records would indicate that that was the case.

19 Q Michael Savino lost the use of his hands. Is that
20 consistent with the risks inherent in the performance of a
21 cervical laminectomy?

22 A Yes, it is.

23 Q In August, early August 1980, the patient and the
24 patient's family on behalf of the family contacted Dr. Albin
25 Bagdonas, who is Dr. Zola's partner.

1 Dr. DiGiacinto - for Deft - Direct

2 (Indicating.) That's Dr. Zola sitting there,
3 and this is Dr. Bagdonas. You've never met these doctors
4 before, have you?

5 A No, I have not.

6 Q And it's now August 1, and the patient tells Dr.
7 Bagdonas, the patient's family, that the patient is not getting
8 any better and is having problems. And at that time, Dr.
9 Bagdonas sees the patient, and by testimony indicates that
10 there's been a sufficient amount of time for the patient to be
11 showing improvement from his resolution of his acute problems
12 for which he was treated during the first admission, and
13 requests that the patient be admitted to Franklin General
14 Hospital for a neurologic evaluation.

15 Dr. Bagdonas admits the patient to the hospital
16 and calls in a board certified neurologist, Dr. Burton
17 Diamond. Drs. Zuflacht, Zola and Bagdonas continue to see the
18 patient to monitor his progress from the problems for which
19 they treated him during the course of his first admission, but
20 Dr. Burton Diamond now comes in and sees the patient in
21 neurologic consultation on August 5. His partner, Dr. Kramer,
22 also sees the patient. And there is a note in the record on
23 August 11 indicating some concern about doing a myelogram, lack
24 of patient cooperation, and the question of positioning of the
25 patient.

1 Dr. DiGiacinto - for Deft - Direct

2 On August 13, Dr. Stephen Burstein comes to see
3 the patient. Dr. Stephen Burstein is a board certified
4 neurosurgeon. He evaluates the patient and indicates that the
5 patient needs myelography to further evaluate a problem, for
6 further evaluation of a problem. When Dr. Diamond sees the
7 patient initially on August 5, Dr. Diamond indicates that the
8 patient may have a spinal lesion, that the patient has mild
9 proximal weakness in his arms, and indicates that there's
10 sensation to the nipple level, and writes down as his
11 impression that there is a lesion in the thoracic spine.

12 Thereafter, when Dr. Burstein sees the patient
13 on August 13, he writes a consultation report indicating the
14 need for myelography, but that the patient has declined
15 myelogram and prefers to stay with physical therapy or
16 conservative treatment for his neurologic symptoms.

17 The patient has a change of heart, and on or
18 about August 22 indicates that he would like a myelography.
19 Dr. Burstein has signed off the case on August 13 because the
20 patient has indicated he doesn't want any of the diagnostic
21 procedures that a neurosurgeon would render such as myelogram.

22 On August 22 the patient has a change of heart,
23 and on August 26 Dr. Burstein sees the patient, makes
24 arrangements for a myelogram. The myelogram is then performed
25 on August 28, and there is a report indicating that the patient

1 Dr. DiGiacinto - for Deft - Direct
2 had some problems during the course of the myelogram procedure,
3 there were difficulties positioning the patient, and that it
4 was difficult to recover the pantopaque, being the dye used,
5 and that residuals of the pantopaque were left in this
6 patient. The myelogram does show narrowing in the cervical
7 area.

8 Do you have an opinion, with a reasonable degree
9 of medical certainty, as to whether this patient was a
10 candidate for myelography during the second admission from
11 August 1 into October?

12 A I do have an opinion.

13 Q What is your opinion, doctor?

14 A Given the information available, it is hard for me to
15 be sure that this patient was ever a candidate for myelography
16 or further treatment. His clinical condition never was one
17 which made him, I think, to any degree a reasonable candidate
18 for surgery. The myelography, which as I have indicated was,
19 number one, medically contraindicated and, number two, almost
20 physically impossible to perform on the first admission, was
21 proven on the second admission to be almost physically
22 impossible to perform and, indeed, had to be performed in a
23 manner which was totally incorrect because the patient never
24 could lie on his stomach and allow proper studies to be done.

25 I really feel that doing a myelogram on this

1 Dr. DiGiacinto - for Deft - Direct
2 patient was a very risky and difficult procedure, as was proven
3 out. And again, based on the records I have, I'm not sure that
4 he would have been considered a candidate for myelography at
5 any point.

6 Q Doctor, what is the significance of Dr. Diamond's
7 comment on his neurologic report that when he saw the patient
8 in the beginning of August the patient had mild proximal
9 weakness of the arms?

10 A The significance of that finding by the neurologist
11 is that at that point in time, based on the records that I have
12 reviewed, this was the best strength that the patient ever
13 showed in his upper extremities.

14 It was Dr. Diamond's opinion that the findings
15 in the upper extremities were so relatively normal that he
16 wasn't even convinced that there was an abnormality as high up
17 in the cervical spinal cord. I should explain that the
18 findings that Dr. Diamond noted most convincingly were
19 so-called sensory level, well below the level of the cervical
20 spine, and weakness in the lower extremities which, by
21 description, is similar to what it had been and perhaps even
22 better than it had been on the first admission.

23 His level, if you will, the point in the nervous
24 system where he felt the lesion was most likely located was in
25 the level of the thoracic spine, the chest portion of the

1 Dr. DiGiacinto - for Deft - Direct
2 spinal cord, below the level of the cervical spine. Again, the
3 significance is that his findings indicated not terribly major
4 weakness in the upper extremities, better strength than the
5 chart indicates we had seen at any time to that time.

6 Q If, indeed, this patient had ever been a candidate
7 for myelography and for laminectomy, would this period of time
8 when he had mild proximal weakness be the optimum period for
9 treatment?

10 A I think the answer is yes, but more because this was
11 the time he was in his best metabolic condition from when we
12 first come to know him in April, I believe it was, until this
13 time.

14 Really, the major problems with the myelography
15 was performing the study, and he was really perhaps at this
16 point, or perhaps not, but at any point that we see him he is
17 perhaps better on his admission the second time to Franklin
18 General Hospital for the study.

19 Q In the interim, between this visit by Dr. Diamond on
20 August 5 where he notes mild proximal weakness of the arms,
21 sensation above the nipple level, Dr. Burstein sees him on
22 August 13 and writes a consultation report, and notes that now
23 there is evidence of severe quadriplegia involving both upper
24 and lower extremities.

25 Doctor, do you have an opinion as to what

1 Dr. DiGiacinto - for Deft - Direct
2 happened to this patient in the intervening time?

3 A Based on the record available, I do have an opinion.

4 Q What is that?

5 A The records indicate that the patient was showing
6 more weakness in his upper extremities. Comparing one examiner
7 to another is always difficult, but Dr. Diamond didn't even
8 place the abnormality in the neck and Dr. Burstein felt very
9 strongly that there was such significant weakness in the neck
10 that it clearly must be there. This means that in that
11 intervening week or eight days, I forget exactly.

12 Q August 8 to August 13.

13 A He was showing loss of strength in the upper
14 extremities. This is not in a situation where anything else
15 was going on, he was not in this catabolic breakdown state, he
16 was not otherwise weakened as far as the record indicated, so
17 that he was losing function in his upper extremities at this
18 time.

19 Q Doctor, given the patient's clinical condition from
20 the time he came into the hospital in April of 1980 up to
21 August 13, 1980, where he is showing progressive neurologic
22 deficits from the time he was evaluated by Dr. Diamond on
23 August 5, would myelography still have been contraindicated?

24 A I think again based on the information I have
25 available, myelography may always have been contraindicated in

1 Dr. DiGiacinto - for Deft - Direct
2 this patient because of his condition. But playing a little
3 bit of a balancing act, you're balancing a very difficult study
4 and, to a certain degree, risky study which may not have much
5 to offer if it shows the need for surgery against progression
6 of a neurological deficit, progressive weakness.

7 On balance, he is more of a candidate at that
8 time than any point in his clinical course that I'm aware of.

9 Q Doctor, during the course of a neurosurgeon treating
10 a patient, where a patient is showing progressive neurologic
11 defects as was seen between August 5 when Dr. Diamond saw this
12 patient and August 13 when Dr. Burstein saw this patient, would
13 it be in accord with good and accepted practice for a
14 neurosurgeon to counsel a patient showing these problems
15 against undergoing myelography and cervical laminectomy?

16 A I have to answer that by saying it may be
17 appropriate. Again, depending on the overall condition and
18 depending on what the neurosurgeon felt he had to offer the
19 patient if he were to undergo a cervical laminectomy. The
20 prognosis for stabilization and improvement versus the risk of
21 the surgery.

22 We always have, and when I talk to a patient I
23 always have what I call a risk/benefit balance. And when the
24 risk is prohibitive for the patient not surviving surgery, for
25 heart reasons or lung reasons, or when the risk is prohibitive

1 Dr. DiGiacinto - for Deft - Direct
2 because of the very big chance of making the patient worse,
3 that's a major factor versus the benefit of stopping further
4 progression. And I have to underline that, because in this
5 type of patient a neurosurgeon doesn't expect that he will
6 reverse what's already lost, he merely wants to stop it.

7 So the benefit side versus the risk side in this
8 type of patient is a very close balance. It's certainly not
9 weighted very heavily on the benefit side, if at all, and it
10 may be weighted more heavily on the risk side. That's why I
11 can't say that this patient definitely was or definitely was
12 not a candidate for myelography at the date stated.

13 Q If this patient were counseled on a risk/benefit
14 analysis, what would the benefits be in relation to the risks
15 for Michael Savino based on his past medical history and
16 problems as documented from the time of his admission on August
17 5 up to August 13?

18 A I hope I've touched on some of those answers, but
19 I'll try to restate simply. The potential benefit for such a
20 study which would only be done if surgery were contemplated,
21 would be to stop the progression of loss of neurological
22 function. It would be to stop the weakness in the arms and the
23 legs where it was. It would not be to reverse the lesion and
24 the loss which already existed. So the benefit is to stop
25 where we are.

1 Dr. DiGiacinto - for Deft - Direct

2 The risk would be, number one, to put a patient
3 through a myelogram, which is an uncomfortable but also
4 relatively dangerous procedure in someone who's still pretty
5 weak and who can't even lie on his stomach, and the risk of
6 surgery in a patient of this type is, number one, death during
7 anesthesia, number two, a mild to moderate to marked
8 progression of his loss of neurological function just as an
9 outcome of the surgery itself.

10 Q According to the record, prior to the time that
11 Michael Savino underwent a cervical laminectomy did he have the
12 use of his hands?

13 A Yes. I'm sorry. At that time, yes.

14 Q Following the cervical laminectomy Michael Savino
15 lost the use of his hands. There is a notation in the recovery
16 room record that the patient cannot move his hands, in the
17 recovery room following the cervical laminectomy. There has
18 been testimony by family members that following the cervical
19 laminectomy Michael Savino lost the use of his hands.

20 Michael Savino was hospitalized during the
21 course of his life at other hospitals, and in the histories
22 that were offered by the patient or the patient's family, there
23 is also documentation that Michael Savino had the use of his
24 hands up until the time of the cervical laminectomy, but lost
25 the use of his hands in the immediate postoperative period

1 Dr. DiGiacinto - for Deft - Direct
2 while still in the recovery room and never regained the use of
3 his hands.

4 Do you have an opinion as to the cause of the
5 loss of the use of the hands following the cervical
6 laminectomy?

7 A Yes, I do.

8 Q What is your opinion as to that cause of the loss of
9 the hand function?

10 A That it was the result of a poor outcome from a
11 cervical laminectomy.

12 Q Was this one of the known risks of cervical
13 laminectomies?

14 A Yes, it is.

15 Q Doctor, based on your review of both admissions, was
16 Michael Savino's condition any worse at the time of the second
17 admission than when he was discharged from Franklin General
18 Hospital in June of 1980?

19 A Based on my review of the records, his condition was
20 not worse on the second admission than it was at the time of
21 his discharge from the first admission.

22 Q In your opinion, was Michael Savino ever a candidate
23 for cervical laminectomy?

24 A I think I've discussed that a good deal in my
25 testimony. It had to be a close call as to whether he was or

1 Dr. DiGiacinto - for Deft - Direct
2 was not a candidate. And I think, without having actually been
3 treating the patient, at best I can say it was a close call as
4 to whether he would ever have been at this time fit for such a
5 procedure and whether he would have predictably tolerated such
6 a procedure.

7 Q If, in fact, he ever were a candidate for cervical
8 laminectomy, when would that situation have existed in terms of
9 the course of his hospitalization at Franklin General Hospital
10 from April to June then from August to October?

11 A Based on the records I've reviewed, it appears that
12 his best neurological condition and his best metabolic
13 condition, his best body condition, existed at or around the
14 time he was admitted to the hospital in August of 1980. So
15 that's the point if there was an opportunity to do a study, he
16 would perhaps have been the best candidate at that time.

17 Q After Michael Savino leaves Franklin General Hospital
18 in October of 1980, he goes to Brunswick Hospital for a period
19 of rehabilitation, then returns home in December of 1980, and
20 from December of 1980 to May of 1983 Mr. Savino has several
21 admissions to Long Island Jewish Hospital. And ultimately in
22 May of 1983, when he's admitted for sepsis, there is a
23 determination to discontinue the antibiotics and discontinue
24 the intravenous, and Michael Savino dies within an hour
25 thereafter in May of 1983.

1 Dr. DiGiacinto - for Deft - Direct

2 Doctor, based on your review of the record, do
3 you have an opinion as to the cause of Michael Savino's death?

4 A Yes, I do.

5 Q What was the cause of his death?

6 A The sepsis at the time of his last admission to the
7 hospital.

8 Q Doctor, was the absence of an evaluation by a
9 neurologist or a neurosurgeon during the course of Michael
10 Savino's first admission to Franklin General Hospital from
11 April to June of 1990, and the absence of any neurologic
12 evaluation or neurosurgical consultation by a neurologist or
13 neurosurgeon, the proximate cause of Michael Savino's death?

14 A No, it was not.

15 Q What is the basis for your opinion that that was not
16 the cause of Michael Savino's death?

17 A Without going over all of my testimony again, he was
18 not a candidate for a study or a cervical laminectomy at any
19 time during his first admission and, at best, was barely a
20 candidate at the time of his second admission.

21 His neurological status at the time of surgery
22 was such that the sequelae would have followed anyhow the
23 cervical laminectomy exacerbated or made worse his condition.

24 But I don't think that this patient,
25 unfortunately, ever would have benefited to the point that it

1 Dr. DiGiacinto - for Deft - Cross
2 would have reversed his ultimate outcome at any point in time.

3 Q During the course of Michael Savino's admission
4 during his first admission, he was receiving physical therapy.
5 And during the interval period, between his first admission and
6 his readmission to Franklin General Hospital in August of 1980,
7 arrangements were made for Michael Savino to receive physical
8 therapy at home.

9 Do you have an opinion, with a reasonable degree
10 of medical certainty, as to whether this was appropriate
11 treatment for Michael Savino?

12 A Yes, I do have an opinion.

13 Q What is that, doctor?

14 A My opinion is that this was appropriate therapy for
15 Michael Savino given the condition that was presented in his
16 hospital record.

17 MS. HIRSCHHORN: Thank you, I have no further
18 questions.

19 MR. CARLUCCI: May I inquire?

20 THE COURT: You may.

21 MR. CARLUCCI: Your Honor, to save some time,
22 you may be needing this later on, I'll just hand it
23 up to you. (Handing.)

24 CROSS-EXAMINATION

25 BY MR. CARLUCCI:

1 Dr. DiGiacinto - for Deft - Cross

2 Q Doctor, would you pronounce your name for me so I can
3 say it right?

4 A Certainly. Dee-ja-sin-toe.

5 Q Have we met?

6 A I don't believe so.

7 Q You were at Neurological Institute?

8 A Yes.

9 Q What years?

10 A 1974 to 1978 as a resident, then as an attending from
11 1978 until 1981 or '82.

12 Q Dr. Schlessinger was director of neurosurgery at that
13 time?

14 A Yes, he was.

15 Q We have a few things we need to cover, doctor. Let's
16 get some of the housekeeping stuff out of the way, then we'll
17 get into the medicine.

18 Number one, you said you were contacted December
19 of 1993 by Mrs. Hirschhorn?

20 A I believe by someone in her office.

21 Q Do you know who?

22 A I do not.

23 Q Do you know how they came to get your name?

24 A No, I don't.

25 Q Have you testified for them before?

1 Dr. DiGiacinto - for Deft - Cross

2 A For them, no.

3 Q Have you testified for other people before?

4 A Yes, I have.

5 Q On how many occasions have you testified?

6 A Four or five, on that order or magnitude.

7 Q For what law firms?

8 A Bower & Gardner, Schneider and Kleinck and --

9 Q Weitz.

10 A Somebody. Heidell, Pittoni, Murphy & Bach. You're
11 pushing me beyond that. I can't remember. I think there was a
12 second Bower & Gardner case I testified on.

13 Q Can you say you've testified more for the defense
14 than you have for the plaintiff?

15 A Yes.

16 Q You said you told us that in your opinion, to a
17 reasonable degree of medical certainty, that there was no
18 difference in Mr. Savino's neurological status between the
19 first and second admission.

20 A I don't think that's exactly accurate. I think I
21 testified that he was perhaps somewhat better in terms of his
22 overall function on his second admission.

23 Q In terms of his overall metabolic status?

24 A And his strength.

25 Q You thought that his neurological status was actually

1 Dr. DiGiacinto - for Deft - Cross

2 better?

3 A From what we have documented in the chart, he appears
4 to have shown at least as good if not better strength in his
5 upper extremities at the time of his admission in August than
6 any other point that the chart reveals.

7 Q Doctor, I think I was relatively specific. I said
8 overall neurological status. Are you saying that his overall
9 neurological status was better at the time of admission on the
10 second hospitalization than on discharge from the first?

11 A Well, I'll answer the question the same way, simply
12 yes.

13 Q Simply yes. What's clonus?

14 A Clonus is a situation in which the legs or arms or
15 any part of the body jerks, either as a result of a reflex
16 being tested or spontaneously.

17 Q Is that a normal finding?

18 A It can be, yes.

19 Q I want you to assume we've heard testimony that type
20 of finding would indicate problems with the cervical spine. Do
21 you agree with that?

22 A It can, yes.

23 Q What are the significance of spasms in the lower
24 extremities?

25 A Again I'll answer the question essentially the same

1 Dr. DiGiacinto - for Deft - Cross
2 was as for clonus.

3 Q I want you to assume this patient had no documented
4 evidence of spasm in the lower extremities or clonus on
5 discharge in June, yet on admission in August he had both
6 positive findings of clonus and spasticity.

7 Would you agree that is a significant change in
8 his neurological status?

9 A In and of itself no.

10 Q Doctor, it's a change. It's a change?

11 A Based on the records that I have reviewed, it does
12 not represent a significant change, no.

13 Q Doctor, you have said you've read the record. Have
14 you read any of the depositions?

15 A Yes, I have.

16 Q Did you read the operating neurosurgeon's deposition?

17 A I would have to guess. I believe, I believe that I
18 did, yes.

19 Q Doctor, do you recall that Dr. Burstein said that
20 this patient, this patient was unable to move --

21 MS. HIRSCHHORN: Objection.

22 THE COURT: Sustained.

23 Q Do you recall reading the following testimony at page
24 36 of Dr. Burstein's deposition at line 19?

25 MR. CARLUCCI: Ready, your Honor?

1 Dr. DiGiacinto - for Deft - Cross

2 THE COURT: Go ahead.

3 Q "Question: Sir, did you obtain any history at
4 the time of the patient complaining of inability to
5 move any of his extremities?

6 "Answer: He hardly had an ability to move them
7 before surgery, and there was no change whatsoever
8 after surgery at any time."

9 Do you recall reading that?

10 A Honestly, I can't recall. But I presume that that's
11 an accurate representation.

12 Q Doctor, would it be fair to say that, if the
13 operating neurosurgeon made that representation under oath,
14 that the reality is the surgery that he performed had nothing
15 to do with this patient's ability to move his extremities?

16 A (No response.)

17 Q Can you answer that? I'll rephrase it for you if you
18 can't.

19 A I can't take it as a totally isolated statement
20 because of my awareness of the record, which indicated that he
21 was moving and everyone felt that he was moving his upper
22 extremities better before the surgery than after. So as a
23 totally isolated state I would agree with it, but as a
24 statement relative to this case I think there was some degree
25 of change in the upper extremities after the surgery.

1 Dr. DiGiacinto - for Deft - Cross

2 Q If Dr. Burnstein's statement was an accurate
3 assessment of what he saw, that there was no change in the
4 extremities, then would you agree that the surgery had nothing
5 to do with any problem he had in the upper extremities?

6 A Given that supposition, yes.

7 Q Would you also agree, doctor, that often times in the
8 immediate post-operative period after doing decompressive
9 laminectomy that there's some decrease in neurological function
10 in the immediate postoperative period?

11 A There very frequently is, yes.

12 Q Would you agree, then, if in the recovery room there
13 was a notation about his upper extremities, that may well have
14 been accounted for in this medicine postoperative problem?

15 A Yes, I agree with that.

16 Q Doctor, you have said that neurologists essentially
17 identify problems, and then at times send patients to people
18 like you for treatment, correct?

19 A Yes.

20 Q And then what happens at let's say Presbyterian where
21 you were trained, let's talk a little bit about the interaction
22 of neurologists and neurosurgeons.

23 A Yes.

24 MS. HIRSCHHORN: Objection.

25 THE COURT: What's the objection?

1 Dr. DiGiacinto - for Deft - Cross

2 MS. HIRSCHHORN: My objection is that what goes
3 on at a single isolated institution is not relevant.
4 We're talking about a standard.

5 THE COURT: Objection is sustained.

6 Q Doctor, are you familiar with the community's
7 standards for the interaction between neurologists and
8 neurosurgeons?

9 A Yes.

10 Q Now, what happens in the community is a neurologist
11 patient may develop a problem such as a cervical stenosis such
12 that the neurologist may feel that there needs to be surgical
13 intervention, that happens, correct?

14 A Yes.

15 Q And then what then happens is that the patient is
16 sent to someone like you, and you make a decision as to whether
17 you're going to do any operative intervention.

18 A Loosely speaking, that's right.

19 Q Then once you do this operative intervention, if you
20 choose to do so, once the patient recovers from the surgical
21 intervention the patient then is followed by the neurologist,
22 correct?

23 A Not necessarily, no.

24 Q At times?

25 A Yes.

1 Dr. DiGiacinto - for Deft - Cross

2 Q Many times?

3 A Again, at the exclusion of being followed also by the
4 neurosurgeon, I have to say no.

5 Q I'm not talking about limited exclusion, I was saying
6 the patient is then followed postoperative by the neurologist.

7 A If medically indicated yes; if not, in no.

8 Q Would you say that a neurologist, a trained
9 neurologist would be able to recognize if there had been an
10 improvement in the patient's neurological status post-
11 operatively?

12 A Yes.

13 Q Therefore, doctor, when Dr. DeLorenzo told us that in
14 his experience and in his opinion patients with cervical
15 stenosis who undergo decompressive laminectomy improve, he has
16 a basis for saying that, isn't that correct?

17 A I have no way of commenting on that because I don't
18 know what his practice includes, sir.

19 Q Doctor, were you told anything about Dr. DeLorenzo's
20 background?

21 A No.

22 Q Were you told that he was director of neurology at
23 the Medical College of Virginia?

24 A I believe I was aware of that.

25 Q Were you told he was a graduate of Yale?

1 Dr. DiGiacinto - for Deft - Cross

2 A I don't recall, I'm sorry.

3 Q Were you told that he has both an M.D. and PhD in
4 neuropharmacology as well as a master of public health?

5 A I don't believe I was aware of that.

6 Q Doctor, were you trying to suggest, saying that a
7 neurosurgeon operates and a neurologist doesn't, that a
8 neurologist's opinion about the effects of the surgical
9 procedure should not be listed, sir?

10 A No.

11 Q You would agree that a neurologist is fully capable
12 of commenting on a neurology patient's response to a surgical
13 intervention?

14 A Yes.

15 Q Doctor, would you also agree that Dr. Burstein, as an
16 operating neurosurgeon, can and does make observations about
17 the effects of neurosurgical interventions?

18 A Yes.

19 Q Doctor, I want you to assume the following
20 testimony.

21 MR. CARLUCCI: Page 35, your Honor.

22 Q Before I read this, are you saying under oath that
23 every time you've done a cervical decompressive laminectomy for
24 cervical stenosis that not a single one of your patients'
25 neurological symptoms improved? Are you saying that?