

1 Dr. DiGiacinto - for Deft - Cross

2 A To a significant degree I can state that, yes. To a
3 minimal degree within variations of measurement, no -- I may
4 have gotten that backwards. You can see minimal degrees of
5 improvement which basically are stabilization around the
6 variation of the examination to see a significant functional
7 improvement where a patient can do more than he was before the
8 surgery, essentially never happens in a severe case of cervical
9 stenosis.

10 Q To you.

11 A To me.

12 Q Page 35, line 12, this is from Dr. Burstein's
13 deposition.

14 "Question: Is it your testimony that the main
15 indications for performing the surgery is to
16 stabilize the patient and prevent further
17 neurological deficits?

18 "Answer: That was the main objective. And the
19 other objective was that his only possible chance of
20 getting any improvement would be to decompress the
21 cord. That just therapy and keeping him in bed and
22 just watching him certainly would not improve the
23 strength in his arms and legs. The only outside
24 chance he had was by decompressing the spinal cord.

25 "Question: The longer the compression is

1 Dr. DiGiacinto - for Deft - Cross

2 present the more permanent the damage becomes?

3 "Answer: In general, yes.

4 "Question: The more severe the compression, the
5 more permanent the damage that results?

6 "Answer: Yes."

7 Doctor, do you disagree with Dr. Burstein, the
8 what man who operated on Michael Savino, that when you
9 decompress the spinal cord it's the only chance the patient has
10 for improved neurological status?

11 A No, I don't disagree with that.

12 Q Doctor, is that not in conflict to what you just told
13 us?

14 A No, it's not, sir.

15 Q Doctor, you were asked some questions about the cause
16 of Michael Savino's death. And in the question, in the
17 hypothetical it includes the fact that an hour before his death
18 IVs and antibiotics were discontinued. Do you recall that
19 hypothetical?

20 A Yes, I do.

21 Q Doctor, you were not trying to suggest that by
22 discontinuing the IVs an hour before his death, the family's
23 authorizations for that, that that's what caused Michael's
24 death, are you?

25 A Not in the least.

1 Dr. DiGiacinto - for Deft - Cross

2 Q In fact, doctor, have you at times been in a
3 situation where you've had to discuss with family members that
4 there are things called DNR, do not resuscitate orders?

5 A Yes, I have.

6 Q And what that does is that you're called upon to sit
7 down with the family and go over what is a very difficult
8 decision, and that is to say that we doctors can no longer help
9 this patient, and that any further treatment would just prolong
10 his agony and we are recommending that we stop treatment, is
11 that a fair summary?

12 A I'm going to just be a little bit sticky on the
13 statement. A DNR order specifically means that if a patient's
14 heart stops you don't resuscitate it. DNR does not mean do not
15 treat.

16 But what you're describing appropriately is
17 futility of further medical treatment. It is different than
18 DNR, but I agree with your overall statement, yes.

19 Q What we just described may not actually be a do not
20 resuscitate order, but it's something that's regularly done
21 when patients are terminal and modern medicine can't help them
22 any more?

23 A It's not a DNR order, but I agree fully with that
24 statement, yes.

25 Q Doctor, you were very precise in formulating various

1 Dr. DiGiacinto - for Deft - Cross
2 answers to questions that you were basing it on the date
3 contained in the record. Now, I want to talk with you about
4 that a little bit. When you neurosurgeons make evaluations of
5 patients, they are, in part, dependent upon a complete
6 neurological examination, correct?

7 A Yes.

8 Q Now, what you do, either you or a neurologist who
9 appears has done a base line neurological exam on a patient,
10 correct?

11 A Yes.

12 Q What you do to track the effect of the patient's
13 condition is that, once you have a base line neurological exam,
14 subsequent exams can tell you as a clinician whether there has
15 been any change, either positive or negative, or stabilization
16 of the patient's neurological status, correct?

17 A Yes.

18 Q And that the reason you do that is that you want to
19 actively follow the progress of any pathology that may be
20 impacted on the nervous system, correct?

21 A That is part of the reason, yes.

22 Q It's an important reason, isn't it?

23 A In evaluation of the patient, that's part of the
24 overall picture, yes.

25 Q Now, are you telling this jury that this patient did

1 Dr. DiGiacinto - for Deft - Cross
2 not have any cervical stenosis compressing the spinal cord in
3 April of 1980?

4 A No, I am not.

5 Q Doctor, in all probability this patient did, in fact,
6 have some degree of cervical stenosis impacting on his spinal
7 cord in April of 1980, based on the data you have read.

8 A I suspect that's probably true.

9 Q I don't think we ever enumerated what it is you
10 reviewed. You reviewed both Franklin admissions?

11 A Yes.

12 Q You reviewed the emergency room visit for July 5?

13 A Yes.

14 Q Anything else?

15 A I mentioned some deposition.

16 Q Some deposition.

17 A And a Long Island Jewish Hospital record, a VNS,
18 visiting nurses record, and other records. Sorry, I just can't
19 list them all.

20 Q Doctor, was there a base line neurological exam
21 performed on this patient in April of 1980?

22 A Not specifically listed in the chart, no.

23 Q Doctor, the exam in the chart indicates no abnormal
24 reflexes, correct?

25 A I believe there was a statement to that effect. I

1 Dr. DiGiacinto - for Deft - Cross
2 couldn't write it out or point to it without being shown it,
3 yes.

4 Q You recall seeing it.

5 A I believe I did, yes.

6 Q Is that what you referred to, to a reference to an
7 exam in the record?

8 A I said there was no specific overall neurological
9 exam listed in the record.

10 Q Doctor, in order for you to be accurate in telling
11 this jury whether the cervical stenosis was a cause,
12 contributing cause to any of his mobility problems, it will be
13 very helpful for you to have a base line neurological exam,
14 number one, correct?

15 A It will be helpful, yes.

16 Q And subsequent neurological exams tracking the
17 patient's neurological status from April 27, 1980, to discharge
18 on June 18, that would be helpful, wouldn't it?

19 A It would be helpful, yes.

20 Q Doctor, I want you to assume that in the second
21 admission the record very specifically indicates that this
22 patient has had progressive quadripareisis since April of 1980.
23 Correct?

24 A The chart--

25 Q Will you assume that?

1 Dr. DiGiacinto - for Deft - Cross

2 A I will assume that, yes.

3 Q Do you recall seeing that?

4 A Yes, I do.

5 Q Now, doctor, in order to say that that statement is
6 inaccurate, in order for you to say that that statement is
7 inaccurate, you would need to have a base line neurological
8 exam in April of 1980 and then a subsequent neurological
9 examination that would tell you whether this patient's
10 neurological status was progressively deteriorating over that
11 period of time, isn't that true?

12 A That would not be the only basis available to make
13 those decisions.

14 Q Doctor, wouldn't it be best?

15 A It will be helpful, without question.

16 Q Doctor, you don't have any specific examinations of
17 this patient's neurological status throughout that entire
18 April, May and June period of time, do you?

19 A I'd have to take issue with the statement specific.
20 There are definitely examinations specifically by
21 physiotherapists indicating, quote unquote, improving strength,
22 improving active and passive range of motion. That certainly
23 is an important and in this case very important part of the
24 neurological examination.

25 So without being a specific, here is a neuro

1 Dr. DiGiacinto - for Deft - Cross
2 exam, it is a very valuable piece of information which tells me
3 a great deal about what's happening with the patient.

4 Q So you're basing your opinion on four physical
5 therapists' notes that are in the chart.

6 A Among other things.

7 Q Well, we're talking about this neurological thing.
8 That's what you and I were just talking about. And you
9 referred very specifically to four physical therapists'
10 examinations.

11 A If there were four, yes.

12 Q I want you to assume there were four.

13 A Yes.

14 Q What you're referring, to that describes a
15 description by a doctor?

16 A Not that I recall.

17 Q Do you know the training level of the physical
18 therapist who did the exam?

19 A No.

20 Q Do you known whether they were measuring the
21 patient's strength from some known base line?

22 A Their base line, yes.

23 Q What was their base lane?

24 A I'm sorry, I've been talking a lot relative to their
25 training as registered physiotherapists. They have a base line

1 Dr. DiGiacinto - for Deft - Cross
2 which they establish on their own.

3 Q When I asked you about base line I meant the
4 patient's condition base line, just like the base line of a
5 neurological exam. Do you know where they start and where they
6 finished?

7 A No, but I know they document improvement.

8 Q Okay. And solely on the basis of their improvement
9 you can say that this patient was not being impacted on by a
10 cervical stenosis?

11 A I did not say he was not being impacted upon by a
12 cervical stenosis.

13 Q Doctor, let's do the bowel problem at this point.
14 Okay? Number one, do you agree that a problem with the
15 cervical spine can impact on the rectum and lower sigmoid
16 colon?

17 A Yes.

18 THE COURT: We'll stand in recess for ten
19 minutes.

20 Take the jury out, please.

21 (Recess taken.)

22 (Jury entered.)

23 COURT CLERK: Doctor, I remind you that you're
24 still testifying under oath.

25 THE WITNESS: Thank you.

1 Dr. DiGiacinto - for Deft - Cross

2 MR. CARLUCCI: May I proceed, judge?

3 THE COURT: Yes.

4 Q Doctor, I think when we broke we were going to get
5 into the bowel problem. Number one, I think you've agreed that
6 the problem with the cervical spine can impact on the rectal
7 and lower sigmoid colon, correct?

8 A Yes.

9 Q And, in fact, doctor, it's a relatively well-known
10 complication of stenosis, cervical stenosis, isn't it?

11 A I can't really respond to that statement without more
12 details.

13 Q You're familiar that it happens on a somewhat regular
14 basis when patients have a cervical stenosis?

15 A I'm asking you to tell me what happened.

16 Q The impact on the sigmoid colon and rectum.

17 A But what kind of impact?

18 Q Any kind of impact, number one.

19 A An impact, yes.

20 Q One of the impacts is that the rectum does not
21 respond appropriately and the patient begins to have build up
22 of the fecal colon from the rectum into the sigmoid colon?

23 A At the very end stage, yes.

24 Q Are you going to tell us, doctor, that the cervical
25 stenosis had no impact on this patient's sigmoid colon and

1 Dr. DiGiacinto - for Deft - Cross
2 rectum during the April, May and June hospital admission?

3 A No, I can't tell you that.

4 Q Doctor, I want you to assume that Dr. DeLorenzo has
5 said that the cervical spine problem was related to his
6 abdominal process. Do you agree or disagree with that
7 statement?

8 A Not to the abdominal process which acutely caused the
9 patient to present, not in my opinion.

10 Q Doctor, let me give a scenario. Hypothetical.
11 Patient with a history of diverticulosis, number one. Number
12 2, a patient who is 69 years old and has cervical stenosis.
13 Number 3, the patient has a problem that results in a lower
14 sigmoid colon and rectum not evacuating properly and has a
15 build up of fecal matter. Number 5 -- 4?

16 A 4.

17 Q Thank you. Number 4, because of the diverticulum and
18 the build up of fecal matter the patient develops
19 diverticulitis. Now Number 5, diverticulitis causes a
20 perforation. Now number 6, the perforation results in an
21 abscess. Now number 7, that abscess results in an obstruction
22 of the small intestine. Do you have the scenario?

23 A This is a hypothetical?

24 Q Yes. Do you agree that that is a medical, plausible
25 hypothetical?

1 Dr. DiGiacinto - for Deft - Cross

2 A Yes, it is.

3 MS. HIRSCHHORN: Objection.

4 THE COURT: Overruled.

5 Q Doctor, were you aware, number one, and we now know,

6 Mr. Savino had a cervical stenosis, correct?

7 A Yes.

8 Q Number 2, when Mr. Savino was admitted to the
9 hospital it was celled a K.U.B., kidneys urinary bladder, films
10 were done?

11 A Yes.

12 Q Were you aware those films demonstrated a significant
13 accumulation of fecal matter in the lower portion of the bowel
14 including the sigmoid colon?

15 A Yes.

16 Q Doctor, is it plausible that the reason for that
17 build up was the cervical stenosis?

18 A Among other explanations, yes.

19 Q Doctor, since there was never any specific evaluation
20 done at that time, you cannot exclude the cervical stenosis as
21 the cause.

22 MS. HIRSCHHORN: Objection.

23 THE COURT: Overruled.

24 A In my opinion, I can.

25 Q So you don't believe it's possible.

1 Dr. DiGiacinto - for Deft - Cross

2 A I did not say it's not possible. I said in this
3 patient I do not feel that's what happened.

4 Q Doctor, was there any description of the rectal
5 sphincter?

6 A Not in my reading.

7 Q Would it be important, in order to decide whether
8 there had been any nervous system involvement compacting the
9 rectum, to have a description of the rectal sphincter?

10 A It will be a factor, yes.

11 Q I'm sorry?

12 A It will be a factor.

13 Q Doctor, when you're doing a rectal exam on a patient,
14 should you document your findings with regard to any impact on
15 the rectal sphincter of the nervous system?

16 A (No response.)

17 Q Withdrawn. Should you describe what you found?

18 A You should describe any abnormality you fin.

19 Q Doctor, how many rectal exams were done on this
20 patient?

21 A I don't know.

22 Q Were any documented?

23 A At least Dr. Klein in evaluating his prostate had to
24 do a rectal examination. Beyond that I can't answer. There's
25 also notations the patient was disimpacted manually, and that

1 Dr. DiGiacinto - for Deft - Cross
2 requires going in through the rectum. So if that qualifies as
3 an examination, that also --

4 Q In order to decide whether the cervical stenosis that
5 you agree was present in April was impacting on the rectum, it
6 will be helpful to have a description of the tone of the
7 rectum, correct?

8 A Yes.

9 Q That's not there.

10 A There's no description of abnormal tone seen.

11 Q There's no description of tone, doctor.

12 A Correct.

13 Q Doctor, let's talk about the trabeculations of the
14 bladder. Do you recall that?

15 A Yes.

16 Q Doctor, this patient had trabeculations of the
17 bladder, correct?

18 A It was seen on intravenous pyelogram, yes.

19 Q That means yes?

20 A Yes.

21 Q And what that means is that there was some impact
22 that resulted in the bladder wall thickening because it was
23 using more of its muscle strength to push out the urine, is
24 that a fair summary?

25 A Yes.

1 Dr. DiGiacinto - for Deft - Cross

2 Q Now, doctor, you're not suggesting that in a
3 69-year-old man that because he may have had some problem with
4 his prostate that resulted in trabeculations that he could not
5 have on top of that an impact on the nervous system that
6 resulted in a neurogenic bladder, are you?

7 A Based on the information available, I don't see
8 evidence of a neurogenic bladder and I see evidence of an
9 enlarged prostate and a finding on intravenous pyelogram
10 consistent with that enlarged prostate. Based on that, my
11 conclusion is that most of the evidence we have points to the
12 prostate as being the reason for the urinary retention.

13 I cannot completely rule out any contribution
14 from the cervical spine.

15 Q Doctor, you understand that part of the claims that
16 the plaintiff is bringing in this lawsuit is that there should
17 have been a neurological evaluation of the patient.

18 A Yes, I do understand that.

19 Q And, doctor, isn't it fair to say that the very
20 information that you're talking about that you don't have
21 available to you would be available to you had a neurological
22 evaluation been done and documented in the chart?

23 A Most likely yes.

24 Q Doctor, so what you're saying is, based on the fact
25 that it's not written down in the chart, you can't comment to

1 Dr. DiGiacinto - for Deft - Cross
2 any reasonable degree of medical certainty as to whether there
3 was any impact on this patient's bladder from the cervical
4 stenosis.

5 A I can to a degree of medical certainty, not with
6 absolute certainty, comment on that based on the information I
7 have.

8 Q Doctor, you have to base your opinion on the data
9 that's in the chart. Correct?

10 A Yes.

11 Q And a very significant piece of data is completely
12 absent from the chart, and that's a full neurological exam,
13 correct?

14 A A useful piece of information is absent from the
15 chart, yes.

16 Q Doctor, if you're trying to decide whether a patient
17 has a neurological impact on the bladder from the cervical
18 stenosis, that's not just an additional token piece of
19 information, that's a very important piece of information for
20 you to make your decisions, isn't it?

21 A Specifically the neurological examination would give
22 us information that potentially would be relevant to the
23 function of the bladder. It would not define the bladder
24 function any better or any worse, and urological consultation
25 would be required to get that information.

1 Dr. DiGiacinto - for Deft - Cross

2 Q But what it will do is give you information about
3 other areas of the patient's body that would then lead you to
4 conclude that there may or may not be a problem with the
5 cervical spine impacting on the bladder, correct?

6 A Yes, I agree with that.

7 Q Okay. Doctor, Dr. Zola has described this patient as
8 being quadriparetic on discharge from the hospital on June 19,
9 1980. Were you aware of that?

10 A Yes.

11 Q Doctor, let's assume that this patient was
12 quadriparetic on discharge from the hospital on June of 1980,
13 okay?

14 A Yes.

15 Q Now, can we agree, doctor, that, number one, what
16 you're saying is that that picture of the patient being
17 discharged in June of 1980 is consistent with the debilitation
18 from his surgery, is that what you're saying?

19 A And his medical condition, yes.

20 Q Okay. Doctor, is it also consistent with the
21 problems of the cervical spine causing quadriaparesis?

22 A Yes.

23 Q You cannot, based on the data that you have
24 available, exclude the cervical spinal problem as a cause of
25 his quadriaparesis, can you?

1 Dr. DiGiacinto - for Deft - Cross

2 A No, I cannot.

3 Q Doctor, one of the ways that you will be able to
4 exclude a problem with the cervical spine as a cause of his
5 quadriplegia was if a neurological examination and evaluation
6 had occurred, correct?

7 A I don't think it could have excluded it present or
8 absent. I'm not sure if that answers the question.

9 Q It will be helpful to you in trying to draw a
10 conclusion as to why my client was weak in all four
11 extremities, it will be helpful?

12 A Yes.

13 Q If you had a neurological exam and evaluation?

14 A Yes.

15 Q And you understand that in part that's the claims
16 that are being made here.

17 A Yes, I do understand that.

18 Q Now, doctor, we've heard much testimony about
19 differential diagnosis. We've heard it defined. Doctor,
20 differential diagnosis, the patient has a problem which is
21 observed by the doctor, you create a list of potential causes
22 and then you undergo evaluation to ascertain which of the
23 causes is, in fact, the cause of the patient's quadriplegia?

24 A Yes.

25 Q Now, would you agree that a neurological etiology

1 Dr. DiGiacinto - for Deft - Cross
2 would have to be part of the differential diagnosis?

3 A Yes.

4 Q Would you agree that there was nothing done to rule
5 out a neurological etiology as the cause of the quadripareisis?

6 A I'm not exactly sure what you mean by nothing was
7 done to rule out. If you mean a neurological examination was
8 not specifically documented in a chart, the chart shows that,
9 it's self-evident.

10 Q What I'm saying, doctor, is very simply if you have
11 more than one potential cause for a patient's problem, a doctor
12 cannot assume that, well, he's got this, I'll assume its cause
13 number one, and do nothing to find out whether it's cause
14 number two.

15 MS. HIRSCHHORN: Objection.

16 THE COURT: Overruled.

17 Q Can a doctor do that in the process of differential
18 diagnosis?

19 A I'm sorry, do the first part. In between I lost it.

20 Q My client was quadriparetic when he left the hospital
21 in June of 1980, correct?

22 A Yes.

23 Q Now, two of the causes for that quadripareisis that
24 you've identified, number one, neurological, number two,
25 debilitation, correct?

1 Dr. DiGiacinto - for Deft - Cross

2 A Yes.

3 Q Can a doctor who's practicing in accordance with good
4 and acceptable practice just assume it's a debilitation and not
5 rule out a neurological problem as its cause?

6 A No.

7 Q And if a doctor did, in fact, assume it's a
8 debilitation and do nothing to rule out a neurological cause
9 for the quadripareisis, that doctor would be departing from good
10 and acceptable medical practice, wouldn't he?

11 A It's based on the doctor's opinion as to what he was
12 seeing. If there was clearly a neurological cause in the
13 diagnosis, if that cause would have impacted upon the patient
14 and changed his course of treatment, then I would agree with
15 your statement.

16 Q Doctor, quite simply I'll ask it again. If there are
17 two things on the list, one neurologic and one non-neurologic,
18 and the doctor assumes it's non-neurologic and does nothing to
19 rule out the neurological cause, would that doctor be departing
20 from good and acceptable medical practice?

21 A It's too general a question to answer. Because the
22 doctor is looking at the whole patient and the doctor does not
23 have to say oh, there's no other possibility to cause this, but
24 I see weakness and I see an explanation and I'm watching the
25 patient to see if he gets better. If he said there's a

1 Dr. DiGiacinto - for Deft - Cross
2 neurological disease and I'm not going to pay any attention to
3 it, and not paying attention to that neurological disease led
4 to a devastating outcome in the patient because he didn't pay
5 attention to it, then I'd have to agree with your statement.

6 But in general when a patient is being evaluated
7 on a urology service and on a surgery service, the frequency of
8 any kind of concise neuro evaluation on a chart in this type of
9 patient is rare.

10 So in the standards of care which I've been
11 asked to address in this community, no, that would not
12 constitute a departure in this patient.

13 Q If Dr. DeLorenzo's testimony was correct and that a
14 decompressive laminectomy would have reversed his quadripareisis
15 and he would have had a much better outcome than the ultimate
16 demise he did, then he would have been able to reverse a tragic
17 outcome, correct?

18 A In this case, my opinion is no.

19 Q I asked you--

20 A Depending -- well, you didn't -- sorry.

21 Q I asked you if Dr. DeLorenzo was correct.

22 A In stating what, sir?

23 Q In stating that a decompressive laminectomy would
24 have improved his outcome.

25 MS. HIRSCHHORN: Objection.

1 Dr. DiGiacinto - for Deft - Cross

2 THE COURT: Sustained.

3 Q Doctor, this patient had an abscess in his belly, his
4 abdomen, correct?

5 A The record indicates such, yes.

6 Q And that abscess was a result of the perforation.

7 A Yes.

8 Q Now, as a result of that abscess, he had an infection
9 that was within his entire system, or it's called a sepsis,
10 correct?

11 A Yes.

12 Q During the period of time that that perforation
13 occurred and the sepsis developed, my client got very, very
14 ill, didn't he?

15 A The record indicates that, yes.

16 Q Now, what the doctors did surgically was go in,
17 remove the abscess, repaired the obstruction, put in a
18 colostomy, correct?

19 A Correct.

20 Q They also treated the systemic infection by giving
21 the patient antibiotics into the vein, correct?

22 A Yes.

23 Q Now, during the period of time that that infection,
24 that sepsis and abscess were going on, many of Mr. Savino's
25 organs were impacted on by the infection, correct?

1 Dr. DiGiacinto - for Deft - Cross

2 A Yes.

3 Q Now, that impact was what Dr. Zola has characterized
4 as a transient impact, do you agree with that?

5 A He was getting better, so the answer is yes.

6 Q Doctor, when this transient impact resolved, when he
7 was no longer in liver failure -- withdrawn, do you think he
8 was ever in liver failure?

9 A I wouldn't qualify myself as an expert to really be
10 able to comment on that.

11 Q When the transient impact was reversed and the
12 patient no longer had abnormal liver function tests and no
13 longer had abnormal renal function testings, this patient's
14 clinical condition was improving, correct?

15 A Yes.

16 Q And, in fact, doctor, his condition was so improved
17 that by ten days before discharge he no longer needed
18 intravenous medication, correct?

19 A If the chart so indicates, I won't dispute that.

20 Q He no longer needed hyperalimentation, correct?

21 A Again, same stipulation.

22 Q He was eating, correct?

23 A Same stipulation. I mean, I don't remember the exact
24 details of those days, I'm sorry.

25 Q Doctor, you have said that his condition was so bad

1 Dr. DiGiacinto - for Deft - Cross
2 on June 18 that he couldn't have a myelogram and he wouldn't be
3 a candidate for surgery. And I'm questioning you about his
4 condition at that time. Are you telling me you don't know that
5 he was eating then?

6 A You're asking me ten days before discharge as opposed
7 to eight days or seven days, by the time of discharge, yes.

8 Q Within seven days of discharge are you familiar with
9 his clinical condition?

10 A Yes, yes.

11 Q So within seven days of discharge he was eating,
12 wasn't getting IVs, wasn't getting intravenous antibiotics,
13 wasn't receiving hyperalimentation, was alert, oriented and
14 talking with family and health care providers, according to the
15 Nurses Note.

16 Is that a fair summary of his condition at that
17 time?

18 A Yes.

19 Q Now, doctor, you have said that this patient's
20 condition at that time was such that he cannot have undergone a
21 myelogram. Correct? Do you recall saying that?

22 A Correct.

23 Q Now, part of your assumption when you made that
24 condition was that he did not have a significant compressive
25 problem with the cervical spine, correct?

1 Dr. DiGiacinto - for Deft - Cross

2 A No, sir.

3 Q No? Even if he had a significant--

4 THE COURT: No it was not your assumption or no
5 he didn't have a significant compression of the
6 cervical spine?

7 THE WITNESS: No, it was not my assumption.

8 Q If he had a significant compression of the cervical
9 spine, would your opinion change when you did the risk/benefit
10 analysis?

11 A No.

12 Q So his clinical condition had nothing to do with
13 whether a myelogram would be done or not?

14 A His clinical condition had a lot to do with whether a
15 myelogram would be done, yes.

16 Q Doctor, we've just described the patient who was
17 recuperating from surgery and has no impact on any of his organ
18 systems.

19 A Recuperating is what has to be underlined, sir.

20 Q Does that mean he had impact on any of his organ
21 systems at that time?

22 A He was getting better and building up, so it was
23 organ systems and numbers were looking better and better, but
24 he certainly has not completely reversed his catabolic or tear-
25 down state, and was in the process of hopefully building up his

1 Dr. DiGiacinto - for Deft - Cross
2 overall condition to improve the tolerance of any procedure at
3 this point in time that we're discussing.

4 Q Have you ever done an emergency decompressive
5 laminectomy?

6 A Yes, I have.

7 Q The reason you do an emergency decompressive
8 laminectomy is because you want to reduce pressure on the
9 spinal cord before it does irreversible damage?

10 A Definitely.

11 Q This fact is known and well known, and you were
12 trained at Presbyterian to know, number one, that the longer
13 there's compression on the spinal cord the more damage is being
14 done, correct?

15 A Yes.

16 Q There comes a point in time when there's pressure,
17 the damage becomes irreversible, correct?

18 A Yes.

19 Q If the pressure is allowed to continue, more and more
20 damage is done until the pressure is relieved, correct?

21 A More and more damage can be done, and if there's
22 evidence of progression then the answer is yes.

23 Q And, doctor, one of the reasons that a neurological
24 follow-up of the patient with quadriplegia is done is to track
25 whether there's progression of any compression on the spinal

1 Dr. DiGiacinto - for Deft - Cross
2 cord, correct?

3 A Yes.

4 Q Doctor, you don't know because there's nothing in the
5 record to tell you whether there was progressive change in this
6 client's neurological status from compression of the cervical
7 spine, do you?

8 A The information I have which surrounds that answer is
9 that I have evidence in the charts that the patient improved
10 metabolically, and as he was receiving physiotherapy was, quote
11 unquote, getting stronger. I also have the information
12 available after that discharge and upon admission in August
13 that his arms were stronger than they were ever noted to be by
14 anyone and, in fact, one observer thought they were almost
15 normal, and that observer was a trained neurologist.

16 I have information that makes me thinks that the
17 legs were about the same. I don't have very accurate
18 information to make a good judgment of that.

19 Q About the same?

20 A That's the best I can do from the chart.

21 Q Spasticity in the lower extremities as opposed to no
22 spasticity? That's about the same?

23 A Yes.

24 Q Doctor, I want you to assume--

25 MR. CARLUCCI: On page 18, your Honor, of Dr.

1 Dr. DiGiacinto - for Deft - Cross

2 Burnstein's deposition, starting at line 22.

3 Q "Question: Can weakness due to neurological
4 causes or other types of neurological deficits be
5 quantified to a scale of zero to four?

6 "Answer: Yes, subjective quantification, not
7 objective. Subjective, right.

8 "Question: Are you able to quantify the degree
9 of weakness which you characterize in this case as
10 severe?

11 "Answer: Yes.

12 "Question: How would you characterize?

13 "Answer: Minus 3. The Mayo Clinic system, just
14 to educate you, is zero for normality minus four for
15 total paralysis with no movement whatsoever, minus
16 one, minus two, minus three for increasing degrees of
17 weakness. That is why I labilized the severe as a
18 minus three. The moderate is minus two in my
19 physical exam."

20 To assume that's what Dr. Burstein, the
21 operating neurosurgeon, found in his evaluation, would you
22 agree that there was a change in his condition from June 18 to
23 the August admission?

24 A Yes.

25 Q That is progression --

1 Dr. DiGiacinto - for Deft - Cross

2 A I'm sorry, I have to take that answer back.

3 Q Okay.

4 A The question you asked was a change from June 18 to
5 the August admission, no.

6 Q Doctor, you've indicated that you believe that there
7 was a cervical stenosis impacting on this patient's cervical
8 spinal cord in April, May and June of 1980, correct?

9 A Yes.

10 Q You can't quantify how much of an impact, can you?

11 A I can to a degree quantify, but not with extreme
12 accuracy.

13 Q Doctor, how are you able to quantify what degree it
14 impacted on his spinal cord on April 27 as opposed to June 18?

15 A Based on all the information I have available or
16 based just on that segment of information, sir.

17 Q Based on all the information you have available?

18 A In August the patient's arms are moving well so that
19 a trained neurologist on the admission on August 5 states that
20 the arms are almost normal. And he doesn't even think there's
21 a problem in the cervical spine. So the neurology expert feels
22 that the arms are basically okay at that point. Based on that,
23 I feel that the upper portion of the muscular system was
24 functioning as well or better than it ever had.

25 I have less information to tell me what's going

1 Dr. DiGiacinto - for Deft - Cross
2 on with the legs from the day of admission in April to the day
3 of admission in August. My reading of the chart is that it's
4 more or less the same, and that there is some evidence on
5 physiotherapy of improvement of the lower extremities. I
6 cannot be more accurate than that because the information is
7 not available.

8 Q The patient is spastic in the lower extremities, that
9 indicates that there's a significant impact on the nervous
10 system, doesn't it?

11 A It indicates that there is cervical cord compression
12 as a possible etiology, yes.

13 Q Doctor, you're not suggesting the spasticity this
14 patient had in August was unrelated to the cervical stenosis,
15 are you?

16 A It could be related to thoracic stenosis or something
17 like that, but it probably was, yes.

18 Q Doctor, doctor --

19 A I mean, as the etiology I can't say that; as the
20 probable etiology I'm saying yes.

21 Q The most probable based on all the available data was
22 the cervical stenosis, wasn't it?

23 A Yes.

24 Q Now, the cervical stenosis that was causing this
25 patient's spasticity in the lower extremity was not such that

1 Dr. DiGiacinto - for Deft - Cross
2 it caused spasticity in June of 1980, was it?

3 A We don't have a good neurological examination to know
4 that, sir.

5 Q Exactly. In order for you to offer an opinion you
6 need a good neurological exam to see whether there had been
7 progression of the system?

8 A With relationship to the spasticity, yes.

9 Q What about sensation? Was the sensation also
10 impacted on by a problem of the cervical spine?

11 A Yes, it is.

12 Q Doctor, you're not going to say that this patient's
13 cervical stenosis had no impact on the sensation during the
14 April admission, are you?

15 A I can't make a judgment positive or negative in that
16 area.

17 Q The chart doesn't have sufficient data?

18 A Correct.

19 Q Doctor, I want you to assume that we've had testimony
20 that one of the reasons that Michael Savino developed bedsores
21 was he had lack of sensation. Okay? I want you to assume
22 that.

23 A Yes.

24 Q Is it fair to say that that lack of sensation was as
25 a result of the cervical spinal stenosis?

1 Dr. DiGiacinto - for Deft - Cross

2 A I have no way of knowing that versus peripheral
3 vascular disease which the patient also had.

4 Q I want you to assume that we had a surgeon on
5 yesterday who said that the peripheral vascular disease was
6 limited to the left lower extremity. I want you to assume
7 that.

8 A Yes.

9 Q Can we agree the if there's a sensation problem in
10 the sacrum that that problem would then be unrelated to
11 peripheral vascular disease and more likely caused by the
12 cervical stenosis?

13 A Yes.

14 Q Doctor, when for the first time did this patient
15 exhibited diminished sensation during that admission?

16 A I would have to review I believe the Nurses Notes to
17 see any mention of that. I would be glad to do so if you
18 like.

19 Q (Handing.)

20 A Can you help me find my way?

21 Q I can't force you to something that's not there,
22 sir.

23 A It will take a while, then. Is this the first
24 admission, part one or two?

25 Q Yes.

1 Dr. DiGiacinto - for Deft - Cross

2 A I'm sorry, I don't know.

3 Q Doctor, there is no description of sensation in the
4 chart, according to your review, isn't that correct?

5 A There were mentions of loss of sensation in I believe
6 Nurses Notes.

7 Q I'm not familiar with what you're referring to.

8 A (Referring.)

9 Q Doctor, why don't we move along?

10 A Yes, sir.

11 MS. HIRSCHHORN: Your Honor, may we approach?

12 (An off the record discussion was held.)

13 Q I'm going to ask the question in a different form.

14 A Certainly.

15 Q Other than an entry that indicates that there was
16 lack of sensation in the left hand, was there any description
17 in the record, to your recollection, of any problem with
18 sensation in the sacrum?

19 A No. Not to my recollection.

20 Q Doctor, you do know, however, that he did have a
21 sensation problem in the sacrum, from testimony that he
22 developed a bedsore because of in part he couldn't feel that
23 there was a compression, would you agree with that?

24 A I can't agree with that statement in toto, no. Many
25 patients who have no neurological problem develop bedsores.

1 Dr. DiGiacinto - for Deft - Cross

2 Q This patient.

3 A I can't answer it beyond that without the information
4 available.

5 Q I want you to assume that we've had testimony that
6 the patient did have difficult my initial sensation in the
7 sacrum. Okay?

8 A Yes.

9 Q Number one, number one, is it important in doing an
10 evaluation to detect whether a patient has a problem with
11 sensation?

12 A It's helpful, yes.

13 Q Doctor, this patient had a history of arthritis?

14 A The chart indicates, yes.

15 Q He was 69 years old?

16 A Yes.

17 Q Doctor, is it fair to suspect and anticipate in your
18 evaluation of a patient such as that that he may have a
19 degenerative process in the spine?

20 A It's always a consideration in anyone in that age
21 group.

22 Q And since it's always a consideration of anyone in
23 that age group, particularly with arthritis, one should be
24 looking for any potential impact on the spinal cord from
25 degeneration of the spine column, correct?

1 Dr. DiGiacinto - for Deft - Cross

2 A In a group of a hundred patients of this age
3 admitted, it's too much of a generalization to fully agree.
4 It's again one of the things that a physician is aware of, yes.

5 Q So when you do your overall evaluation of a patient
6 such as this you should check for sensation.

7 A It will be part of your evaluation, yes.

8 Q Doctor, did Drs. Zola, Zuflacht and Bagdonas document
9 any evaluation of a problem with this patient's sensation?

10 A Not to my knowledge.

11 Q Doctor, would you agree that the medical record is
12 something that is important in the normal course of running a
13 hospital?

14 A Yes.

15 Q And, in fact, it's something that's important for you
16 doctors to use to communicate to each other, among other
17 things, base line conditions?

18 A Yes.

19 Q Doctor, in evaluating this patient, you would want to
20 know when sensation first became a problem, would you not, as a
21 neurosurgeon?

22 A It will be a piece of information that would
23 definitely be useful.

24 Q Well, doctor, as you say, in your experience cervical
25 decompressive laminectomies are done to prevent further

1 Dr. DiGiacinto - for Deft - Cross
2 progression of a problem, correct?

3 A Yes, yes.

4 Q Isn't it important to delineate whether there has
5 been progression of a problem?

6 A Yes.

7 Q One of the things that you want to delineate is
8 progression of neurological impact on the motor system,
9 correct?

10 A Yes.

11 Q One of the things that you want to delineate is
12 progression of neurological impact on the sensory system,
13 correct?

14 A Yes.

15 Q One of the things that is progression or effect from
16 the neurological system is bowel functioning, correct?

17 A Yes.

18 Q One of the things you want to know is progression
19 from the neurological aspect of impact on a bladder problem,
20 correct?

21 A Yes.

22 Q Doctor, that's why you need to document things in the
23 chart such as when sensation first became a problem, correct?

24 A It is certainly helpful.

25 Q Doctor, it's more than helpful, it's necessary, isn't

1 Dr. DiGiacinto - for Deft - Cross
2 it?

3 A It is certainly helpful.

4 Q Does that mean it's necessary or not?

5 A Yes.

6 Q Doctor, an accurate description of a patient's motor
7 strength, is it also important so that you can document and
8 know whether there's been any problem from a cervical stenosis
9 impacting on the motor system, correct?

10 A Yes.

11 Q Now, you don't have any documented delineation of
12 what level of motor strength this patient had on discharge in
13 June of 1980, do you?

14 A I can't agree with not any, unless you're talking
15 about the day or around the days of discharge, then I agree.

16 Q Doctor, if you look at the last seven days of the
17 Progress Notes, the last seven days of the Progress Notes, and
18 then you took that and you saw the patient one week later and
19 you did an examination of the patient's motor system, could you
20 compare to it what's documented in the last seven days of the
21 Progress Notes and tell whether there had been any change in my
22 client's muscle strength?

23 A No.

24 Q Therefore, you as a neurosurgeon could not tell that
25 there was a progressive problem and, therefore, I had better

1 Dr. DiGiacinto - for Deft - Cross
2 intervene now to prevent any further progression, correct?

3 A Based on the scenario you presented, no.

4 Q Now, let's take a patient who's discharged from the
5 hospital on June 18 with a known problem with mobility, who
6 cannot move by himself, who cannot bear weight at all, who
7 required ambulance transportation, who has, as you've told us,
8 a cervical stenosis. Okay, let's take that patient, send him
9 home, see him again on July 5. Okay? Then you as a
10 neurosurgeon see him on July 8. Okay, are you with me?

11 A Yes.

12 Q Now, number one, you see the patient, he's got a
13 problem with mobility on July 8. You would want to look at the
14 record and see whether there's been any progression of system,
15 would you not?

16 A Among other things, yes.

17 Q You're not suggesting that that would be a minor
18 thing that you will do, are you?

19 A It would be minor relative to talking to the patient.

20 Q Okay. You'd want to know what a trained observer
21 found on his or her examination, would you not?

22 A As part of the evaluation, yes.

23 Q Now, based on the data on the July 5 visit, do you
24 have anything that would tell you what the strength of my
25 client's motor system was?

1 Dr. DiGiacinto - for Deft - Cross

2 A No, none whatsoever.

3 Q Doctor, are you saying that it's okay, when a patient
4 leaves the hospital on June 18 with a documented known problem
5 with mobility, to fail to examine the patient for motor
6 strength?

7 A I think part of the evaluation might include that, yes

8 Q Might or should?

9 A It very much depends on the setting in which the
10 patient was being seen. I cannot be totally specific. It
11 certainly would be valuable information, without question.

12 Q Doctor, we're not talking about a sophisticated exam
13 here, are we?

14 A I don't believe so, no.

15 Q What you do is you put some fingers out and say grab
16 my finger and squeeze?

17 MS. HIRSCHHORN: Objection.

18 Q Isn't that what you do?

19 THE COURT: What's your objection?

20 MS. HIRSCHHORN: I think he's putting words into
21 the doctor's mouth as to what he means.

22 THE COURT: This is cross-examination.

23 Overruled.

24 MR. CARLUCCI: Can I have my question read back?

25 THE COURT: Yes.

1 Dr. DiGiacinto - for Deft - Cross

2 (Court Reporter complying.)

3 A It might be part of an examination, yes.

4 Q It's one of the things you do, and you do other
5 things to evaluate motor strength, don't you?

6 A You could, yes.

7 Q Tell us what a simple evaluation for motor strength
8 would be.

9 A It would involve allowing the patient to make a motor
10 movement such as bending the arm or lifting the arm against
11 resistance, against an examiner's hand, trying to stop him from
12 doing what he's asked to do.

13 Q (Indicating.) This is your hand, this is my hand,
14 you tell me to push.

15 A It may be part of it, yes.

16 Q You tell me to pull.

17 A Yes.

18 Q That's something that can be done very quickly,
19 correct?

20 A Yes.

21 Q Doesn't take a whole lot of time for the exam. You
22 do it for the lower extremities also, correct?

23 A That is correct.

24 Q Then you make an assessment of the level of
25 resistance.

1 Dr. DiGiacinto - for Deft - Cross

2 A Yes.

3 Q Then you go to your chart when you're examining him,
4 when you examine this patient, go to your chart and you
5 document what you found, right?

6 A Yes.

7 Q And the reason you're doing that is because the
8 following day if someone comes in and does the same thing and
9 there's a significant change, the only way they will be able to
10 know that is if you wrote it down.

11 A Unless the patient could inform you of it.

12 Q Well, doctor, can patients quantify a progressive
13 deterioration in the motor strength? Is that something you
14 found patients able to do?

15 A Yes.

16 Q Isn't that exactly what Mr. Savino did when he went
17 into the hospital in August, didn't he report that I've become
18 progressively weaker since August of 1980?

19 A I don't believe that Mr. Savino specifically made
20 that statement. I may be incorrect.

21 Q What Mr. Savino did, doctor, is, in substance,
22 describe a condition that an examining doctor interpreted as a
23 progressive weakening since April of 1980, is that a fair
24 statement?

25 A There is a statement that reflects that, yes.

1 Dr. DiGiacinto - for Deft - Cross

2 Q Patients don't come in and say by the way, doctor,
3 I've had a progressive quadripareisis since April of 1980, do
4 they?

5 A No.

6 Q They come into doctors like you and describe what's
7 happened to them over the last several months, then you as a
8 physician interpret that description into a medically
9 identifiable term, correct?

10 A Yes.

11 Q That's exactly what happened here, a physician who
12 took the time to take a history and put things together,
13 listening to the patient, described a progressive quadripareisis
14 since April of 1980, correct?

15 A I believe that statement is in the chart. How he
16 arrived at that statement is what I can't comment on. I wasn't
17 there.

18 Q Do you have any reason to disagree with it?

19 A The statement was in the chart, no.

20 Q Doctor, if as you say the only reason to do a
21 decompressive laminectomy is to correct and stop progression of
22 the disease, and if, as this doctor has written, this patient
23 has had a progressive quadripareisis since April of 1980, isn't
24 it fair to say, then, doctor, that there was a significant
25 period of time where surgical intervention could have been done

1 Dr. DiGiacinto - for Deft - Cross
2 to stop the progression of the disease?

3 A You'll have to define significant period of time to me

4 Q April to August.

5 A No.

6 Q Are you saying that at no time in that period that
7 surgical intervention occurred there would be no improvement in
8 the patient's condition?

9 A I think it's very unlikely that this patient would
10 have sustained any functional improvement in any period of
11 time.

12 Q How about prevention of further deterioration?

13 A Yes.

14 Q There would have been?

15 A Yes.

16 Q Now, you have told us that the patient was in the
17 best metabolic condition on the August admission that he had
18 ever been. Do you recall saying that?

19 A In the period of time that we're dealing with from
20 April until August, he was better in August than at any point
21 that I have information available on him.

22 Q Doctor, you have no information about his metabolic
23 status on July 5, do you?

24 A Only in the sense that he's still recovering from his
25 process. Time has to pass. I don't need a protein reading or

1 Dr. DiGiacinto - for Deft - Cross
2 anything else to know that he's still recovering.

3 Q Protein. Let's talk about protein and healing. His
4 surgical wound healed, correct?

5 A Yes.

6 Q The protein problem that's been used in this
7 courtroom for the last week would have impacted on the healing
8 process, wouldn't it?

9 A Yes.

10 Q His surgical wound was clean, dry, intact and healing
11 well on discharge from the hospital, wasn't it?

12 A Yes.

13 Q His protein problem did not prevent the abdominal
14 wound from healing, did it?

15 A No.

16 Q In fact, the protein problem was resolved by the time
17 he was discharged, wasn't it?

18 A I don't know that, but you can show me the numbers.

19 Q Doctor, can we say, to a reasonable premise, that if
20 a physician feels that a problem is no longer significant that
21 he or she will not order tests to evaluate it?

22 A Yes.

23 Q Was there a protein test taken on June 18?

24 A Not that I'm aware of.

25 Q Okay. Doctor, isn't it a fair premise to say the

1 Dr. DiGiacinto - for Deft - Cross
2 reason it wasn't taken was because the protein problem had
3 resolved?

4 A I think if you say was resolving I would agree with
5 you fully; had resolved, I can't answer.

6 Q The way you'd be able to answer is if there was a lab
7 test done, correct?

8 A Yes, it will be helpful.

9 Q You're not going to be critical of her not taking a
10 protein test on discharge, are you?

11 A No.

12 Q Doctor, we were talking about the lack of examination
13 on July 5.

14 MS. HIRSCHHORN: Objection.

15 THE COURT: What's your objection?

16 MS. HIRSCHHORN: The way the question is
17 phrased, the lack of examination I think is improper
18 and not suggestive of a meaningful question, and I
19 take exception.

20 THE COURT: Overruled.

21 Q Does the July 5 record reflect any examination of
22 muscle strength?

23 A No, it does not.

24 Q Does the July 5 record reflect any examination of
25 this patient at all other than looking at the incision, looking