

STATE OF NEW YORK

COUNTY OF ERIE

SUPREME COURT

PART 21

\*\*\*\*\*

CHARLENE VandUSEN and MICHAEL VandUSEN

Plaintiffs

Index No. 10754/01

vs.

Trial Testimony  
of Dr. Capicotto

DELBERT McMASTER and JANET McMASTER  
and DELBERT E. McMASTER AGENCY, INC.

Defendants

25 Delaware Avenue  
Buffalo, New York  
January 26, 2005

BEFORE:


HONORABLE JOHN M. CURRAN

Justice

APPEARANCES:

SHAWN W. CAREY, ESQ.  
Appearing for the Plaintiff

JAMES P. BURGIO, ESQ.  
Appearing for the Defendant



Sally S. Frizzell, CSR  
Official Court Reporter

2 THE COURT: Mr. Carey, Mr. Burgio, good  
3 morning. Are you all set, Mr. Carey?

4 MR. CAREY: Yes, we are.

5 THE COURT: Bring the jury out, please.

6 (9:39 a.m. - Jury present.)

7 THE CLERK: Good morning, jurors. Please  
8 answer as I call your names.

9 (Jury roll called.)

10 THE CLERK: All jurors and counsel are  
11 present, Your Honor.

12 THE COURT: Thank you. Good morning. Thank  
13 you all very much for being here on time, despite  
14 the weather.

15 (Discussion off the record.)

16 THE COURT: Mr. Carey, your next witness,  
17 sir?

18 MR. CAREY: Thank you, Your Honor. The  
19 plaintiffs call Dr. William Capicotto.

20 THE DEPUTY: Place your left hand on the  
21 Bible, rise your right hand, listen to the words  
22 of Madam Clerk.

23 W I L L I A M C A P I C O T T O, having first been duly  
24 sworn, testified as follows:

25 THE CLERK: Please be seated. Please state

1 Capicotto - Direct - Carey

3

2 your full name and business address for the  
3 record, spelling your name.

4 THE WITNESS: William Capicotto,  
5 C-a-p-i-c-o-t-t-o. And my office address is 135  
6 Linwood Avenue, Buffalo, New York, 14209.

7 THE CLERK: Thank you.

8 THE COURT: All set, Mr. Carey.

9 DIRECT EXAMINATION

10 BY MR. CAREY:

11 Q. Thank you, Your Honor. Good morning, Doctor.

12 A. Good morning.

13 Q. Could you please tell the jury, what is your  
14 occupation?

15 A. I am an orthopedic spine surgeon.

16 Q. And how long have you been an orthopedic spine  
17 surgeon?

18 A. I started practice in 1986, so 18 years.

19 Q. And what type of an education did you obtain to  
20 become an orthopedic surgeon?

21 A. Well, I graduated from high school at Niagara  
22 Wheatfield, in Sanborn, New York. And I graduated from  
23 college, University of Buffalo, in 1976. I have to think  
24 back. Then completed four years of medical school at the  
25 University of Buffalo Medical School. I completed that in

2 1980. And then the next six years were spent in a  
3 combination of an internship, followed by a residency, and  
4 then a spinal fellowship. I completed that in June of --  
5 June 30th of 1986. And then I went into practice on July  
6 1st of 1986.

7 Q. And when you say the spinal fellowship, what was  
8 that and where did you do that?

9 A. I -- also in Buffalo, New York, through the  
10 University of Buffalo, School of Medicine.

11 Q. Okay. And what did that entail?

12 A. Well, that was a specific year that was completely  
13 devoted to the treatment of people that have some sort of  
14 injury or disorder or a disease in -- of their spine. Prior  
15 to that I -- during our residency in orthopedics, that's the  
16 bones and joints, I did have quite a bit of spine training  
17 in there. But this specific year was after I had graduated  
18 from my residency, and it was a year just devoted to  
19 treatment of spinal problems.

20 Q. Are you affiliated with any hospitals locally?

21 A. I am a staff member at the Buffalo General  
22 Hospital, which is part of the Kaleida Health System. I'm  
23 also -- I also have staff privileges in the Catholic Health  
24 System.

25 Q. And obviously, Doctor, you're licensed to practice

2 medicine in New York State?

3 A. Yes.

4 Q. And what does it mean to be board certified?

5 A. Board certification means that there is a panel or  
6 a board of doctors that are responsible for administering  
7 examinations and certifying an applicant's credentials. So  
8 once your doctor completes all of his or her training, the  
9 gold standard in our society, in the United States, is to  
10 sit for your board examinations. And in orthopedics, at the  
11 time, that was a series -- a two day series of written  
12 examinations, eight hours a day, followed, I believe two  
13 years after that, by a two-day series of oral or verbal  
14 examinations which each applicant, such as myself, would go  
15 into a room where there would be a panel of doctors, and  
16 you -- you would be given certain cases to discuss with  
17 them. So one might be -- in one room might be just the hand  
18 surgeons, and the other room of doctors was the spine  
19 surgeons, and another room was the joint replacement  
20 surgeons, and you'd have to go -- and that was like a  
21 two-day oral -- oral examination. So I fortunately passed  
22 those.23 Q. And are you board certified as an orthopedic spine  
24 surgeon, Doctor?

25 A. Yes.

2 Q. And how long have you been board certified?

3 A. Since 1988.

4 Q. And do you also teach orthopedic spine surgery at  
5 any local medical schools?

6 A. I am affiliated with the University of Buffalo.

7 Our fellowship program -- we don't have a formal fellowship  
8 program anymore. We -- a few years ago we disbanded that.  
9 But I am responsible for the training of medical students,  
10 residents, and other health personnel like surgical  
11 technicians that come in; they have to learn how to do --  
12 help us during surgery, and students of that nature.

13 Q. All right. And, Doctor, is it fair to say that  
14 since becoming board certified, that you have devoted your  
15 professional life to the actual treatment and care of spine  
16 patients?

17 A. Yes.

18 Q. And are you familiar with the term trauma, Doctor?

19 A. Yes.

20 Q. And in -- in the context of a spine surgeon,  
21 orthopedic spine surgeon, what does trauma mean, or why is  
22 it important?

23 A. Well, trauma would be the application of -- there  
24 is different types of trauma. But we usually think of  
25 trauma as an acute single event that has usually a

2 sufficient force that would injure a structure. That's how  
3 most -- that's how -- when I -- originally my first thought  
4 of trauma would be an uncontrolled force that would cause an  
5 injury.

6 Q. And as a treating orthopedic surgeon, do you have,  
7 or have you treated patients suffering from traumatic spinal  
8 injuries?

9 A. Well, that's what I do on a daily basis.

10 Q. And as a treating orthopedic surgeon, through the  
11 many years, do you ever determine when a patient comes in,  
12 do you ever take a history to try and determine what the  
13 cause of that person's condition is?

14 A. Yes.

15 Q. All right. Is that what you do routinely?

16 A. That's the standard. As a patient presents to my  
17 office, they usually have what we call a chief complaint.  
18 That's usually pain, or pain and weakness, something of that  
19 nature. And usually it starts in their spine, whether it's  
20 different parts of their spine, it may or may not go into  
21 one of their extremities such as their arms or their legs.  
22 And the issue is, how did that happen. Very -- it's kind  
23 of -- kind of sounds simple, but, you know, who, what,  
24 where, when and why. How did this happen? What brought you  
25 here? So we ask the patient for their chief complaint, and

2 then we need to get a reasonable history. It doesn't have  
3 to be the most detailed, and sometimes it can't be. But we  
4 try to get a reasonable history of what happened to that  
5 particular individual, that they're suffering pain, pain and  
6 weakness, that they ended up being to come into my practice.

7 Q. And is the way -- the trauma, and is the way the  
8 trauma occurred relevant to your assessing what type of  
9 injury they may have?

10 A. It -- it does have a degree of relevance.

11 Sometimes it could be -- it could be -- the importance of it  
12 can vary. If -- if a patient comes into my office and they  
13 have a herniated disc, the thing that I really need to know  
14 is that they have a herniated disc. But the routine thing  
15 in medicine is, how did it happen?

16 Q. Let me ask you that, Doctor. What is a herniated  
17 disc?

18 A. Well, first of all, a disc, it's called the  
19 intervertebral disc, is the structure between two vertebrae  
20 that's kind of spongy, and it's a very strong structure that  
21 helps us to move.

22 Q. And, Doctor, do you have anything with you that  
23 would help demonstrate for the jury what a disc is, and what  
24 you're describing?

25 A. I did bring a model -- I brought two models with

2 me, to help. And I do have one that shows a herniated disc.

3 MR. CAREY: Your Honor, if I may approach to  
4 have it marked?

5 THE COURT: Sure, go right ahead.

6 (Plaintiff's 26, model of spine, marked for  
7 identification.)

8 MR. CAREY: Would it be possible for the  
9 doctor to stand before the jury to demonstrate?

10 THE COURT: That's fine.

11 BY MR. CAREY:

12 Q. Doctor, if you could, using what's been marked as  
13 Exhibit 26, for demonstrative purposes, could you explain to  
14 the jury what you're describing as a herniated disc?

15 A. This is a -- this is a plastic model of the lumbar  
16 spine. And it's as if -- as if it's standing like I'm  
17 standing, facing you. This is how it would look from the  
18 front. This is how it would look facing Mr. Carey. And  
19 then if I turned from the back, these are the back -- when  
20 you look, when somebody bends over, especially if they're  
21 real skinny, these are the bumps in the back, this is the  
22 spine. And then this would be looking towards the front of  
23 the courtroom. And it's -- the spine itself is made up of  
24 vertebrae, which are these blocks of bone that we see. And  
25 the lumbar spine we call L, for lumbar, lumbar 1, lumbar 2,

2 3, 4, 5. And then we have the sacrum. The sacrum we  
3 usually just call S1, it's a number of bones that are  
4 usually healed together that they call the sacrum. And in  
5 between the vertebrae -- in order for us to bend and to bend  
6 from side to side, or twist, we have these intervening  
7 structures that are called the intervertebral discs, or  
8 discs. And they help to help the spine move. If we didn't  
9 have any discs, we'd be like a -- like a candy cane, and we  
10 wouldn't be able to bend or twist. So the discs are  
11 structures that are very flexible, they're very, very  
12 strong. And, actually, the outer covering is a very, very  
13 thick ligament that goes around and around a number of  
14 times. And if you went into -- if you went to the medical  
15 school and went to the laboratory and looked at the disc  
16 under the microscope, you'd actually see that the disc grows  
17 right into the bone, I mean, it's really grown in there, so  
18 it's firmly attached to the bone.

19 In its normal state, the disc is the circumference of  
20 the disc, is at the same edge of the vertebrae. And then  
21 we'll look straight down the center of the spine, and this  
22 is the canal, or the spinal cord, and the spinal nerve  
23 roots.

24 Now, if a disc suffers a sufficient trauma, the outer  
25 covering of the disc, which is this big ligament that goes

2 around and around, can tear. And the center of the disc has  
3 a very mucousy, jelly substance in it that can squirt out.  
4 And if it squirts out and pinches a nerve root, that can  
5 cause severe pain, it can cause severe pain in the back, or  
6 the leg, or the back and the leg.

7 So this model has a herniated disc between the third and  
8 fourth lumbar vertebrae on the right side.

9 So if this -- if this were my spine, and I had a disc  
10 herniation like this at L3-4, I'd probably have terrible,  
11 terrible pain on my back on the right side, and it would go  
12 down the buttock and the right side of my thigh.

13 MR. BURGIO: I'm sorry --

14 THE COURT: Let's break it up, Mr. Carey, a  
15 little bit, with a question. Go ahead.

16 MR. BURGIO: I'm sorry, because I'm not  
17 looking at you, Doctor, was the herniation on the  
18 right side?

19 THE WITNESS: Yes, this is the herniation,  
20 right side.

21 MR. BURGIO: And then the pain, did you say,  
22 would be down the right side?

23 THE WITNESS: In this -- in this specific  
24 model, the herniation --

25 MR. BURGIO: In that model, right?

1 Capicotto - Direct - Carey

2 THE WITNESS: The herniation of this v  
3 give pain down the front of the leg, on the right  
4 side.

5 MR. BURGIO: And, yes, Your Honor, I would  
6 ask for a question every once in a while.

7 THE COURT: Go ahead, Mr. Carey.

8 BY MR. CAREY:

9 Q. And, Doctor, with regards to the, I think you  
10 talked about the nerve endings which run through the spine,  
11 and that the disc, if it's herniated, will impinge on those  
12 and cause pain?

13 A. Correct.

14 Q. Can the -- the herniated disc, or the material  
15 itself leaking out, can that also cause pain or irritation  
16 to those nerve roots?

17 A. Yes.

18 Q. So in addition to the actual pressure of a  
19 herniation, the material itself just leaking out will cause  
20 irritation and pain in the nerve roots?

21 A. Right. Right. There is a few different  
22 components. In --

23 Q. Doctor, if you could face the jury?

24 A. Sorry. There is a few different components  
25 There is first for the disc to herniate the ligament, what

*for to tear*

has to tear an

1                   ✓ Capicotto - Direct - Carey  
2 we call the annulus has to tear, and it is a big  
3 ligament, so if the -- just the annulus tearing c  
4 really severe back pain, and maybe a little bit of      pain  
5 with it, you know, that goes into the -- into the buttocks  
6 and legs.

7                   When the center of the disc pours out of the tear, then  
8 that's what we call a herniation, the inside has pushed out  
9 of the compartment into someplace that it's really not  
10 supposed to be. It's the same as if you hear somebody has a  
11 hernia, like somebody will get an inguinal hernia. And the  
12 hernia means that the bowel has pushed through the abdominal  
13 wall and is sticking out and there is a bulge there. And if  
14 you've ever seen somebody with a hernia, they've have a  
15 bulge there. And they might have to push it back in, and  
16 then it pops back out. And in general surgery, you would  
17 fix the hernia, you would close it, or put some mesh over it  
18 to keep the bowel from coming out. We can't do that in the  
19 spine.

20                   Q. Why not?

osmolar tear

21                   A. Let's put it this way. Well, once that ligament  
22 rips, we do not have the technical ability, at this point in  
23 time, to push the mucousy disc, center, back in and sew it  
24 closed.

25                   Q. So is it fair to say then, Doctor, is a herniated

2 disc a permanent injury?

3 A. Yes.

4 MR. BURGIO: Your Honor, we're -- we have to  
5 at some point get to this particular patient.6 THE COURT: We will. Mr. Carey, a little  
7 more background is fine. Make sure it ultimately  
8 hooks up to where we're going to be on this  
9 particular case.

10 MR. CAREY: It absolutely will, Your Honor.

11 THE COURT: Great, go ahead.

12 BY MR. CAREY:

13 Q. Dr. Capicotto, with regards to -- and this model,  
14 that's a herniation on the right side, correct?15 A. It's a herniation on the right side between the  
16 3rd and 4th vertebrae.17 Q. And a herniation on the right side will -- will or  
18 can elicit pain on the right side, down the right leg,  
19 correct?20 A. It can -- well, it can do both sides. But in a  
21 classic sense, in a classic sense, like if you're going to  
22 medical school and you learn about herniated discs, what  
23 they'll tell you is if you have a disc on the right side,  
24 you'll always have pain in the right leg. They don't tell  
25 you, you can have pain in the left leg, also. But in a

2 classic sense, this should give pain, a herniation such as  
3 this, between the 3rd and 4th vertebrae should give pain in  
4 the back, through the buttocks, and down the front of the  
5 thigh.

6 Q. And what about pain in the -- or I'm sorry, a  
7 herniation at L4-5?

8 A. A herniation at L4-5 is more typical. That will  
9 give quite a bit of back pain. That characteristic of the  
10 L4-5 disc, it causes a real lot of back pain, a real lot of  
11 spinal pain, and it will cause pain that goes into the  
12 buttocks and down the legs, in the back of the legs, and it  
13 usually will go through the buttocks, down the back of the  
14 legs, and into the calves.

15 Q. And, Doctor, I believe you mentioned that in  
16 medical school, the classic disc causes pain down the leg  
17 that the herniation is on the side of?

18 A. Right.

19 Q. But that you also have seen in your practice --

20 MR. BURGIO: Your Honor, I'm going to object  
21 to the leading.

22 THE COURT: Try to stay away from the  
23 leading, Mr. Carey. Ask direct questions.

24 BY MR. CAREY:

25 Q. Doctor, you mentioned what they don't tell you in

2 medical school you is you get pain on the other side, too?

3 A. That is correct, yes.

4 Q. And has that been your experience as a treating  
5 spine surgeon for 18 years?

6 A. Yes. Most patients will have what we call  
7 bilateral, meaning both, pain on both sides. If they have a  
8 herniated disc, they will have pain on both sides. And most  
9 of those patients will usually have pain, it will be worse  
10 on one side or the other. But if you talk to them or if you  
11 have them sit down and fill out a, like we do, a pain  
12 diagram, in my practice, just to get an idea of where the  
13 patient's symptoms are, and the patient will draw, well, it  
14 hurts me there, hurts me there, hurts me there, and it kind  
15 of gives me -- when I look at it in the office the first  
16 time I meet the patient, I can just look at the picture they  
17 drew and I kind of have an idea of what's -- what's going  
18 on -- what kind of an injury they have, or where it  
19 should -- where that injury should be coming from in their  
20 spine.

21 Q. And, Doctor, you could have a seat again, if you'd  
22 like. And so all the jurors can -- Doctor, in terms of  
23 diagnosing a herniated disc, what are the ways that you use  
24 to diagnose a herniated disc?

25 A. Well, there is -- there is three -- there is three

1 Capicotto - Direct - Carey

17

2 major components when a patient comes to the office. First  
3 is their history, where they're telling their pain is in  
4 their body, or even if they draw that picture, that pain  
5 diagram.

6 The next is an examination. And on the examination, you  
7 should be able to hone in a little bit better on where their  
8 pain is coming from. That's why we do a physical  
9 examination.

10 And then the third part would be various tests that we  
11 use to either confirm our diagnosis of where the problem is,  
12 or to kind of refute that -- our diagnosis. So we have  
13 tests such as X-rays or CAT scan or an MRI or other tests  
14 that we have to have, a dye injected in the patient's spine  
15 such as a test called myelograms or discograms. Each and  
16 every test has a different purpose. It's different  
17 technology. And each -- when you go in order of the tests,  
18 each test would help to validate or I guess refute the  
19 doctor's diagnosis.

20 Q. All right.

21 A. So I have a diagnosis, so we start with test one,  
22 test two, test three, until we have a concluding diagnosis.

23 Q. Well, Doctor, with regards to, in your experience,  
24 or your opinion, with a reasonable degree of medical  
25 certainty, what is the -- going from most definitive, or I

*discogram*

2 should say going from least definitive to most definitive,  
3 in terms of those diagnostic tests, is the X-ray the best  
4 test, is the CAT scan, or what is the best test?

5       A.     The simplest and most routine test would be just a  
6 simple X-ray of the spine. That shows the bones, it doesn't  
7 really show the discs. But it may have some signs in the  
8 X-ray that can kind of point you to where it's going. The  
9 next step would probably be a CAT scan, which CAT scan  
10 means, is C-A-T, computer axial tomography, which is a real  
11 fancy X-ray, actually. And you go into a scanner, you lay  
12 there and they take a bunch of pictures and they put them  
13 together with a computer program, and they can show -- they  
14 can show the picture of the spine in different directions,  
15 to help us see if there is anything wrong.

16       The next -- and those are call non-invasive, because the  
17 patient just lays there, so they're not -- we're not putting  
18 anything in their body, or sticking a needle in.

19       The next step would be an MRI, which stands for magnetic  
20 resonance imaging. That's a test where the patient lays in  
21 the tube. It's actually a large electro magnet. And  
22 through some very sophisticated technology, it causes the  
23 electrons in our body to spin. And they can -- these  
24 magnets will pick up the little electrical signals, and they  
25 can make a picture. And it -- it works very well for soft

2 tissues such as discs or spinal cord tumors.

3 And then after we go to the MRI, the next step would be  
4 more of what we call invasive --

5 Q. Doctor, let me ask you first, are all MRI's equal  
6 or created equal or --

7 A. No, there is -- there is a different between  
8 MRI's. There is different -- usually they measure the power  
9 of the magnets in tesla units. And so that could have --  
10 the standard has been a 1.5 tesla unit, was pretty much the  
11 standard of care in the United States, is to give the best  
12 clarity or the best resolution. But there is other machines  
13 that are .35, .5 tesla, 1 tesla, 1.0, and then 1.5. So  
14 there are different strengths. And all things being equal,  
15 you would consider that the unit that is like a 1.5 would  
16 have a more clear picture than a unit that was a .35.

17 Q. All right. And in terms of, are there different  
18 things that can affect the validity of an MRI, from the  
19 standpoint of movement of the patient?

20 A. There is a lot of things that can affect the  
21 quality of an MRI. First and foremost is -- well, there  
22 is -- there is issues regarding the patient, the patient's  
23 size, the tinier they are, usually the easier they are to  
24 image. The other issue is the temperature in the room. If  
25 the patient is chilly and they're shivering, or if they

2 cannot lay -- if they're in a lot of pain and they can't lay  
3 still, it can degrade the image.

4 Sometimes patients have to hold their breath, and they  
5 can't hold their breath for an extended period of time. So  
6 it will -- it can degrade the image or lower the image  
7 quality.

8 On the -- on the other side of the -- you know, you have  
9 the patients -- there is issues regarding the patients. And  
10 then there is issues regarding the operation of the machine.  
11 If your technician doing the test behind the wall is very  
12 patient, and takes appropriate -- a long enough time, they  
13 can get a higher quality image rather than if they kind of  
14 try to zip through it. So there are differences. There is  
15 many -- there can be many reasons that you can look at two  
16 patients the same size, say 150 pounds, go to the same  
17 scanner, and one image may be crystal clear and the other  
18 image might be quite degraded. And it could be patient  
19 movement, patient temperature causing the shiver, and it  
20 could also be issues regarding the actual operation of the  
21 machine.

22 Q. And, Doctor, with regards to --

23 THE COURT: Mr. Carey, pardon me one second.

24 Our alternate juror, if you want to move over one,  
25 feel free to move. I notice you moving around a

2

little bit.

3

A JUROR: No, that's okay.

4

THE COURT: If you're fine, you're fine.

5

Sorry, Mr. Carey. I want to make sure everybody  
can see. Go ahead.

7

MR. CAREY: Dr. Capicotto, can -- now, the  
MRI is also done lying prone, correct?

9

THE WITNESS: Yes.

10

MR. CAREY: And are their occasions when --

11

MR. BURGIO: Object to the leading, Your  
Honor.

13

THE COURT: You haven't gotten there yet, but  
that's where we're going. Sustained. Stay away  
from the leading.

16

BY MR. CAREY:

17

Q. Can a -- can a herniated disc be larger or cause  
more problems with someone standing and thus be more visible  
if someone was standing or imaging if they were standing, as  
opposed to lying prone?

21

A. Yes.

22

Q. So do you have an opinion with a reasonable degree  
of medical certainty that an MRI of a patient in a prone  
position, a herniation could --

25

MR. BURGIO: Your Honor --

2

MR. CAREY: -- would be more difficult to detect than if they were able to be photographed standing up?

5

THE COURT: Do you have an objection?

6

MR. BURGIO: Objection to the leading.

7

THE COURT: That's sustained. I'm not sure the application it has to this case, Mr. Carey, so I'm not sure of the relevance. It seems to be calling for speculation. And on this particular subject I see no need for the expertise. Go ahead, at this point.

13

BY MR. CAREY:

14

Q. Doctor, I believe you also mentioned -- we moved from X-rays to CAT scans to MRI's. Was there another diagnostic test which is more reliable?

17

A. The -- there is -- after -- the MRI's are probably the last -- they're the most sophisticated of the non-invasive tests. After that, you get to invasive tests in which needles are placed into the spinal canal or into the discs themselves. And then different types of medicines, or they're actually dyes are injected into the patients, either their spinal canal or to their disc.

24

If the needle is placed into the spinal canal and dye is injected into the spinal canal, the dye comes down along the

*discogram*

1 Capicotto - Direct - Carey

23

2 nerve roots and gives a picture, and that's called a  
3 myelogram. And that's usually just one needle into the  
4 spinal canal, inject the dye, and they take a bunch of  
5 X-rays with it, and then a CAT scan.

6 After that, there is a test called a discogram. And a  
7 discogram is a -- I explain it to my patients as it is a  
8 stress test for the disc. And needles are placed in the  
9 bottom three or four discs, into the very center of the  
10 discs where the kind of jelly, mucousy area of the disc is.  
11 And then dye is squirted into that disc under pressure. And  
12 by increasing the pressure of the disc, that causes stress  
13 to the disc, the same as if I jumped up and down, my disc  
14 would be stressed, when I compress them. Well, this way, a  
15 patient would be laying face down on an X-ray table, and the  
16 doctor would put needles into their disc and actually stress  
17 the disc, so if the disc is healthy, it's real, real hard,  
18 and you can't inject -- can hardly inject any fluid into it,  
19 and the patient may not feel anything. Or they may feel a  
20 sense of pressure. If the disc is what we call pathologic,  
21 meaning ill, injured, usually what happens is severe pain,  
22 will be caused by stressing that disc. By increasing the  
23 pressure, as I said, it's a stress test for the disc. It  
24 will cause that patient pain. And the pain that it will  
25 cause the patient is usually their, what we call their

2 typical pain. Now, it may be a little more severe in  
3 character, but they -- they will know that that is their  
4 pain. And it -- it is reproducible, you can squeeze in some  
5 fluid, and they'll tell you, that's their pain, if that's  
6 the disc that's causing their troubles. And you can go back  
7 and inject it again, and it will tell you the same thing.  
8 So, those are invasive tests. What we do is, while the dye  
9 is being injected, the doctor will take a few X-rays, so we  
10 can sometimes see if the dye is squirting out, out of the  
11 disc. And then after the X-rays -- after the X-rays are  
12 done and they take the needles out, they'll send the patient  
13 for a CAT scan, to help us, to see where that dye is. And  
14 that increases the accuracy of the test.

15

Q. All right.

16

A. So the discogram is probably -- or is the gold  
standard, in that it usually gives -- not only does it give  
you a picture like an MRI does, but it tells you something  
about the -- whether or not that specific disc is healthy,  
meaning it's hard, you can't inject -- it's like trying to  
inject fluid into a Super Bowl -- a super ball. It won't  
go. Or if it tells you if it's not, if it's an injured  
disc, and it's a little softer, and it causes the patient  
their pain.

25

Q. All right. And, Doctor, these are -- and I take

gold standard - discogram

2 it you're a spinal surgeon, so at some point in the course  
3 of your treatment of your patients, you perform surgeries?

4 A. Yes.

5 Q. Okay. And we've talked about measures of  
6 diagnosing a herniated disc, pre-surgically, correct?

7 A. Correct.

8 Q. Is there a one hundred percent foolproof way of  
9 determining whether someone has a herniated disc in their  
10 spine, as opposed to not -- I'm not talking about  
11 pre-surgical, I'm talking about after surgery?

12 A. Oh, I mean, you can see the disc, in the operating  
13 room, you can see -- it's -- it's always very enlightening,  
14 very interesting, operating on somebody. And, you know,  
15 you -- as you go in, you go down into their spinal canal and  
16 you move the nerve roots out of the way, and you see the  
17 disc herniation. And sometimes it looks just like the  
18 pictures on the MRI on the disc, or times it looks bigger,  
19 or you can sometimes see a tear. But, you know, the --  
20 obviously, the best way -- the best way to see a disc  
21 herniation is to go to the operating room, you can see it  
22 right there, so.

23 Q. And, Doctor, is surgery a common way to treat a  
24 herniated disc injury?

25 A. Yes.

*surgery*  
*foolproof - operation*

2 Q. In your experience?

3 A. Yes.

4 Q. And, Doctor, have you ever treated a patient named  
5 Charlene VanDusen?

6 A. Yes.

7 Q. And do you see Mrs. VanDusen here in the  
8 courtroom?

9 A. Yes, she's in the pink sweater.

10 Q. When was the first time you treated Charlene  
11 VanDusen?

12 A. Do you want me -- can I look at my chart?

13 Q. Actually, why don't we have that marked, Doctor.  
14 What is that you're referring to?

15 A. This is my office record on Charlene VanDusen.

16 Q. All right. Would this assist you in explaining to  
17 the jury your care and treatment of Charlene VanDusen?

18 A. Yes.

19 MR. CAREY: Okay, could I have this marked?

20 MR. BURGIO: Your Honor, before it's marked,  
21 can we establish, is that the complete record?

22 THE COURT: Is that the complete record,  
23 Doctor?

24 THE WITNESS: Yes, sir.

25 THE COURT: Great. Have it marked.

2 THE WITNESS: It's the complete medical  
3 record.

4 THE COURT: Complete medical record, but --  
5 one second, Doctor, hang on.

6 THE WITNESS: It's a complete medical record  
7 that I would use in the treatment of  
8 Mrs. VanDusen, in the treatment and care,  
9 diagnosis, treatment and care. It has -- it  
10 doesn't have any issues regarding financial -- you  
11 know, when he says complete record, it does not  
12 have any financial information like a bill that  
13 went out for surgery or anything like that. I  
14 don't have that. I have the medical record.

15 THE COURT: I'm sorry, is this the complete  
16 office record pertaining to her care and  
17 treatment?

18 THE WITNESS: Yes, this is what I rely on, my  
19 daily treatment of Mrs. VanDusen.

20 THE COURT: This is exhibit 27?

21 MR. CAREY: Yes.

22 (Plaintiff's Exhibit 27, office chart, marked  
23 for identification.)

24 BY MR. CAREY:

25 Q. Doctor, if you could, please, in referring to your

2 chart, could you tell the jury, when was the first time you  
3 saw Mrs. VanDusen?

4 A. I believe it was November 18th. I'm just looking  
5 here. Yeah, November 18th, 2002.

6 Q. And did you prepare a report documenting your  
7 course of treatment on that day?

8 A. Yes, sir.

9 Q. Do you have that report before you?

10 A. Yes.

11 Q. And what is the date of that report?

12 A. November 21st, 2002.

13 Q. All right. And does that assist you in explaining  
14 to the jury your treatment of Mrs. VanDusen on that November  
15 18th, 2002?

16 A. Yes.

17 Q. Did you take a history that day?

18 A. I did.

19 Q. What was the history that you took from  
20 Mrs. VanDusen?

21 A. That she was working as a marketing director for  
22 Windshield, Incorporated. And she was making a call to a  
23 business. And as she was leaving, she stepped -- she was  
24 walking through the doorway, the heel of her shoe stuck in  
25 the threshold, causing her to trip and twist and fall

2 directly on her buttocks. I have my handwritten notes also,  
3 while at work the heel of her shoe caught between the  
4 threshold of the door, twisted, and fell to the ground. She  
5 told me that she had fallen backwards on her buttocks.

6 Q. You have both a report and your handwritten notes?

7 A. Yes.

8 Q. And obviously, Doctor, when you're talking about  
9 the report, you don't type this up yourself, right?

10 A. No, sir.

11 Q. But you do take handwritten notes in the time  
12 you're taking the history?

13 A. Myself, I also have a nurse practitioner who  
14 assists me in history taking, and usually between the two of  
15 us, we get a fairly good history.

16 Q. All right. And in this case, you took that  
17 history of Mrs. VanDusen as to how she was injured?

18 MR. BURGIO: Your Honor, this is asked and  
19 answered. We can't ask all the questions twice.

20 THE COURT: We've been over the history. Go  
21 ahead.

22 BY MR. CAREY:

23 Q. Well, was the history of the accident as she gave  
24 it to you, was that relevant to you in terms of diagnosing  
25 any injury to Mrs. VanDusen?

2 A. Yes. Well, she -- yes.

3 Q. Why?

4 A. Well, she had fallen on her buttocks. Her  
5 complaint was that she had pain in her low back that went to  
6 both legs, but was worse on the right side than left.

7 Q. And, Doctor, I believe you said in your notes  
8 you've got that she twisted and fell, landing on her  
9 buttocks?

10 A. Correct.

11 Q. Is that relevant, just in terms of the twisting  
12 and falling on her buttocks, is that relevant to you, as the  
13 spine surgeon, in assessing what type of injury  
14 Mrs. VanDusen may have suffered?

15 A. Yes.

16 Q. Why?

17 A. Well, there is -- we call it -- there is different  
18 mechanisms of injury that can cause the, as I talked about,  
19 the outer ligament of the disc is called the annulus, the  
20 very thick ligament. For a disc to be injured, the  
21 ligament, or the annulus, has to tear. There has to be a  
22 relationship in it. And then the inside of the disc may or  
23 may not spit out and herniate, or rupture outside that tear.  
24 Twisting -- a -- a force that causes twisting, and then what  
25 we call, in orthopedics we call axial compression, twisting

2 and squishing down is probably one of the easiest ways that  
3 a disc will tear, compared to just pushing down, you -- you  
4 would need less force pushing straight down if you twist and  
5 push down at the same time, compared to just pushing  
6 straight down. So that -- that force that we talk about is  
7 axial compression, plus a rotation or twisting is a very  
8 simple and common way that a disc -- the covering of the  
9 disc, the ligament, the annulus, will tear. And then once  
10 the ligament is torn, the inside of the disc will generally  
11 find its way out that tear.

12 Q. All right. All right. And so do you have an  
13 opinion with a medical -- reasonable degree of medical  
14 certainty as to whether or not the -- the accident as  
15 described to you by Mrs. VanDusen was a competent producing  
16 cause of a potential disc injury?

17 A. That -- yes, that is a competent producing cause  
18 of an injury to the disc.

19 Q. All right. What else, did you take any -- any  
20 other further history of Mrs. VanDusen with regards to her  
21 medical treatment or her pain level?

22 A. Yes. She -- it was interesting, she had -- she  
23 was injured on March 20th of 2001. I saw her approximately  
24 18, 20 months later, in November of 2002. So she had a  
25 period of time for this problem to heal. Probably the most

1 Capicotto - Direct - Carey  
2 important component of healing a disc injury  
3 percent of people that suffer a herniated disc  
4 lumbar spine are better within three months.  
5 means either they're pain free or their pain ~~much~~  
6 better than they're pretty much back to ~~normal~~ activities.  
7 So once a patient or a person who has a disc herniation is  
8 out three months after injury and they're still suffering,  
9 the likelihood that they're going to get better without  
10 surgery is quite low. Once they hit a year, it's -- after  
11 the injury, and they're not better, the likelihood that  
12 they're going to improve without an operation is extremely  
13 low, you're talking probably 1 or 2 percent. So the most  
14 important treatment is actually time. And most people  
15 should get better within three months.

16 She also went to physical therapy. She modified her  
17 lifestyle. That's another important factor. She had -- she  
18 had been seen by Dr. Bansal, who is an anesthesiologist who  
19 has a practice in pain management. She had gone for    
20 epidural injections, which is a form of treatment in which a  
21 needle is placed in the spinal canal and a Novocain type of  
22 medication and cortisone is placed around the disc and over  
23 the nerve roots. And that also had not worked. So she  
24 had -- she had had more than an adequate or a reasonable  
25 course of treatment.

2 Q. Did you determine from Mrs. VanDusen whether she  
3 treated with any other doctors for her back injury?

4 A. Well, she had seen Dr. Bansal. Dr. Scanlon was  
5 her private physician, and he I think was kind of directing  
6 her care, even though he's not a spine doctor, he was  
7 helping her along. She had also seen -- she saw -- she saw  
8 Dr. Huckell, Cameron, who had done a test on her. And she  
9 had at one point seen Dr. Lewis, I believe, who's a  
10 neurosurgeon also, does spine surgery.

11 Q. And do you know Dr. Huckell?

12 A. Yes.

13 Q. And do you know Dr. Lewis?

14 A. Yes.

15 Q. And did you do -- did she bring along any  
16 diagnostic tests on that first day?

17 A. She did bring some pictures of a discogram. Dr.  
18 Huckell had attempted to perform a discogram on Charlene  
19 VanDusen, and she brought along those pictures. In  
20 addition, she brought along two different MRI examinations  
21 of her lumbar spine.

22 Q. All right. And did you review those MRI's?

23 A. Yes.

24 Q. All right. And did they -- did they appear  
25 relevant to you in any way, as you reviewed them?

2           A. Well, there were some -- there were some changes  
3 in the MRI's that were suspicious of troubles at L4-5 and at  
4 L5-S1, which were the bottom two disc levels.

5           Q. And specifically what changes did you see on these  
6 MRI's?

7           A. There was an MRI from Northtowns Imaging which was  
8 performed April 25th, 2001, which was about a month after  
9 the injury. And that showed that there was some -- was some  
10 stenosis or mild compression at L4-5, along with a possible  
11 protrusion or annular tear at L5-S1. There is also an MRI  
12 that was performed on June 25th of 2002, about 15 months  
13 after the injury that showed what I said was similar changes  
14 as the original MRI, however, there was -- I thought there  
15 was motion artifact meaning that Mrs. VanDusen had moved in  
16 the scanner. And there was some degradation of the quality  
17 of the images. And she noted that she had -- she had a bit  
18 of claustrophobia, which makes it difficult for patients to  
19 lay in the scanner.

20           Q. And did you take any further medical history from  
21 her?

22           A. Well, in regards to her -- in regards to her  
23 spine, she had -- I had asked her, she did not have any  
24 troubles with her low back that she recalled, in her life.

25           Q. And as an orthopedic spine surgeon, is that most

2 relevant history that you're looking for is with regards to  
3 her back problems?

4 A. Well, we're kind of looking for major -- I mean,  
5 adults having a backache is the second highest cause for  
6 people in the United States, or people in North America,  
7 missing work. The number one cause for people in our  
8 society to miss work is a cold. The number two reason for  
9 people in our society to miss work is low back pain. So,  
10 having -- having a backache, in and of itself, you can't put  
11 too much weight in it. But we're looking at like something  
12 really -- something really severe that, meaning did they  
13 have another herniated disc, or did they have a fracture of  
14 their spine, usually something with a little more meat on it  
15 than a person that had a backache and had an X-ray or  
16 something of that nature.

17 Q. All right. And, Doctor, did you -- did you have  
18 any history from Mrs. VanDusen that she had ever missed any  
19 time from work, prior to March 20th of 2001, for any back  
20 pain?

21 A. I don't believe she had. *she hasn't worked*

22 Q. And did you take any history in November of 2002  
23 as to whether or not she had been out of work since March  
24 20th of 2001, because of the back injury?

25 A. Correct, that -- you are correct, it was her last

2 day that she -- the day she was injured was March 20th of  
3 2001, and that was the last day she worked.

4 MR. BURGIO: Your Honor, may we approach?

5 (Discussion at the bench off the record.)

6 MR. CAREY: Doctor, did she also indicate to  
7 you any surgical history not related to any back  
8 problem?

9 MR. BURGIO: Your Honor, I thought we just  
10 talked about this.

11 THE COURT: No, overruled. We're going to  
12 move beyond this pretty soon. Go ahead.

13 THE WITNESS: She had -- I know she had some  
14 female type surgery I believe in the past, I'm  
15 just looking to see if I can find it here.

16 BY MR. CAREY:

17 Q. And she told you about that?

18 A. Yes.

19 Q. Is that documented in your record?

20 A. I just want to find it. I'm pretty certain it is.

21 Q. And just for the sake of moving this along, the  
22 second paragraph on page 2 of your initial report?

23 MR. BURGIO: Your Honor, he said he believed  
24 that she told him that.

25 THE WITNESS: Yeah, I do have it in my

2 handwriting. My typewritten is hard to find it,  
3 but in the area of my handwritten notes, she had  
4 had gynecologic surgery in the past.

5 BY MR. CAREY:

6 Q. Okay. And, Doctor, did you also take a physical  
7 exam of Mrs. VanDusen that day?

8 A. Yes.

9 Q. And what did you -- what did you find from that  
10 physical exam?

11 A. Well, in regards -- in regards to her spine?

12 Q. Yes.

13 A. Her -- when she stood, she had normal balance,  
14 meaning she wasn't tilted to the right or left. There was  
15 severe tenderness directly over her spine, when I actually  
16 pushed on the spine itself, on those little bumps in the  
17 middle of the back, right over the spine, when you pushed on  
18 those bottom three vertebrae, at L3-L4, L4-L5 and S1, she  
19 was quite tender there. Actually, it says severe  
20 tenderness. She got in and out of a chair with difficulty.  
21 When she was sitting, she tended to sit on the left  
22 buttocks. Her gait was normal, reciprocal, as we say. She  
23 could only bend forward 30 degrees before pain would stop  
24 her from bending further. She could only bend backwards  
25 about 10 degrees.

2 She was able to walk on her heels and toes, however she  
3 had a difficult time performing that. In addition to pain  
4 or tenderness right on the spine, the right side of her  
5 muscles in the low back were tender, as was the sciatic  
6 notch, right over -- in her buttock. Below her spine there  
7 is an area where the sciatic nerve comes out, and she was  
8 very tender in that area.

9 Q. All right. And, Doctor, is that consistent with a  
10 disc injury, in your experience?

11 A. It -- it's -- yes, yes.

12 Q. And, Doctor, did you make an opinion on that date,  
13 November 18th, 2002, as to what Mrs. VanDusen may be  
14 suffering from?

15 A. Well, I thought that she had a disc herniation at  
16 L5-S1, with what we call radiculopathy, which means pain  
17 going into the legs. I wasn't completely certain. And I do  
18 mention later on in my letter that there was either a disc  
19 herniation or an annular tear at L5-S1 or at L4-5. So I  
20 thought she had some form of injury at one or both of those  
21 levels.

22 Q. Did you make -- did you -- did you make a request  
23 for authorization for any other diagnostic test?

24 A. Yes, I asked for an updated MRI on a 1.5 tesla  
25 machine.

2 Q. And, by the way, have there been other MRI's that  
3 were not on the 1.5 tesla machine?

4 A. I believe that the first -- the first two MRI's  
5 that Northtowns machine I believe is a .35 and the  
6 Physicians machine I believe is a 1.0 tesla machine. So  
7 neither of them are the 1.5 --

8 Q. All right.

9 A. -- variety.

10 Q. And what does it mean, to have L4-5 stenotic --

11 A. Well, as -- it was a bit narrow in that area,  
12 stenosis means that the canal -- the diameter of the canal  
13 was a little narrower compared to immediately above it and  
14 below it.

15 Q. What can cause narrowing of the canal?

16 A. Well, you can have it from a disc herniation, can  
17 cause it. *or degeneration*

18 Q. And, Doctor, did -- on that date, did you make a  
19 determination as to what the cause of Mrs. VanDusen's  
20 problems was?

21 A. Well, my -- my diagnosis was that she had some  
22 form of injury to one of the bottom, either the bottom disc,  
23 or the bottom two -- either the L4-5 disc, or L5-S1, or both  
24 of those had either been torn and/or herniated, that was  
25 causing her severe pain.

2 Q. And on that date, on November 18th, 2002, did you  
3 record in your report whether or not the fall of March 20th  
4 of 2001 was the cause of that injury?

5 A. I -- I did.

6 Q. What did you report?

7 A. Well, I'll read from my letter. Once again, this  
8 lady denies any trouble with her back prior to the injury of  
9 3/20/01. Causality is therefore one hundred percent related  
10 to the injury of 3/20/01 at Windshield, Incorporated.

11 I note that she has not worked since that time, and  
12 remains totally disabled for the next --

13 Q. All right. And with regards to -- did you make a  
14 determination as to when you -- when you would see her  
15 again?

16 A. I was going to see her in approximately six weeks.

17 Q. And, by the way, during this -- did you -- did you  
18 then see her six weeks later?

19 A. Yes, I saw her on January 7th of 2003.

20 Q. And what did you do that day?

21 A. I examined her, I noted in my letter that she was  
22 miserable with pain in her back and legs. I examined her.  
23 She was tender over her spine. She had a little -- a loss  
24 of lumbar flexion, and I basically requested for some more  
25 testing, because we hadn't received authorization for

2 further testing.

3 Q. All right. And did you -- did you have an opinion  
4 at that time as to whether she was still disabled from  
5 returning to work?

6 A. Yes, I noted that she was totally disabled.

7 Q. All right. And when was the next time you saw  
8 Mrs. VanDusen?

9 A. February -- February 10th of 2003.

10 Q. All right. And between your treatment of  
11 Mrs. VanDusen on January 17th in 2003, and your examination  
12 of her on February 10th of 2003, had Mrs. VanDusen undergone  
13 any tests?

14 A. Yes.

15 Q. What tests had she undergone?

16 A. She underwent a discogram of her lumbar spine.

17 Q. All right. And had you reviewed that discogram?

18 A. I did see that, yeah, yes.

19 Q. And is that something that you commonly rely on,  
20 and I believe you've testified that's something you commonly  
21 rely on in your practice to determine, I think you called  
22 it, the gold standard, for determine whether somebody has a  
23 disc herniation?

24 A. Yes.

25 Q. And do you know if there is a -- a film or --

2 there is some report of that discogram?

3 A. I do have the report by Dr. Iqbal, yes.

4 Q. Who is Dr. Iqbal?

5 A. Dr. Iqbal is one of the radiologists at the  
6 Buffalo General Hospital, and he performs -- actually, he  
7 performs, I would say, the great majority of the invasive  
8 tests for spine, for the spine surgeons. He does myelograms  
9 and discograms for us.

10 Q. And is a discogram something that you commonly  
11 rely on Dr. Iqbal to perform on your patients?

12 A. Yes.

13 Q. All right. And was it your understanding  
14 Mrs. VanDusen had had a prior discogram attempted by someone  
15 else?

16 A. She had one by Dr. Huckell. I had seen the X-ray  
17 portion of the discogram. I don't believe that a CAT scan  
18 was done afterwards. It wasn't attempted -- it wasn't a  
19 complete discogram. He didn't -- he wasn't able to get  
20 the -- all the discs done.

21 Q. All right. And, Doctor, as an orthopedic surgeon,  
22 do you -- do you generally do your own discogram, or do you  
23 have Dr. Iqbal do it?

24 A. No, I -- I -- I have Dr. Iqbal do my discograms.

25 Q. Why?

2 A. Well, there is -- there is two major reasons. The  
3 major reason is he probably does a thousand a year.

4 Q. Is it fair to say, Doctor, he's better at them  
5 than you are?

6 A. Yes, quite facile at -- at performing discograms.  
7 He has a team. They're dedicated to -- a specific  
8 dedication to doing those specific tests. And -- and  
9 performing a discogram has -- it's like any other job, in  
10 that there is certain nuances in a job, to get it done.  
11 And, it's one of those things that if you're doing it all  
12 the time, you tend to be more facile, or better at it.

13 THE COURT: Doctor, pardon me for one second.

14 We've got that point. Let's move on to the  
15 treatment of Mrs. VanDusen. Excuse me for  
16 interrupting.

17 Q. I'd like to have marked in evidence -- let me ask  
18 you, the discogram report, is that something that you --  
19 that you commonly rely on in the treatment of your patients?

20 A. Yes.

21 Q. Is that something that you referred to and relied  
22 on, in the course of your treatment of Mrs. VanDusen?

23 A. Yes.

24 Q. All right. And is that the report that you did  
25 rely on?

2 A. Yes.

3 MR. CAREY: All right. May I have this  
4 marked? Is this also part of it?5 (Plaintiff's Exhibit 28, discogram report,  
6 marked for identification.)7 MR. CAREY: Your Honor, I'd seek to move  
8 Plaintiff's 28 into evidence.

9 MR. BURGIO: No objection.

10 THE COURT: Mark it.

11 (Plaintiff's Exhibit 28 marked into  
12 evidence.)

13 BY MR. CAREY:

14 Q. Referring to Plaintiff's 28 in evidence, Doctor,  
15 would you please tell the jury what that discogram -- well,  
16 first of all, what date was that discogram done?

17 A. January 29th of 2003.

18 Q. All right. What did that discogram reveal, to  
19 you, as the treating orthopedic surgeon?20 A. It revealed that the disc at L4-5 had a  
21 herniation. It -- when Dr. Iqbal -- Dr. Iqbal injected the  
22 bottom three discs. The specific disc at L4-5, which was in  
23 between -- that was the middle disc, reproduced  
24 Mrs. VanDusen's severe back pain. The disc above it and the  
25 disc below it caused no pain on injection. So she had a

2 herniated disc at L4-5.

3 Q. And -- and, Doctor, by the way, with regards to  
4 the discs above and below it, were there any signs of  
5 degenerative changes in those discs?

6 A. No.

7 Q. And is that consistent with the other MRI's you  
8 reviewed with regards to showing no degenerative changes in  
9 Mrs. VanDusen's discs?

10 A. Yes.

11 Q. And is that significant to you in determining the  
12 cause of the herniation which you did find in  
13 Mrs. VanDusen's disc?

14 A. Yeah. The way that Dr. Iqbal performs a test is  
15 that the patient is not aware of which discs are being  
16 injected. And the important factor here is that only one  
17 disc caused pain, and caused the pain that she was familiar  
18 with, or her typical pain. That is the disc that Dr. Iqbal  
19 found to be herniated. So as he's injecting the discs, she  
20 doesn't know which one is L4-5 or which one is L5-S1 or  
21 L3-4, he's injecting the disc, and she says, that is the one  
22 that's hurting me. And that happens to be L4-5. And the  
23 test did show that that disc was herniated, so it was not a  
24 normal looking disc. It did -- it was the only one of the  
25 three that had a herniation. There is no degeneration. It

2 was a traumatically injured disc.

3 Q. And, Doctor, when you say injecting fluid, is  
4 there -- is there a certain amount of fluid that is involved  
5 in determining whether or not a disc is injured as well?

6 A. Usually a normal disc will take anywhere from, say  
7 1 or maybe 2 cc's of fluid. Once it starts taking more than  
8 2 cc's, it's usually abnormal. Mrs. VanDusen's disc at L4-5  
9 took 3 and a half cc's of fluid and caused severe pain. So  
10 that's -- that's more -- it's more fluid than normal. It's  
11 not twice as much, but almost twice as much as normal.

12 Q. Is that also indicative of a disc herniation?

13 A. Well, I think there is three things there that are  
14 important. Number one, number one, that specific disc  
15 reproduced her specific pain. That's the most important  
16 part right there.

17 The other part is that, that when -- when -- the dye is  
18 injected, so you can see the dye on the X-ray and CAT scan,  
19 it shows there is a herniated disc. That confirms, she's  
20 saying it hurts, that's pain, the disc is herniated on the  
21 picture, and it took 3 and a half cc's of fluid, which is a  
22 fair amount of fluid. It's not one or 2 .cc's, it's  
23 another -- even if 2 cc's is the upper normal of a normal  
24 disc, she's taking 3 and a half. So, there -- and it's just  
25 the one disc, it's not like all three discs, it's just one.

1 Capicotto - Direct - Carey

2 Q. All right. And, Doctor, and I apologize  
3 on one question earlier you -- we had talked about  
4 degenerative conditions as opposed to a -- let me as you,  
5 what are the differences between degenerative disc pain and  
6 traumatic disc pain or traumatic disc herniation?

7 A. Well, a degenerative disc usually shows a lot of  
8 collapse. The MRI in particular will show that it's lost  
9 its water content. When the disc is injected on a  
10 discogram, the dye will not be nice -- on a discogram, when  
11 you look at the X-ray, it looks like a little cotton ball in  
12 the center of the disc. And that's what a normal disc looks  
13 like. And a degenerated disc, it kind of just flows out,  
14 like pouring a pancake, pancake batter, the dye just flows  
15 out, throughout the disc.

16 Mrs. VanDusen's disc at L3-4 and at L5-S1, the one above  
17 and below were normal. They had little cotton balls, like  
18 little bunny tail. The one in the middle that the dye  
19 poured out to the left side, and there was a herniation  
20 there. And that was confirmed on the pictures, the X-ray  
21 pictures with the discogram, and then on the CAT scan  
22 afterwards.

23 MR. BURGIO: Your Honor, this has been  
24 discussed, again.

25 THE COURT: Move on, Mr. Carey.

2 BY MR. CAREY:

3 Q. Doctor, is that relevant in determining whether or  
4 not Mrs. VanDusen's injury was traumatically caused, as  
5 opposed to just simple degenerative change?

6 A. That's a traumatic injury. That was not a  
7 degenerative -- it was not a degenerative process, it was a  
8 traumatic injury, it was an acute, one time event.

9 Q. And if there had been degenerative changes, would  
10 you have expected to see them at the discs above and below  
11 as well?

12 A. Yes, you would have seen more widespread changes.  
13 And the actual picture would have, like I said, kind of  
14 just -- the dye would have seeped everywhere, and not just  
15 out the left side.

16 Q. Okay. And, Doctor, after you reviewed this  
17 discogram, and I believe it was -- did you make some  
18 determine -- well, strike that. Let's go back. Did you see  
19 Mrs. VanDusen after you reviewed the discogram?

20 A. Actually, I -- yes, I did. I reviewed the -- let  
21 me just get it. My -- the letter that we were just  
22 discussing was actually a letter that I had sent out for  
23 notification, because she had a discogram and then I had  
24 spoken to her over the weekend. She had the discogram on a  
25 Friday, and was having some -- having some difficulty over

2 the weekend, and I wanted to document what was going on.  
3 That was on February 10th. I did see her on February -- on  
4 February 14th of 2003. And we reviewed the discogram  
5 together.

6 Q. All right. And on that date, February 14th of  
7 2003, did you make any recommendations to Mrs. VanDusen as  
8 to what course of treatment you would recommend?

9 A. Well, I advised her that she was going to need  
10 surgery to correct that problem.

11 Q. And did you discuss with her different types of  
12 surgery, or one particular surgery, or do you recall?

13 A. Well, I thought that she was more suited to have  
14 the disc removed and having a spinal fusion. And there are  
15 different ways to approach it. She wanted to approach it  
16 with a -- with a more minimal kind of approach, a smaller  
17 incision type of surgery, and just to take the herniation  
18 out to see how she would fare. And then she was hoping that  
19 that would remedy her problem.

20 Q. All right. And did you go on to perform a surgery  
21 on Mrs. VanDusen?

22 A. Yes.

23 Q. And what surgery did you perform?

24 A. I did a discectomy, a small surgery that we had  
25 discussed, and performed that on March 14th -- a month

2 later, March 14th of 2003.

3 Q. And, Doctor, did you --

4 THE COURT: What was the date, Doctor? I'm  
5 sorry. Pardon me, Mr. Carey, what was the date?

6 THE WITNESS: March 14th, 2003.

7 THE COURT: Sorry. Go ahead.

8 BY MR. CAREY:

9 Q. And, Doctor, do you have an operative report  
10 regarding that surgery?

11 A. Yes.

12 Q. Okay. And would it be possible to tear that out  
13 of your file? I'd like to have that.

14 (Plaintiff's 29, operative record, marked for  
15 identification.)

16 Q. And, Doctor, is plaintiff's Exhibit 29 an  
17 operative report which you prepared with regards to the  
18 surgery you performed on Mrs. VanDusen on March 14th, 2003?

19 A. Yes, sir.

20 MR. CAREY: Your Honor, I'd like to move it  
21 into evidence.

22 THE COURT: Any objection?

23 MR. BURGIO: No.

24 THE COURT: Mark it.

25 (Plaintiff's Exhibit 29 marked into

2 evidence.)

3 BY MR. CAREY:

4 Q. Doctor, would referring to the operative report  
5 assist you in your explaining to the jury what you found in  
6 Mrs. VanDusen's back on March 14th, 2003?

7 A. Yes.

8 Q. Did you -- first off, on March 14th, 2003, where  
9 was this surgery performed?

10 A. Buffalo General Hospital.

11 Q. And where had the discogram been performed?

12 A. Also at the Buffalo General Hospital.

13 Q. Okay. Had that been performed -- and that had  
14 been performed by Dr. Iqbal, correct?15 MR. BURGIO: Your Honor, we can't go back  
16 over this.17 THE COURT: It seems to be repetitive,  
18 Mr. Carey. Let's keep moving forward.

19 BY MR. CAREY:

20 Q. Okay. Did you have a preoperative diagnosis for  
21 Mrs. VanDusen at the time you went in for this surgery on  
22 March 14th?23 A. Right. The diagnosis was L4-5 herniated disc with  
24 radiculopathy.

25 Q. And that was a diagnosis -- did you have a

2 postoperative diagnosis?

3 A. It was the same, L4-5 herniated disc with  
4 radiculopathy.5 Q. And why did you have the same postoperative  
6 diagnosis and preoperative diagnosis?7 A. Well, the intra-- as they say in medicine, the  
8 intraoperative findings, what I saw in surgery was she had a  
9 disc herniation, the same as Dr. Iqbal diagnosed on the  
10 discogram. So the diagnosis going in was the same as the  
11 diagnosis leaving the operating room. And what was found  
12 was a herniated disc at L4-5.13 Q. All right. And could you describe the surgery  
14 that you performed -- performed on Mrs. VanDusen on March  
15 14th, 2003, for the jury?16 A. The surgery was performed under general  
17 anesthetic, which means that the anesthesiologist would, in  
18 addition to intravenous medicine, would put a tube -- put a  
19 tube into Mrs. VanDusen's -- down her mouth and into her  
20 throat and into her lungs and then gave her general  
21 anesthetic to keep her asleep, almost into a -- into a coma,  
22 so to speak, so that she could not hear. The  
23 anesthesiologist will give the medicine to paralyse them so  
24 they can't move on the table, and also give them medicine  
25 that gives them amnesia. So in the past we would hear

2 horror stories of people saying, well, I heard something  
3 going on during surgery. The medicines now stop that. So,  
4 she's -- the doctor -- the anesthesiologist was breathing  
5 for her, giving her fluids, and giving her medicine to keep  
6 her comatose, paralyzed, and give her amnesia.

7 Q. And what did you do?

8 A. All right. She was face down on the table, on an  
9 operating table, that's called a Jackson table. That's a  
10 table that we use to operate on patient's spines, face down,  
11 protected, her arms out at her sides. And then we would  
12 give her a little bit of antibiotics intravenously to reduce  
13 the risk of her getting an infection, and paint her back  
14 with antiseptic, and then drape the spine and made a small  
15 incision over her lower back -- I'd have to see the scar, I  
16 don't remember how long the scar was, but it's usually not  
17 that long, and inch or maybe two inches, right at L4-5. And  
18 go down through the skin, and then down onto the spine, on  
19 the left side of the spine, take the muscles away from the  
20 spine, and then make a little hole in the back of the spine  
21 between L4 and L5, and that's called a laminotomy.

22 Q. And, Doctor, when you say in your report the spine  
23 was stripped on the left side, what does that mean?

24 A. That means that the muscles were peeled off.

25 Q. And I'm sorry, proceed as to what --

2           A.    And then we make a little hole in the bone between  
3   L4 and 5, that's a laminotomy, and then you can see the  
4   nerve root.  As it will say in my report, the L5 root was  
5   identified and gently retracted immediately, which means I  
6   saw the root and I moved it over, out of the way.  And when  
7   I moved that out of the way, the disc herniation is  
8   immediately underneath that.  And there was, I noted, the  
9   L4-5 disc was identified, in a small to moderate -- in a  
10   small to moderate size, left-sided disc herniation was  
11   noted.  A discectomy was performed, which means removing  
12   that herniated part of that disc.

13

Q.   And how do you remove it?

14

A.   We actually get a tiny scalpel, make a little hole  
15   in the annulus, in the outer covering of the disc, the  
16   ligament, and take small instruments and reach inside the  
17   disc and then pull out any free disc fragments that are  
18   there.  There may be pieces of the annulus, the ligament,  
19   and the center, the jelly part, which is called the nucleus,  
20   and we pull that out.

21

Q.   And what is the goal of that surgery, Doctor?

22

A.   The disc is herniated, and pushing against a nerve  
23   root.  It's causing a degree of -- it's causing pain, a  
24   combination of spine pain, and pain in her legs.  The  
25   herniation was on the left side.  And so then I open that

2 area on the left side and remove the pressure so the disc  
3 would not be pushing against the nerve root on the left  
4 side.

5 Q. And that surgery was completed. Do you know if  
6 Mrs. VanDusen spent any time in the hospital?

7 A. She was in the hospital for a few days. I don't  
8 have the actual hospital -- let me just see here.

9 THE COURT: A few days is your testimony,  
10 Doctor?

11 THE WITNESS: Yes.

12 THE COURT: That's fine. Go ahead,  
13 Mr. Carey.

14 BY MR. CAREY:

15 Q. And, Doctor, with regards to the patients that you  
16 treat for surgery, and specifically with Mrs. VanDusen, did  
17 you anticipate that Mrs. VanDusen would experience post  
18 surgical pain?

19 A. Yes.

20 Q. What type of pain do they experience post  
21 surgical?

22 A. Fairly severe pain.

23 Q. And why?

24 A. Well, the incision actually, in a lady  
25 Mrs. VanDusen's size, the -- from the skin down to where the

2 disc is removed is probably about four inches deep. So  
3 it's -- say the incision is two inches long, and four inches  
4 deep. That kind of invasion into a person's body is  
5 typically quite painful, wherever it is, whether it's in  
6 there taking out their gallbladder or appendix.

7 MR. BURGIO: Your Honor, taking out the  
8 gallbladder is not the subject here.

9 THE COURT: Let's get to the next subject,  
10 Mr. Carey, if we can, please.

11 MR. CAREY: In your treatment of  
12 Mrs. VanDusen, is it your understanding that she  
13 did have substantial post surgical pain?

14 MR. BURGIO: Your Honor, asked and answered.

15 THE COURT: No, is that your understanding,  
16 sir?

17 THE WITNESS: Yes, sir.

18 THE COURT: Next question.

19 BY MR. CAREY:

20 Q. When did you next see Mrs. VanDusen after the  
21 surgery?

22 A. April 10th, about one month after surgery.

23 Q. All right. And what was your -- what did you find  
24 with Mrs. VanDusen at that time?

25 A. She was recovering. She was sore. The incision

2 had healed. She wasn't wearing her brace. It tended to  
3 bother her. And I note that she had relatively reasonable  
4 pain relief.

5 Q. Okay. Did she -- did she describe to you that --  
6 that her pain had improved from the surgery?

7 A. She -- she had moderate pain relief. She did not  
8 have wonderful pain relief.

9 Q. Did you anticipate that she would have wonderful  
10 pain relief?

11 A. No.

12 Q. Why?

13 A. Well, I --

14 MR. BURGIO: Your Honor, this is speculation,  
15 isn't it?

16 MR. CAREY: He's the treating --

17 THE COURT: No, go ahead, Doctor.

18 THE WITNESS: She had a lot of spinal pain,  
19 plus she had pain in both her legs. Actually her  
20 right leg pain was a bit worse than the left leg  
21 pain. And the problem with that type of patient,  
22 and in particular at L4-5, L4-5 is a disc that's  
23 noted to cause a lot of spinal pain. And with the  
24 pain being in both her legs, to stabilize that --  
25 that level, holding it together and fusing it,

2 even though it's a larger operation, I -- I  
3 thought that that's the operation that she needed.  
4 It was a larger -- quite a bit larger operation  
5 than a lumbar discectomy.

6 Her request for removing the disc, doing the  
7 discectomy, was not out of line. Many -- some  
8 doctors would say, well, let's try discectomy  
9 first, and if it doesn't work we'll do a fusion.  
10 I'm more of the opinion saying, look, you need a  
11 fusion. She wanted to try just more minimum  
12 surgery, which many people will do; they'll say,  
13 we'll give it a shot. But, I -- my -- in my  
14 experience, she was going to need to have a spinal  
15 fusion, so I did not expect her to have superb  
16 pain relief.

17 BY MR. CAREY:

18 Q. And did you make any recommendations on April  
19 24th, 2003, as to limitations that she had, in terms of  
20 activities?

21 A. She could straight leg raise 80 degrees  
22 bilaterally. That -- that was basically it.

23 Q. And did you -- did you make any recommendations as  
24 to types of activities she should not perform?

25 A. I asked her to wear her brace. She also should

2 not be performing any type of vigorous activities such as  
3 pushing, pulling, lifting, bending, leaning, or carrying.

4 Q. And did you make any determination on that date as  
5 to whether or not Mrs. VanDusen continued to be disabled  
6 from work?

7 A. I had placed her on total temporary disability for  
8 three months after a discectomy.

9 Q. And is that standard?

10 A. In my practice it is, yes.

11 Q. And when was the next time you saw Mrs. VanDusen?

12 A. July 29th of 2003.

13 Q. All right. And did you take a physical --  
14 physical exam that day?

15 A. Yes.

16 Q. All right. And did you also determine -- make  
17 a -- have an opinion that day with regards to  
18 Mrs. VanDusen's condition?

19 A. Well, she had -- I note, I kind of misspoke in my  
20 letter. I said, this lady continues to suffer from an L4-5  
21 disc herniation. Technically she did, but she was  
22 postoperative, I should have said she recovered from  
23 surgery.

24 I asked her to perform some stretching exercises, and  
25 that was -- that was pretty much it.

2 Q. All right. And did you also prescribe any Valium  
3 for her that day?

4 A. Yes.

5 Q. Why?

6 A. She had quite a bit of spasm in her back. She had  
7 rated her pain that day at 10 out of 10. We have a standard  
8 pain scale that's used in medicine, and she was suffering  
9 quite severe pain in her back and legs, with a lot of muscle  
10 spasms, so I placed her on Valium to try to --

11 Q. And what is the significance of muscle spasm?

12 A. It is in reaction to her -- her spine is unstable  
13 at L4-5. And the pain will cause the muscle to go in spasm.  
14 And it's very difficult for patients to live with, so I  
15 placed her on Valium to reduce that.

16 Q. All right. And when was the next time you saw  
17 Mrs. VanDusen?

18 A. August 6th, 2003.

19 Q. And what was your -- what did you find at that  
20 time?

21 A. Well, I think it was pretty much the same as the  
22 July visit. Her spine was tender, L4-L5 and S1. She had  
23 difficult time getting in and out of a chair. It took a  
24 few -- it took a few seconds for her to stand up. She could  
25 only bend forward 20 degrees.

2 MR. BURGIO: Again, Your Honor, I think this  
3 was all covered with Mrs. VanDusen.

4 MR. CAREY: This is postoperative.

5 THE COURT: Mr. Carey, how much more do you  
6 have, sir?

7 MR. CAREY: Not much more, we're going to go  
8 to the other surgery, and then we're done.

9 THE COURT: Let's go to it.

10 BY MR. CAREY:

11 Q. Doctor, have you continued to treat Mrs. VanDusen  
12 since August of 2003?

13 A. Yes.

14 Q. And how -- approximately how many times have you  
15 seen her?

16 A. Three, three times since that visit we talked.

17 Q. Okay. And has her condition improved?

18 A. No.

19 Q. All right. Has her condition worsened?

20 A. Yes.

21 Q. Is there -- has a determination been made as to  
22 what her future course of treatment is with you?

23 A. Well, she needs to have her spine fused, at least  
24 at L4-5.

25 Q. And is it your opinion, within a reasonable degree

2 of medical certainty, that Mrs. VanDusen will need that  
3 lumbar fusion in the immediate future?

4 A. Yes.

5 Q. And is it your understanding that that fusion is  
6 to be performed in the immediate future?

7 A. There has been some issues. We -- actually we  
8 were going to perform it, but there's been some issues, some  
9 family issues with the health of one of her children, so --

10 Q. Okay.

11 A. That's -- it's going to be performed, but not at  
12 this specific point in time.

13 Q. Okay. And in terms of when it will be performed,  
14 do you have an understanding as to when it will be  
15 performed?

16 A. Well, her -- her one child has some health issues.

17 MR. BURGIO: Your Honor, it's a simple  
18 question.

19 THE WITNESS: I don't have a date.

20 THE COURT: It's going to happen soon.

21 THE WITNESS: I don't have a specific date.

22 THE COURT: It's going to happen soon is his  
23 testimony. Let's go ahead, Mr. Carey.

24 BY MR. CAREY:

25 Q. And, Doctor, what is the surgery that you're going

2 to perform?

3 A. She's going to have to have her spine fused at  
4 least between L4-L5, and actually down to the sacrum.

5 Q. And do you have anything that would help you  
6 explain to the jury what the procedure is that you're going  
7 to need to do on Mrs. VanDusen's back?

8 A. Yes, I do.

9 Q. What do you have?

10 A. I brought a model that I use in my office to show  
11 patients these operations.

12 Q. All right. May I please have that marked?

13 MR. CAREY: And, Doctor, if you will, if you  
14 will step in front of the jury to explain,  
15 please -- why don't we have this marked.

16 (Plaintiff's Exhibit 30, model, marked for  
17 identification.)

18 Q. Doctor, using Exhibit 30, could you please explain  
19 to the jury what surgery it is that you're referring to,  
20 which -- that you'll be performing on Mrs. VanDusen?

21 A. This is a model of the lumbar spine, as we showed  
22 before. And what we're seeing here are the bottom  
23 vertebrae, this would be L4-L5, and the sacrum, are held in  
24 place with titanium screws and rods. In addition we would  
25 take some bone from the bone bank, and also some bone marrow

1 from her pelvis, and then pack this around -- around the  
2 spine. And also remove the discs at L4-5 and L5-S1 and put  
3 struts of bone between the vertebra so that this entire area  
4 from L4 to the sacrum would be one massive bone.

5 Q. Okay. And, Doctor, are there any risks with  
6 regards to this surgery?

7 A. Well, they're long surgeries. They take, some are  
8 about three hours to perform. We have to give patients  
9 antibiotics to reduce the risk of infection. They're done  
10 under general anesthetic. So there is general risks of  
11 death and paralysis and heart attacks and strokes, things of  
12 that -- potential complications of that nature that we have  
13 to discuss with patients before surgery.

14 Q. All right. And, by the way, with regards to the  
15 bone that you put in to replace the disc, how many levels is  
16 that, that you're talking about?

17 A. Well, she's going to need to have her spine fused  
18 from L4 to the sacrum, just because the entire area is  
19 painful.

20 Q. And where would you get that bone from?

21 A. Well, some of the bone will be taken from her.

22 Q. Where, from her?

23 A. Well, usually from the back of the lamina. We  
24 used to take bone from the pelvis, and we still do at times

2 if patients want us to, but more and more we're using bone  
3 from the bone bank, and we'll put a needle into the pelvic  
4 bone and put in bone marrow, and mix that with bone from the  
5 bone bank. It's usually less -- that technique is less  
6 painful for the patient, compared to taking the -- compared  
7 to taking a lot of bone from the pelvis.

8 Q. All right. And, Doctor, post surgically is there  
9 going to be significant pain for Mrs. VanDusen?

10 A. Yes.

11 Q. And will there be any scarring from this?

12 A. Well, the whole area is -- will be scarred. It's  
13 an incision that's probably going to be about six inches  
14 long, and then it goes down, down to the spine. So that  
15 whole area of soft tissue will be one large scar.

16 Q. All right. And from where -- can you demonstrate  
17 on your own back what area -- where that scar is going to  
18 be?

19 A. Well, probably in the low back, right from the  
20 top -- a little bit above the crease in the buttock, up  
21 about six inches from there.

22 Q. All right. Thank you, Doctor. You can have a  
23 seat. Doctor, what is -- what is the goal of this fusion  
24 surgery?

25 A. The goal is to give moderate pain relief.

2 Q. Will this surgery eliminate all of Mrs. VanDusen's  
3 pain?

4 A. No.

5 MR. BURGIO: Your Honor, that's speculation.

6 THE COURT: No, overruled.

7 THE WITNESS: No. I -- I explain to my  
8 patients that these operations are what we call  
9 palliative, they're not curative. They're not  
10 going to make her normal or bring her back to her  
11 pre-injury state. They should fairly reliably  
12 give her 50 percent to maybe 60 percent pain  
13 relief, unlikely to give her more pain relief than  
14 that.

15 BY MR. CAREY:

16 Q. All right. And, Doctor, are you talking about on  
17 a permanent basis?

18 A. Yes.

19 Q. All right. Will this -- in your opinion, will  
20 this improve Mrs. VanDusen's ability to engage in life  
21 activities?

22 A. Yes.

23 Q. All of them?

24 A. No. I -- once again, she's -- her pain scale has  
25 been between 8 and 10 out of 10 for quite a while. And if

2 her -- the -- the philosophy is, if we can improve her pain  
3 by 50 percent, she'll be able to be a bit more active. I  
4 think that she'll still lead quite a sedentary lifestyle.  
5 That's -- it's the nature of the -- it's the nature of this  
6 problem that she has, and it's also a limitation of the  
7 surgery, that -- the very surgeries that we have to remedy  
8 this are limited in their abilities. So if we can reduce  
9 her pain 50 percent and make her more comfortable and allow  
10 her to live a more comfortable sedentary lifestyle, that's  
11 what I would expect.

12 Q. And, Doctor, you mentioned earlier before that if  
13 the discs were not in the spine, your spine would be like a  
14 candy cane?

15 A. Stiff like a candy cane, correct, yes.

16 Q. Is that -- is that what Mrs. VanDusen's spine is  
17 going to be like from L4 down to S1?

18 A. Yes, she's going to lose two motion segments in  
19 her spine. She has five motion segments in the lumbar  
20 spine, she's going to lose two of them.

21 Q. Does that have any effect on her susceptibility to  
22 future injury, on different parts of her spine?

23 A. Well, it puts more stress on the --

24 MR. BURGIO: Your Honor, this is speculative.

25 THE COURT: No, we'll hear it. Go ahead.

2

Make it brief, Doctor.

3

THE WITNESS: It puts more stress on the area  
right above the L3-4 disc, so that's more  
susceptible to injury. And on a long-term injury,  
it actually puts a lot of stress through the  
sacroiliac joints. So down the road she'll  
probably get some arthritis in her sacroiliac  
joints because that's the joint above and the  
joint below. That's what we usually tell our  
patients.

12

BY MR. CAREY:

13

Q. And is that your opinion, within a reasonable  
degree of medical certainty?

15

A. Oh, yes, it is, yeah.

16

Q. And, Doctor, do you have an opinion with regards  
to whether or not Mrs. VanDusen will be able to return to  
employment, or work, after this fusion surgery?

19

A. That's very doubtful. I don't think she's going  
to be able to return to work.

21

Q. Why?

22

A. Well, once again, the nature of her problem, and  
the nature of the surgery would not allow her to return to a  
vigorous lifestyle. It will allow her to return to a more  
sedentary lifestyle. For a patient such as this to return

2 to the work place, first of all, I don't believe that she'll  
3 be able to work on a full-time basis. She might be able to  
4 work, say, two, three, four hours, three or four days a  
5 week, provided the environment that she's working in allows  
6 her to change positions, not have to push, pull, lift,  
7 carry, that kind of -- those -- those kind of tasks. So  
8 it's -- those type of -- on an ideal setting, you can -- you  
9 can formulate a job. But in the real world, there aren't  
10 many jobs like that. So --

11

MR. BURGIO: Your Honor --

12

THE COURT: That's enough. Let's go. What  
13 else do you have. I got to give the jury a break  
14 here.

15

BY MR. CAREY:

16

Q. And, Doctor, first off, with regards to her  
ability to engage in -- strike that. Will it affect her  
17 ability to sit for periods of time?

19

A. Yes.

20

Q. Affect her ability to stand for periods of time?

21

A. Yes, sir.

22

Q. Walk?

23

A. Yes.

24

Q. Drive?

25

A. Yes.

2 Q. And, Doctor, and I know I'm backtracking briefly,  
3 what is the cost of the surgery that you're talking about,  
4 the fusion surgery?

5 A. Approximately \$15,000.

6 Q. And that's for the surgeon?

7 A. Correct, that's the surgeon fee.

8 Q. That's not counting the hospitalization?

9 A. Correct.

10 Q. And in doing these surgeries, do you have an  
11 opinion, with a reasonable degree of medical certainty, as  
12 to what the cost of the hospitalization will be?

13 A. Yes, I can give you a range, pretty close.

14 Q. And what is that?

15 A. Somewhere between 60 and \$70,000.

16 Q. In addition to the \$15,000?

17 A. Yes, sir.

18 Q. All right. And what about with regards to the  
19 discectomy to date?

20 A. Cost -- the fee, schedule-wise?

21 Q. Yes?

22 A. About \$3,200, \$3,300, somewhere in there.

23 Q. For the discectomy?

24 A. I believe so, yes.

25 Q. All right. And what about the hospitalization?

1                   A. Probably in the order of \$15,000.

2                   Q. All right. And, Doctor, will Mrs. VanDusen  
3                   continue to need pain medication into the future?

4                   A. Yes.

5                   Q. And do you see that ever stopping?

6                   A. No.

7                   Q. And is that unusual in patients that you perform  
8                   these surgeries on?

9                   A. No, it's typical. Patients that have these types  
10                  of operations will have to take narcotics, muscle relaxants,  
11                  perhaps once or twice a day, or three or four times a week,  
12                  that's fairly routine, even after what we consider  
13                  successful surgery.

14                  Q. All right. Doctor, last two questions, is -- is  
15                  it your opinion, or all of the opinions you've set forth  
16                  today, are these opinions you make with a reasonable degree  
17                  of medical certainty?

18                  A. Yes, sir.

19                  Q. And in your opinion, are all of the injuries and  
20                  the conditions that Mrs. VanDusen suffers from, are these  
21                  all permanent?

22                  A. Yes, sir.

23                  Q. All right. And that's your opinion with a  
24                  reasonable degree of medical certainty?

2 A. Yes, sir.

3 Q. And, Doctor, do you have an opinion, within a  
4 reasonable degree of medical certainty, what the cause of  
5 the injury and all the treatment and the future problems  
6 that Mrs. VanDusen will have, is?

7 A. Well, I believe the cause, as I've noted in my  
8 letters, was of her -- of her problems was the fall she  
9 suffered on March 20th of 2001.

10 Q. Thank you, Doctor. Oh, and, Doctor, briefly, are  
11 you being paid to appear today, for your time.

12 A. I'm being paid for my -- for my day away from the  
13 office, yes.

14 Q. And if you weren't here testifying, what would you  
15 be doing?

16 A. I'd be in the office, and also in the operating  
17 room.

18 Q. All right. And when you -- have you testified in  
19 courtroom before?

20 A. Oh, yes, yeah.

21 MR. BURGIO: Relevance, Your Honor.

22 THE COURT: That's sustained. I think we're  
23 good.

24 MR. CAREY: All right.

25 THE COURT: Thank you, Mr. Carey.

2 Members of the jury, thank you very much for  
3 hanging in there.

4 Doctor, you can step down, sir.

5 Go ahead. You can stretch your legs. Let's  
6 take a 15 minute recess, so you get a good  
7 stretch. You've really worked hard this morning.  
8 We appreciate it. And we'll see you back here in  
9 the courtroom at 11:30. Thank you.

10 (11:14 a.m. - Recess - 11:32 a.m.)

11 (Defendant's Exhibit U, Dr. Scanlon's  
12 records, marked for identification.)

13 (Defendant's Exhibit V, pharmacy sheet,  
14 marked for identification.)

15 THE CLERK: All jurors and counsel are  
16 present, Your Honor.

17 THE COURT: Great. Everybody have a seat.

18 Mr. Burgio, we're all set for you, sir.

19 CROSS EXAMINATION

20 BY MR. BURGIO:

21 Q. Dr. Capicotto, you'll agree with me that taking a  
22 history from the patient is a very important part of your  
23 treatment and diagnosis, and I think you said that to the  
24 jury earlier this morning, is that correct?

25 A. I don't know if I said very important, but it

1                   is -- it's standard, what we do, and it's important.

2                   Q.    It's one of the elements, right?

3                   A.    It's one of the elements of treating a patient,  
4                   correct.

5                   Q.    And you certainly expect that the patient, in this  
6                   case, Charlene VanDusen, is truthful with you, when she  
7                   tells you her history, is that correct?

8                   A.    Yes.

9                   Q.    Okay. In other words, you don't go out and verify  
10                   whether she fell on March 20th of 2001?

11                   A.    No.

12                   Q.    And you don't know if she fell on her buttocks on  
13                   if she fell on her knees, do you?

14                   A.    Correct.

15                   Q.    And you don't know for a personal fact, personal  
16                   knowledge as to whether or not she tripped on a carpet or  
17                   got her heel caught in a threshold, you don't know that for  
18                   a fact, you're believing her, true?

19                   A.    Yes, sir.

20                   Q.    And, again, the opinion that you gave this jury  
21                   that the treatment that you gave her relative to surgery and  
22                   her -- your meetings with her, and ordering the discogram,  
23                   and ordering an MRI, that treatment was based on the history  
24                   she gave you? And the reason that you saw it as causally

2 related to an accident is based on her history, is that  
3 true?

4 A. Yes, sir.

5 Q. So you'll agree with me, if her history is  
6 inaccurate, then your opinion relative to causal  
7 relationship may not be accurate?

8 A. Can you --

9 Q. If she gave you a false history, then your opinion  
10 on causal relationship may be inaccurate?

11 A. I'm not certain what you mean by a false history.  
12 Patients don't always -- they may not remember every single  
13 thing. They have their history, her recollection. I -- my  
14 problem is the word false.

15 Q. True or false, if she gave you a false history, if  
16 she never fell, your opinion on causal relationship would be  
17 inaccurate, true?

18 A. You're correct there, yes.

19 Q. Okay. If she actually had been in a car accident  
20 on March 20th of 2001, that would have been an inaccurate  
21 history, and actually your theory on causal relationship  
22 would be inaccurate because it's based on her history,  
23 that's true, isn't it?

24 A. It's possible.

25 Q. Now, Doctor, did she give you -- certainly there

2 are more causes to a herniated disc than a fall, true?

3 A. Yes, a fall is one way it can be injured, yes.

4 Q. And there are hundreds of ways to herniate a disc,  
5 are there not?

6 A. I don't know if there is hundreds, but there is  
7 multiple ways a disc can be injured.

8 Q. And you've treated people that have had herniated  
9 discs that weren't traumatic, haven't you?

10 A. I think that disc herniations are traumatic  
11 injuries.

12 Q. And is it your opinion that this disc herniation  
13 occurred on March 20th of 2001, by her history, that it  
14 occurred on that specific day?

15 A. Yes, yes, sir.

16 Q. Okay. And no day thereafter?

17 A. You're correct.

18 Q. Okay. But, let's go back to the other causes of a  
19 herniated disc, okay. People in motor vehicle accidents,  
20 may they suffer herniated discs in the lumbar spine?

21 A. It can happen, you're correct.

22 Q. And people in work related occupations that have  
23 to lift and bend, certainly, they can sustain herniated  
24 discs, is that true?

25 A. Yes, sir.

2           Q.    And people who are rear ended in a motor vehicle  
3 accident by a vehicle going 45 miles an hour, they can  
4 suffer a herniated disc at that particular time, is that  
5 true?

6           A.    That's possible also.

7           Q.    Okay.  And you've treated those kinds of herniated  
8 traumatic discs, haven't you?

9           A.    Yes, sir.

10          Q.    And people that are bending, are lifting, how  
11 about playing racket ball, can that cause a herniated disc,  
12 you twist wrong?

13          A.    I assume it can happen, yes.

14          Q.    And how about sneezing, even a sneeze can cause a  
15 herniated disc, can't it, Doctor, it's possible?

16          A.    I guess under the right circumstances, it can  
17 happen.

18          Q.    Did, in -- giving you her history, did  
19 Mrs. VanDusen tell you that she had been involved in a car  
20 accident in October of 1998?

21          A.    I don't believe so.

22          Q.    Okay.  You can look at your notes.  And, of  
23 course, you told this jury that you don't expect everyone to  
24 tell you about a prior back history, about every little  
25 backache, but certainly you expect them to tell you that

2 they've been in a car accident, don't you?

3 A. Not necessarily.

4 Q. Okay. And, Doctor, do you expect them to tell you  
5 they were in a car accident when they were treated by two  
6 neurosurgeons, had an MRI and an X-ray, and were treated by  
7 a family physician for over eight months for that car  
8 accident, wouldn't you expect that that would be a part of  
9 the history, isn't that what you're looking for?

10           A.    Well, I don't -- it all depends on -- it would  
11           depend on --

12 Q. Doctor, yes or no, is that a part of what you're  
13 looking for?

14 A. It could be.

15 Q. Okay. Was it given to you here, that she was in a  
16 car accident in October of 1998?

17 A. No, it was not.

18 Q. Did you review Dr. Lewis's records from the year  
19 1999?

20 A. No.

21 Q. Do you know that Dr. Lewis ordered a discogram,  
22 the same kind of discogram that you ordered, for her in  
23 1999, did you know that?

24 A. No.

25 Q. If somebody orders a discogram -- how much does an

1 MRI cost?

2 A. Probably about \$1,000 -- close to \$1,000.

3 Q. And a discogram, how much is that going to cost?

4 A. Close to \$2,000.

5 Q. Okay. So a doctor is not going to order those  
6 tests because somebody's having a little backache, are they?

7 A. I would not assume so.

8 Q. No, okay. All right. But she didn't tell you  
9 that those tests were ordered for her in 1999, did she?

10 A. You're correct.

11 Q. And it would have been nice to have an MRI from  
12 1999 to compare to the MRI that you ordered in January of  
13 2003, wouldn't it?

14 A. It would have been interesting.

15 Q. Yes, okay. Because then you could compare them,  
16 right?

17 A. Correct.

18 Q. Okay. And you could see if there are any changes,  
19 true?

20 A. Yes.

21 Q. Okay. Because a car accident can cause a  
22 herniated disc?

23 A. It's possible, correct.

24 Q. It's possible, sure. Did she tell you that she

2 was treated not only by Dr. Lewis, by Dr. Moreland and  
3 Sisters Hospital in an emergency situation in February of  
4 1999, did she give you that history?

5 A. No.

6 Q. No?

7 A. No.

8 Q. Now, you didn't see her in November of 2002, true?

9 A. Yes, sir.

10 Q. Did she tell you she had been in a car accident in  
11 November of 2001, where a lady rear-ended her at 45 miles  
12 per hour?

13 A. No.

14 Q. Did she give you a history falling while dancing  
15 in the year 2000, just before this accident?

16 A. No.

17 Q. Did she give you a history of being on a tanning  
18 table and injuring her low back sometime in the year 2000?

19 MR. CAREY: Objection, misstatement of the  
20 facts on that.

21 THE COURT: Rephrase it.

22 BY MR. BURGIO:

23 Q. Did she give you a history of having complaints of  
24 back pain because of some kind of twisting or turning  
25 incident that happened on a tanning table?

2           A.    No, I'm not aware of that.

3           Q.    Okay. Now, Doctor, before you came up with your  
4   opinion about causal relationship, in this particular case,  
5   as you related it to the March 20th, 2001 alleged accident,  
6   you knew that she had been treated by other physicians, is  
7   that true?

8           A.    Yes.

9           Q.    Did you, before you came to that opinion, did you  
10   review the records of Dr. Scanlon?

11          A.    No.

12          Q.    Did you review the records before you came to that  
13   opinion, of Dr. Huckell?

14          A.    No.

15          Q.    Did you review the records of Dr. Bansal?

16          A.    I don't believe so.

17          Q.    Now, you know Dr. Bansal to be a pain management  
18   specialist, do you not?

19          A.    Yes.

20          Q.    She works at Buffalo General Hospital?

21          A.    Correct.

22          Q.    You know her to be a competent pain management  
23   specialist?

24          A.    Yes.

25          Q.    Okay. You know Dr. Huckell to be a competent

2                   surgeon?

3                   A.    Yes.

4                   Q.    Did you review the records from Millard Fillmore  
5                   Hospital on the day of the accident, when she went to the  
6                   hospital, on the day you say she herniated a disc?

7                   A.    No, I did not.

8                   Q.    Are you aware that they didn't even order an X-ray  
9                   for her low back on the day that she allegedly injured her  
10                  low back?

11                  A.    I'm not aware of that.

12                  Q.    But that's generally what happens when we make an  
13                  opinion about whether an injury concerns -- occurs on a  
14                  specific date, we review the diagnostic tests from that  
15                  date, that's important, isn't it?

16                  A.    It can be.

17                  Q.    Sure. Certainly relevant, isn't it?

18                  A.    It's possible. I can't say that it's definitely  
19                  relative, but -- relevant, rather.

20                  Q.    And, Doctor, there was an MRI within four weeks of  
21                  this particular accident that was negative for a herniated  
22                  disc, was it not?

23                  A.    You're correct, that was the one -- the original  
24                  one I believe was at Northtowns Imaging in April of 2001.

25                  Q.    And that report says, there is no evidence, within

2 four weeks of the accident, no evidence for significant  
3 focal soft disc herniation or significant canal stenosis, is  
4 that what it says? Doctor, can I --

5 A. I have it here.

6 Q. All right.

7 A. 4/25/01, by Dr. Tabone.

8 Q. Yes, no evidence for significant focal soft disc  
9 herniation or significant canal stenosis?

10 A. Correct.

11 Q. Now, Doctor, were you aware that in 1999, Dr.  
12 Lewis, who you know as a neurosurgeon -- and a neurosurgeon  
13 does maybe not exactly the same kind of surgery that you do,  
14 but certainly is addressing the same kind of issues, medical  
15 issues that you address, is that a fair statement?

16 A. Dr. Lewis and I perform a number of similar  
17 surgeries, yes.

18 Q. Are you aware that he sent her for an X-ray at the  
19 Mercy Hospital in 1999?

20 A. No, I'm not aware of that.

21 Q. Now, you told me that a narrowing of a disc space  
22 is evidence of an injury, is it not, even on X-ray?

23 A. I'm not certain I said that.

24 Q. It's evidence of stenotic change, what does that  
25 mean, that's a pathology, isn't it, or not?

2           A. I'm not sure exactly what you're referring to.  
3        But I think we talked about degeneration, and the disc  
4        shrinking, and the discs's interspace being narrower. I  
5        think that's what we were talking about before.

6           Q. All right. Are you aware of an X-ray that showed  
7        very minimal degenerative change in 1999 at L4-L5, are you  
8        aware of that?

9           A. I'm not aware of that.

10          Q. And that's a finding, is it not, Doctor, of a  
11        degenerative change, in the lumbar spine?

12          A. I'd have to see the X-ray. But I'm not --  
13        wouldn't be arguing the report. That's the doctor's  
14        opinion.

15          Q. That's that doctor's opinion?

16          A. Right.

17          Q. You haven't seen the X-ray, or the report, because  
18        it wasn't told to you that she had treatment in 1999?

19          A. You're correct.

20          Q. Now, Doctor, looking -- you continued to take a  
21        history, on page 2 of your November 21st, 2000 -- well,  
22        let's look at page 1, we'll go page 1, and page 2.

23           A history. You said -- of course, you're  
24        concerned that she's exhausted her conservative  
25        treatment, are you not, before you invade her with

2 surgery, is that a fair statement?

3 A. I don't know if exhausted is the appropriate word.  
4 I think that she had an adequate trial of time and on  
5 non-operative care.

6 Q. That's why you ask her about it. You want to  
7 see -- you want to find out -- what her treatment has been  
8 relative to her low back, right?

9 A. Yes, sir.

10 Q. And she said that she undertook physical therapy?

11 A. Correct.

12 Q. Did she seem to understand what that word meant,  
13 physical therapy? Did she give you that word, or did you  
14 give it that word?

15 A. Well, she -- she -- in my handwritten notes, she  
16 tried therapy, but it did not give her relief.

17 Q. Okay.

18 A. So I did not put how many sessions she went or how  
19 many days, weeks or month, but it was not effective in  
20 helping her.

21 Q. Okay. And if she told you that, and Dr. Bansal's  
22 records of October 18th, 2001, which have been marked into  
23 evidence -- he's messed up the order. Do you have the --  
24 well, they've been marked into evidence, there is no  
25 question about that. If her October 18th, 2001 report says

2 that she returned -- that's a year before you saw her,  
3 right, had not started physical therapy as suggested to her.  
4 I again counseled her that she needs to start physical  
5 therapy in conjunction with these injections. If she told  
6 you she had undertaken physical therapy, she wasn't being  
7 very truthful or reliable with you, was she, as it relates  
8 to physical therapy?

9 A. Well, I -- I don't know as it relates to the  
10 letter that you're showing me. My understanding is that she  
11 did have some therapy.

12 Q. Okay.

13 A. I don't know exactly to what extent she had it.

14 Q. But what I just read from Dr. Bansal's records  
15 which indicated that she had not started physical therapy?

16 A. At that specific point in time, you're correct.

17 Q. And, Doctor, you'll agree that certainly if --  
18 certainly she could have started after with Dr. Bansal,  
19 except that on page 2 of that report, you'll read with me  
20 that, I tried to call Dr. Scanlon's office, but he was not  
21 available. Therefore, I told the patient that I would call  
22 in a five day supply for the Lorcet, and asked her to return  
23 back to her pharmacist. I never got a call from her  
24 pharmacist, that the patient was not due for her script  
25 until October 24th of 2001, and they could not fill it.

2 Patient was quite upset that she could not get an earlier  
3 refill on her medications. At this time she wanted to find  
4 another physician and wanted her records sent to her home.

5 So, Doctor, you'll agree with me that this  
6 appears this is the last time she saw Dr. Bansal,  
7 would you not?

8 A. It appears that way, yes.

9 Q. And you'll agree with me that according to Dr.  
10 Bansal, in her records, which are in evidence, your patient,  
11 Charlene VanDusen, according to Dr. Bansal, on my initial  
12 consultation, which records in this court have shown to be  
13 August of the year 2001, I had done a detailed history and  
14 physical in which she neglected to tell me about her  
15 narcotic medication intake, Demerol, and also that Dr.  
16 Scanlon was giving her that. That's what that says, is that  
17 correct?

18 A. Yes.

19 Q. So apparently she was a little less than truthful  
20 with Dr. Bansal relative to her history, you would agree?

21 A. Well, I don't know what went on between  
22 Mrs. VanDusen and Dr. Bansal. I can read the letter as you  
23 can. But I wasn't there. And I think the letter should  
24 stand for itself. I can't -- I can't make any inferences  
25 from that.

2 Q. But when a patient comes to you, and you continue  
3 to ask for the history, one of the parts of the history to  
4 ask them is, under what medications are you today, what  
5 medications do you take on a daily basis, is that true?

6 A. We ask her what medicines they're taking, you're  
7 correct.

8 Q. And it's true, because you wouldn't want to give  
9 them a medication for pain or anything else that might be  
10 contrary to the medications they're taking, that's one of  
11 the reasons, isn't it?

12 A. You're correct, yes.

13 Q. And the other reason is, some of these pain  
14 medications are actually narcotics, are they not?

15 A. Yes.

16 Q. And they're actually addictive, are they not?

17 A. Yes.

18 Q. So, Doctor, turning to page 2 of your report,  
19 about the second paragraph, she told you, and you took the  
20 history, she takes a total of four Lortab, 10 milligram  
21 tablets per day. She states that she could take more,  
22 however, tries to limit herself to only four tablets per day  
23 so that she does not become dependent on these medicines.  
24 Is that what she told you?

25 A. Yes.

2 Q. Did she tell you, Doctor, that Dr. Scanlon was  
3 prescribing to her, for her, the month before she met you, a  
4 drug called meperidine? How do you say that?

5 A. Meperidine. It's Demerol.

6 Q. Can we call it Demerol?

7 A. Yeah, that's easier.

8 Q. And that she was being prescribed 210 tablets per  
9 month, a hundred milligrams. Did she tell you that?

10 A. No.

11 Q. So, Doctor, she wasn't being very accurate or  
12 truthful with you about her drug use on the date of this  
13 accident, was she?

14 A. Correct.

15 Q. Doctor, I'm going to show you what has been marked  
16 as Exhibit F. Not only did she not tell you about the  
17 Demerol, let's look at Rite Aid pharmacy records for the  
18 months preceding your November treatment.

19 MR. CAREY: May we have these marked?

20 MR. BURGIO: It is marked.

21 THE COURT: What is it marked?

22 MR. BURGIO: It's F. And I'm offering it  
23 into evidence, Your Honor.

24 MR. CAREY: May I see it? I object as to the  
25 relevance. It goes back to a different time

2

period for medications not in any way related to  
this action. So I would object to them going into  
evidence.

5

THE COURT: Is there a certification attached  
to it? Is it a business record?

7

MR. BURGIO: Yes, Your Honor, by a paralegal  
from the Rite Aid Corporation.

9

MR. CAREY: Still an objection as to

10 relevance, Your Honor.

11

THE COURT: Are you objecting on the  
foundational problem with respect to the business  
records, or no.

14

MR. CAREY: Not with regards to what -- it is  
what it is, but I have an issue with regards to --  
15 it says --

17

THE COURT: Your objection is solely on  
18 relevance, correct?

19

MR. CAREY: Not entirely because it's -- we  
20 don't know if these are all Miss VanDusen, or if  
21 they're family members, dispensed to her. I mean,  
22 that's all. I mean, she's got five children.

23

MR. BURGIO: Your Honor.

24

THE COURT: I get the point.

25

MR. BURGIO: Your Honor, I'll redact any at a

2 later date. But I don't see --

3 THE COURT: I'm going to admit it. Mark it  
4 as received. The objection based on relevance is  
5 overruled. That is a history report that goes  
6 back to '98, which I don't think is too far out of  
7 relevancy. And we can always redact it later if  
8 the lawyers can agree, okay.

9 MR. CAREY: Yes.

10 THE COURT: Go ahead and mark it.

11 (Defendant's Exhibit F marked into evidence.)

12 BY MR. BURGIO:

13 Q. So, Doctor, pharmacies are required, are they not,  
14 probably by some law to keep records of prescriptions that  
15 they fill in connection with various patients?

16 A. Yes.

17 Q. And this is in evidence, and it relates to  
18 Charlene VanDusen, same address, who was your patient?

19 A. Yes.

20 Q. And it goes back to 1998. First question, Doctor,  
21 is a drug called Tussionex, does that have hydrocodone in  
22 it?

23 A. It does have a narcotic base and it is a cough  
24 medicine, cough suppressant.

25 Q. And hydrocodone, what is that, the nature of that

2 drug?

3 A. Well, hydrocodone is also a narcotic.

4 Q. Okay. And do we have a history here, from, first  
5 of all, a Dr. Egnatchik, do you know him to be a spine  
6 surgeon, do you, sir?

7 A. Yes.

8 Q. And did Dr. Egnatchik, a spine surgeon, on March  
9 5th of 1999, did he prescribe hydrocodone for Charlene  
10 VanDusen?

11 A. Yes.

12 Q. And did that then appear on several occasions  
13 during the months of April, 1999, now it's Dr. Moreland,  
14 he's also a spine surgeon, is he not?

15 A. Correct.

16 Q. And June, 1999, July, 1999, Dr. Lewis a spine  
17 surgeon?

18 A. Yes.

19 Q. All right. And this is a narcotic pain  
20 medication, is it not, Doctor?

21 A. You're correct.

22 Q. And it would be, certainly, a more serious kind of  
23 medication that you would prescribe to a patient, not one  
24 that was just suffering from the everyday backache that you  
25 talked about before?

2           A.    Correct.

3           Q.    And, Doctor, now do we get to a point where we  
4    continue in October and November of 1999 where Dr. Lewis is  
5    still prescribing hydrocodone for the plaintiff, Charlene  
6    VanDusen?

7           A.    Yes.

8           Q.    And that would, of course -- he's the  
9    neurosurgeon, the low back surgeon, just like you're a spine  
10   surgeon, is that true?

11          A.    Yes, sir.

12          Q.    And now do we get to that point where the record  
13   says that word that I have a hard time pronouncing,  
14   meperidine, which is Demerol, correct?

15          A.    Yes.

16          Q.    So when we use the word Demerol, it's the same  
17   thing as meperidine.

18           Now, you know Dr. Scanlon to be the family  
19   physician, you knew that from the history of  
20   Charlene VanDusen, is that true?

21          A.    Yes.

22          Q.    And back in July of 2001, he's ordering 180  
23   Demerols per month, is that what this record shows?

24          A.    Yes.

25          Q.    And back in August of 2001, and we're leading up

2 now, are we not, to your treatment, he orders another 180 of  
3 Demerol?

4 A. Yes.

5 Q. September 11th, 2001, another 180 of Demerol?

6 A. Correct.

7 Q. September 24th, 2001, Dr. Bansal ordered  
8 hydrocodone?

9 A. Yes.

10 Q. And, of course, that was her concern, right, that  
11 she was going to order the hydrocodone, and the patient was  
12 on the Demerol, that's not indicated -- that's  
13 contraindicated, is it not?

14 A. I don't know if it's contraindicated. It's an  
15 additional narcotic.

16 Q. Okay. And, Doctor, just to complete this line,  
17 you saw her in November, she got 210 Demerols, 100  
18 milligrams on October 31st and November 26th, another 210  
19 refill of the prescription from Dr. Scanlon?

20 A. Yes.

21 Q. All that Demerol was not disclosed to you during  
22 your history, is that true?

23 A. Correct.

24 Q. And, Doctor, let me show you Exhibit V for  
25 identification, which I'm sure you'll recognize. Take a

2 moment and see if you can recognize what that is, and where  
3 it came from?

4 A. Well, this looks like a page from the PDR which is  
5 the Physicians' Desk Reference, on meperidine, which is  
6 Demerol.

7 Q. And would you read the warning? Is that an  
8 accurate warning that would be in a book that you keep on  
9 your desk and refer to at times as it relates to  
10 medications?

11 A. Well, I don't keep it on my desk. It's in the  
12 office. Every now and then a couple of times a year I might  
13 look at it.

14 Q. Okay. Let me ask it this way. Is it a book that  
15 you consider to be a valid resource for you to evaluate  
16 medications and the use of medications by your patients?

17 A. It's okay. Valid resource, it's not like -- there  
18 are -- it is an easy, it's a -- it's a relatively easy way,  
19 and it's relatively quick.

20 Q. You use it?

21 A. Yeah. It's okay, you know, if I need to find  
22 something out quick. It's useful in that -- in that -- in  
23 that manner.

24 Q. All right. Is this a valid warning for the drug  
25 Demerol?

2 A. I think -- I think so, yes.

3 Q. Okay. And, Doctor, so, therefore, you'll agree  
4 with this warning, that Demerol can produce drug dependency  
5 of a morphine type, you agree with that?

6 A. That's correct.

7 Q. And, Doctor, has a potential for being abused, is  
8 that correct?

9 A. That's correct.

10 Q. Because there is a psycho dependence and physical  
11 dependence on the drug, because of its properties, being a  
12 morphine type?

13 A. Correct.

14 Q. And the tolerance may develop upon repeated  
15 administration of the drug, do you agree with that?

16 A. Yes.

17 Q. And that it should be prescribed and administered  
18 with the same degree of caution that's appropriate to the  
19 use of morphine?

20 A. Yes.

21 MR. CAREY: Jim, can I see that, please?

22 Q. Now, Doctor, let's look first at your November  
23 18th, 2002 record, and let's get to the part, I believe it's  
24 page 1 where you review the lumbar discography performed by  
25 Dr. Huckell?

2 A. Yes.

3 Q. You'll agree that Dr. Huckell undertook a lumbar  
4 discography on August 16th, 2002, at the Sisters Hospital,  
5 is that true?

6 A. Yes.

7 Q. And you'll agree with me that at that time was  
8 tested the L4-5 disc?

9 A. Correct.

10 Q. And that's the same disc that you told this jury  
11 that was herniated on the left side when you did your  
12 discography that you ordered in January of 2003, same disc?

13 A. That's the same disc, correct.

14 Q. Same woman?

15 A. Correct.

16 Q. You looked at the films yourself, did you not?

17 A. Yes.

18 Q. Doctor, your findings were that she had a normal  
19 L4-L5 disc, isn't that true?

20 A. Well, no, what I said is they are morphologically  
21 normal. That didn't mean it's normal. And I didn't have  
22 the report.

23 Q. Okay. Doctor, have you ever seen the report?

24 A. No, I have not.

25 Q. All right. Let's look at Dr. Huckell's records,

2 here pursuant to a subpoena?

3 MR. CAREY: These ones are already in  
4 evidence.

5 MR. BURGIO: These are more records in them,  
6 for whatever reason.

7 (Defendant's Exhibit W, Dr. Huckell's  
8 records, marked for identification.)

9 MR. BURGIO: Your Honor, there is a  
10 certification on the front page.

11 BY MR. BURGIO:

12 Q. So, Doctor, you never saw, before you came to your  
13 conclusion that this herniated disc was caused by a fall in  
14 March of 2001, you never saw Dr. Huckell's report?

15 A. I don't believe so.

16 Q. But, Doctor, you did see the films?

17 A. Correct.

18 Q. And what is the word that you use,  
19 morphologically? I don't know that word.

20 A. Morphologically normal. The inside of the disc,  
21 the center portion of the disc looked okay, looked normal.

22 Q. It looked normal. It didn't look like there was  
23 any pathology that you would operate on, is that true, at  
24 that particular time, on that particular disc?

25 A. That, I don't know. That, I can't -- I don't have

1 that test in front of me, so I can't -- I can't say. It was  
2 an incomplete examination.

3  
4 Q. As it related to L5-S1?

5 A. I'd have to go back and see the actual MRI.

6 Q. All right. Well, let's look at the report. They  
7 tested L2, L3. That's one of those lumbar discs, is that  
8 true?

9 A. Correct.

10 Q. And she reported typical reproduction of pain, but  
11 there was no disc tear or disc degeneration noted, true?

12 A. Can I -- just want to read this here okay. All  
13 right.

14 Q. All right. So they're not getting into that hard  
15 disc, that super ball that you talked about, but she's  
16 complaining about pain, is she not?

17 A. He says that she reported typical reproduction of  
18 pain.

19 Q. Okay.

20 A. With a half millimeter at L2-3.

21 Q. All right. When we get down to L4-5, it says,  
22 does it not, there was no annular disc tear. This is a  
23 report of Dr. Huckell?

24 A. That's what he's saying, that's right.

25 Q. That's a different finding on October --

2 MR. CAREY: I'm going to object. He's  
3 reading what's not in evidence.

4 THE COURT: He says it's not in evidence. I  
5 don't think it is, either. That objection is  
6 sustained.

7 MR. BURGIO: I offer it in evidence.

8 THE COURT: Any objection?

9 MR. CAREY: No.

10 THE COURT: Let's mark it.

11 (Defendant's Exhibit W marked into evidence.)

12 BY MR. BURGIO:

13 Q. And this finding of October 16th, 2002, is  
14 different than the finding you had in January of 2003, is it  
15 not?

16 A. You're correct.

17 Q. As it relates to that disc?

18 A. Yes.

19 Q. And, Doctor, moving on in this -- this packet,  
20 they did do that CAT scan that you said that they do in  
21 connection with the discographies, and does it not say that  
22 at L4-5, it was negative for central spinal stenosis or  
23 specific focal disc herniation, does it not say that?

24 A. Yes, you're correct.

25 Q. And that's a different finding than you had in

2 January of 2003?

3 A. Correct.

4 Q. And it's a different finding that you had when you  
5 did the surgery a few months later, when you actually were  
6 there in the operating room?

7 A. Yes.

8 Q. Okay. Now, Doctor, when you came down to your  
9 first visit, November 21st, 2002, when you came down to your  
10 opinion as to what the injury is, looking at opinion, you  
11 said that she was suffering from a disc herniation at L5-S1?

12 A. Correct, that's the first sentence of the opinion.

13 MR. CAREY: Your Honor, it was asked and  
14 answered on direct.

15 THE COURT: No, keep going.

16 BY MR. BURGIO:

17 Q. And you were wrong, correct, there was no disc  
18 herniation at L5-S1?

19 A. Correct.

20 Q. And you were concerned because that discogram of  
21 October hadn't checked out the L5-S1 disc and you wanted  
22 another one for that reason, is that true?

23 A. Yes.

24 Q. That was your thinking?

25 A. Correct.

2 Q. And then when you did the discogram in January of  
3 2003, there was no injury at L5-S1, was there?

4 A. Correct.

5 Q. So your initial opinion about where this injury  
6 was, was wrong?

7 A. No. If you read further down into the opinion, I  
8 do note that I was concerned about L4-5 and/or L5-S1.

9 Q. But as it related to a disc herniation at L5-S1 it  
10 was inaccurate and it was wrong, there was no disc  
11 herniation at L5-S1?

12 A. You're correct about that.

13 Q. Now, look at January 17th of 2003. Middle of that  
14 first narrative paragraph which starts with, this lady?

15 A. Yes.

16 Q. Down near the end does it say, she is quite  
17 frustrated and wants to go ahead with surgery?

18 A. Correct.

19 Q. Even before you found out any pathology on this  
20 lady, in other words, even before you had identified that  
21 she had a herniated disc, she wanted to have surgery, is  
22 that what you say here?

23 A. That's what I -- well, I'll leave the sentence as  
24 it stands. I don't remember our exact discussion on January  
25 7th of 2003.

2       Q.    Well, sir, is it -- is it true that you said, I  
3 have explained to her at this point, and I assume you mean  
4 on January 7th of 2003, we do not have definitive evidence  
5 of cervical pathology, of course you meant lumbar pathology,  
6 is that true?

7       A.    Correct.

8       Q.    And unfortunately the tests are going to need to  
9 be performed again?

10      A.    Correct.

11      Q.    So she -- true?

12      A.    Yes, correct.

13      Q.    So now we have it in the context of what I'm  
14 talking about. She wanted surgery before you found any  
15 pathology?

16      A.    Correct.

17      Q.    And that's what you told her?

18      A.    Correct.

19      Q.    Because that would be foolish?

20      A.    Correct.

21      Q.    So, what do you do, you send her for an MRI, and  
22 you send her to Physicians Imaging Center of Western New  
23 York. Well, Doctor, is that in your records? 1/24/03.

24      A.    Yes.

25      Q.    Yes?

1                   A. Yes, I have that.

2                   Q. And, Doctor, you sent her to this place that has  
3                   this high resonance that you talked to this injury about?

4                   A. Yes.

5                   Q. And as of January 24th, 2003, is Dr. Rand, a  
6                   radiologist, competent?

7                   A. Yes.

8                   Q. And is his impression that he sent to you, because  
9                   you're the physician on the report, is that true?

10                  A. Yes.

11                  Q. Was it, impression, negative MRI evaluation of the  
12                  lumbar spine?

13                  A. Yes.

14                  Q. Now, if Dr. Rand had seen either a disc herniation  
15                  at L4-5 or L5-S1 like you originally thought, it would have  
16                  been, if that was his impression that that is what he saw,  
17                  he would have put it on his report, is that right?

18                  A. Yes.

19                  Q. He did not?

20                  A. You're correct.

21                  Q. Now, Doctor, we proceed to January 29th, 2003.  
22                  And there has been a discogram. And then you have  
23                  determined that there is a disc herniation on the left side  
24                  at L4-5, true?

2 A. Correct.

3 Q. Did you know that you got to fill out a sheet when  
4 you go to the Buffalo General Hospital to have a discogram?

5 A. Yes.

6 Q. And do you know that they ask you to describe  
7 your -- there is a nurse's notes that would write down what  
8 the patient tells them, what their complaints are?

9 A. Yes.

10 Q. And would it surprise you that she didn't say  
11 anything about any left leg complaints, that her complaints  
12 were lower back pain radiating down the right leg, have you  
13 ever seen that, Doctor?

14 A. I don't believe I've seen that document.

15 (Defendant's Exhibit X, hospital record,  
16 marked for identification.)

17 Q. Doctor, you may not have seen this particular  
18 sheet, but you've seen sheets like this, and you know that  
19 your radiologist does this at the hospital?

20 A. Yes.

21 Q. Okay. And when it says nurse's notes, it's part  
22 of a hospital record?

23 A. Correct.

24 Q. Okay. Are you seeing it now?

25 A. Yes.

2 Q. And would you agree with me that there is no  
3 complaints relative to left-sided radicular pain, pain going  
4 down the left side, it just refers to right?

5 A. You're correct.

6 Q. And, Doctor, did you know that Dr. Scanlon made  
7 reports during the course of his treatment, during the year  
8 2001, did you know that?

9 A. I haven't seen any reports.

10 Q. All right. And, Doctor, would it surprise you  
11 then -- I'll show you V. Those are the types of reports  
12 that you've seen in connection with the care and treatment?

13 MR. CAREY: Your Honor, may I see the  
14 documents?

15 THE COURT: Show them to Mr. Carey.

16 MR. CAREY: These are not -- they're not  
17 medical records. I would object to them.

18 THE COURT: I'm sorry?

19 MR. CAREY: My objection is they're not  
20 medical records and they're not in evidence.

21 THE COURT: You're not going to read from  
22 them?

23 MR. BURGIO: I'm not reading from them.

24 THE COURT: If you don't read from them --  
25 they're not in evidence, don't read from them.

2 BY MR. BURGIO:

3 Q. Doctor, would it surprise you that on the dates  
4 that Dr. Scanlon saw the patient in June of 2002, July of  
5 2002, and up until the date that you -- she came under your  
6 care and treatment, that the plaintiff was making complaints  
7 of pain to her right leg only?

8 A. I think that's consistent with what had been said  
9 before.

10 Q. All right. So you have no information that's  
11 different than that, from Dr. Scanlon's office, or any  
12 records, as it relates to what was told to Dr. Scanlon, you  
13 have no information?

14 A. No, I don't.

15 Q. And then, Doctor, quickly, you actually  
16 recommended a different surgery, but the surgery you  
17 undertook was what you called a -- I'm sorry, disc removal?

18 A. A discectomy.

19 Q. Discectomy?

20 A. Yeah.

21 Q. And certainly, Doctor, when you do that, you  
22 expect -- when she came to you, her pain level was 10 out of  
23 10, correct?

24 A. Yes.

25 Q. And certainly, Doctor the, goal for that is for

2 whatever percentage, if you take the disc out, it shouldn't  
3 be causing pain, as much pain, as it had been before, once  
4 she heals, isn't that the goal of it?

5 A. Not in -- in this -- in this particular situation,  
6 my belief that she was a candidate to remove the disc and do  
7 a spinal fusion, and I think if we go back to my records, my  
8 concern was that she would not get pain relief from the  
9 discectomy.

10 Q. I understand. But you undertook that surgery  
11 anyways, charged her \$3,300, and she still needs a fusion,  
12 true? Withdraw the question. Doctor, the goal of the  
13 surgery, by taking a disc out, was to reduce her pain, true?

14 A. If I -- in her particular situation, I did not  
15 believe that a discectomy would give her pain relief. And I  
16 went through that process with her. And I -- and I  
17 documented that in my letters.

18 Q. And she still wanted that surgery, though, right?

19 A. She --

20 Q. She preferred that?

21 A. She wanted to have a smaller surgery, in hopes  
22 that that would relieve her pain.

23 Q. And even though you didn't believe that, she went  
24 through that surgery, and you performed that surgery?

25 A. Right. I did that -- as I said before, I did not

1 believe that her request was out of bounds or unusual.

2 There are many people that, in fact even doctors will -- the  
3 spine surgeons will say, well, let's do the first step and  
4 do the discectomy, and if that doesn't work then we'll do  
5 the fusion.

6 My approach is different than that. I thought she was  
7 more of a candidate for a discectomy with a fusion. She  
8 preferred the discectomy. There was no issue regarding it  
9 not being medically correct to try a discectomy first. So  
10 we did the discectomy first. But as my recommendation  
11 proved, that didn't work.

12 Q. All right. That's what I meant. The point of it  
13 is that you removed what you removed, which was the  
14 pathology, and she still was complaining of pain at a 10 out  
15 of 10 level, within three months of your surgery?

16 A. Yes.

17 Q. Okay. Now, Doctor, certainly, between October of  
18 2002, when she had the discography and the -- or disc --  
19 what do you call it, discogram or discography?

20 A. Same.

21 Q. Okay. Discogram, that Huckell performed, and the  
22 one that you had, Doctor, at Buffalo General perform in  
23 January of 2003, certainly, because you weren't with  
24 Mrs. VanDusen on every day, it's possible that she could

2 have sustained a turning or twisting injury in that period  
3 of time, possible?

4 A. I guess anything is possible.

5 Q. Okay. It's possible that she could have gone  
6 bowling or something, any even athletic activity, and she  
7 could have sustained this left sided herniated disc between  
8 that -- those two periods of time?

9 A. I think anything is possible.

10 Q. Okay. Now, Doctor, quickly, about the goal of the  
11 second surgery, and that would be to get her doing as many  
12 activities as possible, is that true?

13 A. Well, it's to reduce her pain, which would thereby  
14 hopefully help her to increase her activities.

15 Q. Right. And -- and you've done operations on  
16 people who are injured at work that have lumbar disc fusions  
17 and they go back to work, some of them, don't they?

18 A. Yes.

19 Q. And isn't that your goal, to get them back to  
20 work, I mean, you're a doctor, you're trying to heal them,  
21 isn't it?

22 A. My goal is to try and reduce their pain. Whether  
23 or not they can go back to the same type of work is a  
24 completely different issue.

25 Q. One of your goals is to return them to society so

2 that they can contribute to society in any way?

3 A. That would be hopeful, yes.

4 Q. Okay. And that might be, even if it's answering  
5 phones, or your husband has a construction business, if  
6 you're at home, and you have an office at home, that you  
7 could answer the phones, you could take a few notes, and  
8 you'd be in the same environment that you are, that's one of  
9 your goals, right?

10 A. Correct.

11 Q. Okay. And you even said that certainly you're  
12 very hopeful that she can go back to a job where she at  
13 least works half a day, three days a week, that's one of  
14 your goals, isn't it?

15 A. Correct.

16 Q. Just a second, Your Honor. I think I'm finished.  
17 Doctor, just for the record, how much do you charge for your  
18 appearance here?

19 A. For the day, it's probably going to be about  
20 \$8,000, maybe \$9,000 for the day.

21 MR. BURGIO: Thank you for your time.

22 THE COURT: Redirect?

23 MR. CAREY: Yes.

24 REDIRECT EXAMINATION

25 BY MR. CAREY:

2 Q. Doctor, in the course of your treatment of  
3 Mrs. VanDusen, and -- has there been -- first off, do you  
4 know who a Dr. Wayne Fricke?

5 A. Yes.

6 Q. Who is he?

7 MR. BURGIO: Your Honor, may we approach?

8 THE COURT: Sure.

9 (Discussion at the bench off the record.)

10 THE COURT: The objection is sustained.

11 Let's go to another area, Mr. Carey.

12 BY MR. CAREY:

13 Q. Doctor, Mr. Burgio asked you about an MRI which  
14 was performed at the request of Dr. Lewis back in '99, after  
15 a car accident?

16 A. Yes.

17 Q. I'd like to show you what's already in evidence  
18 marked as C in evidence, it's a copy of an MRI report from  
19 that MRI back in February of '99. And, Doctor, does that  
20 indicate that the history here -- what does it indicate that  
21 Dr. Lewis is trying to do with his MRI?

22 A. Rule out disc herniation.

23 Q. All right. Does it make a finding?

24 A. No -- negative, meaning no disc herniations. He  
25 says no evidence of disc herniation or bulges.

2

Q. Does it say anything further about the discs?

3

A. Disc remain -- discs remained their normal high intensity on T2 images.

5

Q. And does it say anything further?

6

A. No fractures, no spondylolisthesis.

7

Q. Now, Doctor, would that indicate to you whether or not Mrs. VanDusen sustained any injury to her lumbar spine in that automobile accident of October of '98?

10

A. It does not appear she did.

11

Q. And with reference to a -- that was -- before we leave Dr. Lewis's records, let's show you what's also in evidence, this is a -- that X-ray of March of '99. Does that indicate anything on there, to you, as to a significant degenerative condition in Mrs. VanDusen's L4-5?

16

A. No. Dr. Fosket, F-o-s-k-e-t, is the radiologist. She notes very minimal degenerative change at L4-5.

18

Q. Is that the type of change which would cause the problems which you've been treating Mrs. VanDusen for?

20

A. No.

21

Q. Okay. And, Doctor, Mr. Burgio asked you, were you aware that she had treated with Dr. Lewis before, for this automobile accident? And it's fair to say that, I think you testified you didn't -- you weren't aware of that?

25

A. Correct.

2 Q. Would you expect that Dr. Lewis would be aware  
3 that he had treated her previously?

4 A. I guess if he had his old records, he might be  
5 aware that he treated her.

6 Q. Again, from what is in evidence, which is all  
7 Dr. Lewis's records --

8 MR. BURGIO: Your Honor, I'll object to --

9 THE COURT: What's the basis of the  
10 objection?

11 MR. BURGIO: I don't know that he's going to  
12 be able to operate and discuss with --

13 MR. CAREY: They're in evidence, Your Honor.

14 MR. BURGIO: All right.

15 THE COURT: Overruled. Go ahead.

16 BY MR. CAREY:

17 Q. This is a report of Dr. Lewis from November 7th of  
18 2002, Doctor. Is that correct?

19 A. Yes.

20 Q. This is from -- C in evidence. And in this, this  
21 is the same Dr. Lewis that treated her for the automobile  
22 accident. Dr. Lewis takes a history, and he puts down, she  
23 has no significant medical history, isn't that correct,  
24 Doctor?

25 A. That's what it says. That's what he has there.

2 Q. That's what Dr. Lewis has, that she has no  
3 significant medical history?

4 A. Right.

5 Q. Is that -- would you agree with that, that based  
6 on your evaluation of everything before March 20th of 2001,  
7 there is no significant medical history there in  
8 Mrs. VanDusen's back?

9 A. I didn't think there was.

10 Q. And neither did Dr. Lewis, when he treated her in  
11 November of 2002, correct?

12 A. That's his statement, yes.

13 Q. And does it indicate that at that time he had also  
14 reviewed Dr. Huckell's discogram?

15 A. Yes.

16 Q. All right. And what is his request, upon seeing  
17 Mrs. VanDusen in November of 2002?

18 MR. BURGIO: Your Honor, this is outside of  
19 my cross.

20 THE COURT: We'll let it go for a little bit.  
21 We're going to wind up soon. Overruled.

22 THE WITNESS: He asked for permission, or  
23 authorization for a repeat discogram and the CAT  
24 scan after the discogram.

25 BY MR. CAREY:

2 Q. And that's exactly what you requested, too,  
3 correct?

4 A. Yes.

5 Q. So Dr. Lewis was in agreement with you, that  
6 that's what was -- that's what he was seeking as of November  
7 of 2002, after Dr. Huckell's discogram, and before yours?

8 A. Yes.

9 Q. All right. And, by the way, what does Dr. Lewis  
10 say about Mrs. VanDusen's pain syndrome?

11           A.    Her pain syndrome is most consistent with lumbar  
12 instability, and she may ultimately require a lumbar  
13 interbody fusion.

14 Q. And is that the surgery that you were just  
15 describing?

16 A. Yes.

Q. Okay. And that's what you recommend as well?

18 A Yes

19 Q. And, Doctor, with regards to Dr. Huckell's  
20 records, and I'm reading from J in evidence -- and you're --  
21 you've seen the reports from Dr. Huckell before?

22 A Yes

23 Q. Okay. And this is a report from July 18th of  
24 2002. Could you read what -- and this is a letter to Dr.  
25 Scanlon -- what it reads with regards to her MRI?

2 A. Her MRI at Northtowns and Physicians MRI somewhat  
3 contradict one another. In my opinion her worst pathology  
4 is present at L4-5. And this is probably the source of her  
5 pain.

6 Q. Okay. And is that the same opinion that you have?

7 A. Correct.

8 Q. Okay. And, Doctor, in -- can Demerol cause a  
9 herniated disc?

10 A. No.

11 Q. And can physical therapy cure a herniated disc?

12 A. No.

13 Q. So in your opinion, if Mrs. VanDusen had undergone  
14 significant physical therapy, would that have repaired her  
15 herniated disc?

16 A. I don't believe so. As I said, the most important  
17 aspect of her treatment was the time frame, the amount of  
18 time. Whether or not she was put at total bed rest or sent  
19 to physical therapy, if it was going to heal, it was going  
20 to heal.

21 Q. And can physical therapy actually make a condition  
22 worse?

23 A. Yes.

24 Q. And did -- did Mrs. VanDusen ever mention to you  
25 that she had been using Demerol since she was a young adult

2 woman, for endometriosis?

3 A. Yes.

4 Q. And is it fair to say, Doctor, that endometriosis  
5 and her treatment of that is irrelevant with regards to your  
6 treatment of her back condition?

7 A. Yes.

8 MR. BURGIO: Your Honor, relevance.

9 THE COURT: Overruled.

10 BY MR. CAREY:

11 Q. Oh, and with regards to Dr. Rand's MRI that was  
12 performed just before your discogram, can you pull that out,  
13 doctor?

14 A. I have that.

15 Q. Does it say anything about any problems in taking  
16 an accurate MRI on that date?17 A. Well, he notes that there was motion artifact, so  
18 the quality of the scan was not up -- up to his -- up to  
19 snuff. It wasn't up to standards.20 Q. Okay. Does he say anything about whether or not  
21 the exam could be done due to spasms?22 A. Yes. The patient could not complete the  
23 examination due to spasms.24 Q. And that is what you had observed in your  
25 treatment of Mrs. VanDusen, muscle spasms?

2 A. Well, that's the reason I had placed her on the  
3 Valium, yes.

4 Q. And spasms are indications of pain, physical  
5 indications of pain?

6 A. Indication of pain, and as Dr. Lewis says,  
7 instability.

8 Q. And, by the way, was the CAT scan of the lumbar  
9 spine, after the -- in conjunction with the discogram, was  
10 that interpreted in consultation with Dr. Rand?

11 A. Yes, it was.

12 Q. So Dr. Rand, who had done the MRI, was consulted  
13 with regards to the follow-up CAT scan and discogram?

14 A. Yeah. And Dr. Iqbal at times will review cases  
15 with Dr. Rand, and he noted that he had reviewed it with  
16 Dr. Rand.

17 Q. From the standpoint of working -- or, I'm sorry,  
18 from the standpoint of the right leg versus left leg, which  
19 I believe Mr. Burgio asked you about, does it change your  
20 opinion in any way that Mrs. VanDusen was experiencing pain  
21 on the right side, oftentimes on the right leg?

22 A. No. Actually the pain diagram she filled out the  
23 first day she came in to see me, she showed on her pain  
24 diagram, she demonstrates that there was pain in both her  
25 legs. It just happens that her right leg pain was

2 predominant. She had severe pain in her back, and pain in  
3 both legs, worse on the right than left.

4 The issue is that Dr. Lewis said it first, is that she  
5 had a degree of instability, therefore, the pain can be  
6 worse on the opposite side of the herniation.

7 Q. Is this uncommon in the patients that you treat  
8 who have suffered an L4-5 disc herniation?

9 A. No, that's not uncommon.

10 Q. And, Doctor, just to clarify, do you have an  
11 opinion with a reasonable degree of medical certainty  
12 whether or not Mrs. VanDusen will be able to return to work  
13 again, in the future?

14 MR. BURGIO: Your Honor, I'll object.

15 THE COURT: Sustained. That's been covered.

16 MR. CAREY: Doctor, is there anything -- is  
17 there anything that you've heard here today on  
18 cross examination that's -- based on the other  
19 records of doctor -- or mentioned by Mr. Burgio,  
20 does it change your opinion in any way as to the  
21 cause or the nature of the injuries that  
22 Mrs. VanDusen has sustained?

23 MR. BURGIO: Object to the form of the  
24 question.

25 THE COURT: Sustained.

2 MR. CAREY: Do you have Scanlon's records?

3 THE COURT: Mr. Carey, we need to wind this  
4 up, or we have to bring the doctor back after  
5 lunch.

6 MR. CAREY: Two seconds.

7 Mr. Burgio, do you have Dr. Scanlon's  
8 records?

9 MR. BURGIO: There were two sets of records  
10 subpoenaed to the courtroom.

11 MR. CAREY: I know. But you were just  
12 referencing the ones that were marked.

13 MR. BURGIO: Why don't you just ask him a  
14 question.

15 MR. CAREY: Well, I'd like to refer to the  
16 records, as you did.

17 BY MR. BURGIO:

18 Q. Dr. Capicotto, if Mrs. VanDusen presented to Dr.  
19 Scanlon three days after this March 20th, fall of 2001, with  
20 dramatically increased low back pain running down both legs,  
21 would that be indicative that she had suffered a traumatic  
22 injury on March 20th, 2001, as she told you?

23 A. Yes.

24 Q. All right. And if Dr. Scanlon for the first time  
25 after that traumatic injury referred this --

2 MR. BURGIO: Your Honor, I've got to object.

3 THE COURT: Sustained. Any more questions,  
4 or do we have to bring him back after lunch? Any  
5 more questions, Mr. Carey?

6 MR. CAREY: No more questions, Doctor.

7 MR. BURGIO: 30 seconds.

## 8 RECROSS EXAMINATION

9 BY MR. BURGIO:

10 Q. Demerol is an addictive morphine, is it not?

11 A. Addictive narcotic.

12 Q. And you expected her to tell you about that when  
13 she came to see you, did you not?

14 A. Yes.

15 Q. Because there is something in your business that's  
16 called drug seeking behavior, is there not?

17 A. Yes.

18 Q. Okay. And if one of the records here confirms in  
19 October, November, of 2001, that was a diagnosis that's in  
20 evidence, drug seeking behavior?

21 MR. CAREY: Objection.

22 THE COURT: Sustained. 30 seconds are up.

23 MR. BURGIO: That's one of your concerns?

24 MR. CAREY: Objection.

25 THE COURT: Sustained.

2 BY MR. BURGIO:

3 Q. Doctor, no matter what happened at that MRI, Dr.  
4 Rand is the person that you sent Charlene VanDusen to?

5 A. Yes, sir.

6 Q. You trust his opinion?

7 A. I do.

8 Q. All right. And he said, he put his license -- his  
9 mark as a licensed physician saying that it was a negative  
10 MRI, evaluation of the lumbar spine on that date, that's  
11 what he did, right?

12 A. Well, if you read the report above, he mentions  
13 the artifact. But he does say essentially negative, I  
14 believe, negative MRI. But he mentions the artifact, the  
15 motion artifact.

16 Q. Right. But he doesn't say anything about, I can't  
17 give you an opinion, Doctor, because of that motion  
18 artifact. He gave you an opinion from a doctor, right? It  
19 was normal.

20 A. Well, he says negative MRI. I think he says  
21 negative because he cannot state whether there is a  
22 herniation or not because --

23 Q. Well, Your Honor --

24 THE COURT: That's sustained. That's enough.  
25 Are you all set, Mr. Burgio?

2 MR. BURGIO: Yes.

3 THE COURT: All right. Doctor, you're all  
4 set. You may step down.

5 (Conclusion of Dr. Capicotto's testimony.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25