

TESTIMONY OF DR GEORGE DIGIACINTO; 2006 Trial Trans. LEXIS 1444

SUPREME COURT OF THE STATE OF NEW YORK COUNTY OF SUFFOLK: PART 33

22360/98

January 26, 2006

Reporter

2006 Trial Trans. LEXIS 1444 *

WILLIAM P FUREY AND CONSTANCE FUREY, Plaintiff v. ALBERT BUTLER MD AND NEW YORK SPINE AND BRAIN SURGERY PC, Defendant

Expert Name: Dr. Vincent DiGiacinto, M.D.

Disclaimer

Certain information may have been removed or redacted. LexisNexis, its subsidiaries, affiliates and related entities bear no responsibility whatsoever for such content or any removal or redaction thereof.

Counsel

NEWMAN & OKUN P.C. Attorney for Plaintiff, New York, New York, BY: DAVID M. ODDO, ESQ., VARDARO & HELWIG, ESQ. Attorney for Defendant, Smithtown, New York, BY: WILLIAM D. DEMARIA, ESQ.

Proceedings

THE CLERK: Case on trial.

[4]COURT OFFICER: Jury entering.

[5]THE CLERK: Waive jury roll call?

[6]MR. ODDO: Yes.

[7]MR. DEMARIA: Yes.

[8]THE COURT: Plaintiff, any further

[9]witnesses for the Court?

[10]MR. ODDO: You Honor, at this time,

[11]the plaintiff would rest.

[12]THE COURT: Defendant have witnesses

[13] [*2] for the Court?

[14]MR. DEMARIA: Yes, Your Honor. The

[15]defendant calls Dr. George George

[16]Digiacinto.

[17]THE CLERK: Take the stand, remain

[18]standing and raise your right hand.

[19]DR. G-E-O-R-G-E D-I-G-I-A-C-I-N-T-O, having been

[20]first duly sworn, was examined and testified as

[21]follows:

[22]THE CLERK: State your name and

[23]address for the record, please.

[24]THE WITNESS: George Digiacinto,

[25]425 West 59 Street, New York, New York

[3]

[1]

[2]10019.

[3]THE COURT: Let's proceed.

[4]DIRECT EXAMINATION

[5]BY MR. DEMARIA:

[6]Q Good morning, doctor?

[7]A Good morning.

[8]Q I'm going to apologize for the quality of

[9]my voice, for you and to the jury, at if outset.

[10]Are you a physician duly licensed to

[11]practice medicine in the State of New York?

[12]A Yes, I am.

[13]Q When were you so licensed?

[14]A 1974.

[15]Q Where did you attend medical school?

[16]A Harvard Medical School.

[17]Q When did you graduate?

[18]A 1970.

[19]Q After graduation from medical school,

[20]what did you do next, professionally?

[21]A From '70 to '72, I was a Surgical House **[*3]**

[22]Officer at the Roosevelt Hospital in New York City.

[23]From 1972 to 1974, I was a Medical

[24]Officer in the United States Navy.

[25]From '74 to 1978, 1 was a

[4]

[2]Neurosurgical Resident at Columbia Presbyterian

[3]Hospital in New York City.

[4]Since 1978, I've been in the

[5]practice of neurosurgery.

[6]Q Have you been Board Certified in

[7]neurological surgery?

[8]A Yes, I have.

[9]Q What year was that?

[10]A 19, I think it was, '82.

[11]Q Doctor, with which hospitals are you

[12]affiliated, at the present time?

[13]A Currently I'm a Director of Neurology at

[14]St. Lukes Roosevelt Hospital in New York City.

[15]Q Doctor, do you hold any academic titles

[16]or employment, at the present time?

[17]A Instructor in neurological surgery at

[18]Columbia Presbyterian Medical Center.

[19]Q Have you authored, published articles

[20]relating to the specialty of neurosurgery?

[21]A Yes, I have.

[22]Q Are you currently a member of any

[23]professional societies or organizations?

[24]A Yes, I am.

[25]Q Tell the Court [*4] and jury the major ones?

[5]

[2]A The American Association of Neurological

[3]Surgery, New York State and New York County Medical

[4]Society. I think those are the major ones,

[5]medically.

[6]Q Did there come a time, in June of 2000,

[7]when you were asked to review certain materials, in

[8]connection with this case?

[9]A Yes.

[10]Q At that time, doctor, were you provided

[11]with copies of the hospital records relating to Mr.

[12]Furey's care and treatment at Presbyterian Hospital

[13]and Southside Hospital?

[14]A Yes, I was.

[15]Q Were you also provided with copies of the

[16]office records of Dr. Gudesblatt and Dr. McCormick,

[17]Dr. Cammisa, Dr. Casden, Dr. Cipolla and Dr.

[18]Butler?

[19]A Yes, I was.

[20]Q Were you also provided with copies of

[21]radiological studies, from Long Island MRI and

[22]Brookhaven MRI?

[23]A Yes.

[24]Q Did you review those?

[25]A Yes.

[6]

[2]Q Were you provided with the EBT testimony

[3]of Mr. and Mrs. Furey and Dr. Butler to review?

[4]A Yes. [*5]

[5]Q Doctor, have I provided you with the

[6]trial testimony of Dr. Butler and Dr. Silvers to

[7]review?

[8]A Yes, you have.

[9]Q Have you reviewed it?

[10]A Yes, I have.

[11]Q Doctor, have you been compensated for

[12]your time spent reviewing the various materials?

[13]A Yes, I have.

[14]Q What hourly rate of compensation?

[15]A At this time I'm being compensated

[16]\$ 400.00 an hour.

[17]Back in 2000 it may have been

[18]\$ 350.00 an hour.

[19]Q Have you and I met prior to today to

[20]II discuss the case?

[21]A Yes, we have.

[22]Q Do you expect to be compensated for your

[23]time away from your practice today?

[24]A Yes, I do.

[25]Q Have you testified as an expert at trial

[2]in the past?

[3]A Yes, I have.

[4]Q When was it that you first appeared at

[5]trial as an expert witness?

[6]A I think in 1986 or '87.

[7]Q On how many occasions, doctor, since 1987

[8]have you appeared at trials as an expert?

[9]A All types of trials I think approximately

[10]50 times.

[11]Q [*6] Have you testified at trial, doctor, as

[12]an expert witness for the Plaintiff and the

[13]Defendant?

[14]A Yes, I have.

[15]Q Doctor, are you familiar with the

[16]standards of practice among neurosurgeons as they

[17]existed in the community in 1997?

[18]A Yes, I have.

[19]Q Doctor, do you have an opinion with a

[20]reasonable degree of medical certainty, whether it

[21]was a departure, on the part of Dr. Butler, to have

[22]not used an operative microscope in the performance

[23]of the hemilaminectomy and discectomy performed on

[24]January 31?

[25]A Yes, I have an opinion.

[8]

[2]Q What is that opinion?

[3]A It was not a departure to not use the

[4]microscope.

[5]Q Explain the basis of that opinion?

[6]A There is no standard of care which

[7]requires the use of the microscope in 1997 or even

[8]today.

[9]In my experience as a neurosurgeon

[10]in my personal practice and in my interaction with

[11]any number of other neurosurgeons, while some do use

[12]it, the greater majority of neurosurgeons in 1997

[13]used the naked eye or much more often [*7] so-called

[14]magnifying loops plus a head light to afford

[15]magnification of the field and better visualization

[16]with lighting.

[17]There is absolutely no standard that

[18]requires, nor is it general practice, to utilize the

[19]operating microscope.

[20]Q Doctor, would the use of the operative

[21]microscope provide better visualization or

[22]magnification of the nerve root, at the time of the

[23]surgery?

[24]A The goal is to have adequate

[25]visualization. If it's not achieved through the size

[9]

[2]of his exposure, or through the lighting available,

[3]or through the magnification available, then he has

[4]to resort to something greater. There is higher

[5]magnification through the microscope. If there is a

[6]question do you need it, as I said, I feel today and

[7]in 1997 that I have an excellent view utilizing

[8]magnifying loops and a head light.

[9]Q You mentioned size and exposure?

[10]A I think there has been some discussion

[11]with microdiscectomy vs. Macro, bigger opening.

[12]The size of the exposure is how big

[13]of the incision you make and how much muscle you **[*8]** push

[14]to the side to get visualization. Microdiscectomy is

[15]more common this day. and you make the smaller

[16]exposure. That's to minimize the amount of the

[17]incision size and very importantly minimize the

[18]amount of muscle retraction.

[19]When you are looking at the disc,

[20]when you are doing the operation, you must have

[21]exactly the same exposure, whether it's an eight inch

[22]incision, a six inch incision or inch and-a-half

[23]incision. You are obligated to have adequate

[24]exposure and visualization and you tailor your

[25]incision and what you use for magnification to being

[10]

[2]able to have that good exposure.

[3]Q From your review of the Operative Report,

[4]doctor, what type of exposure did Dr. Butler make use

[5]of in this case?

[6]A Dr. Butler performed a standard

[7]discectomy which I think he said he used about a six

[8]inch incision and utilized the device called a Taylor

[9]retractor, which is a right angle device with a point

[10]on it that allows you to grab on to something called

[11]a lateral facet and achieve an exposure which is

[12]bigger. It's standard **[*9]** today. That would not be

[13]considered wrong to do that type of exposure in a

[14]lumbar discectomy.

[15]Q Based on the materials you have reviewed,

[16]do you have an opinion, with a reasonable degree of

[17]medical certainty, whether or not it was a departure

[18]from accepted standards of practice in 1997 for Dr.

[19]Butler to have performed surgery on January 31, 1997

[20]with a white blood count of 12.1 and a shift to the

[21]left on January 26, 1997, and with a temperature of

[22]100.3 at 11:00 p.m. On January 30, 1997 and a

[23]temperature of 100.1 at approximately 7:30 a.m. on

[24]January 31, 1987?

[25]A I do have an opinion.

[11]

" 2 Q What is your opinion in that regard?

[3]A It was not a departure for him to go

[4]ahead with surgery.

[5]Q Explain the basis of your opinion?

[6]A I think we'll take each piece of

[7]information and add it to the entire clinical

[8]picture.

[9]The patient had no systemic

[10]complaints that were recorded, noted by Dr. Butler.

[11]Dr. Butler, in reviewing the

[12]patient's records, saw no indication that there were

[13]any systemic complaints, [*10] giving him no reason to be

[14]concerned about the numbers that we talked about,

[15]100.1 and 100.3. In fact, there was also, between

[16]the two temperatures, a normal temperature, in fact a

[17]slightly subnormal temperature of 97.

[18]During the surgery the temperature

[19]was recorded as normal of 98.6.

[20]Therefore, those two very low grade

[21]fevers, they barely qualify for that, have to be

[22]looked at in that context.

[23]Again the overall picture of the

[24]patient is important. The white count, 12.2 is very

[25]little bit over normal and the shift, which was

[12]

[2]noted, was a couple of ticks away from normal.

[3]You have to take all that

[4]information, look at the patient and look at his

[5]clinical picture where he's in severe pain, he's been

[6]on bed rest for several days, and decide whether it's
[7]more appropriate to proceed and enable him to get
[8]mobilized sooner than later. There are a variety of
[9]reasons for moving ahead, phlebitis, a bleed could
[10]occur.

[11]Balancing the whole picture, I do
[12]not feel that Dr. Butler, with all the information
[13]that could have been available, departed [*11] in any way
[14]by going ahead with surgery.

[15]Q We had some testimony yesterday from Mr.

[16]Furey that he complained to Dr. Butler, prior to
[17]surgery, of feeling weak and also feeling nauseous.

[18]Should Dr. Butler, under those

[19]circumstances, doctor, have continued with the
[20]surgery?

[21]A I feel he should have, yes.

[22]Q Explain that to the jury please?

[23]A The patient was on bed rest. He was

[24]under the stress of being in bed. He was on pain

[25]medication which very often could make one feel weak

[13]

[2]and queasy and again the non-specificity of those

[3]particular complaints would not make me say Dr.

[4]Butler should have postponed the surgery.

[5]Q Doctor, in your review of the testimony

[6]of Dr. Silvers, I want you to assume that Dr.

[7]Silvers testified that one of the issues in this case

[8]is whether the disc fragment that was removed by Dr.

[9]McCormick at Presbyterian Hospital on October 7 of

[10]'97 that was compressing the L5 nerve root was left

[11]behind by Dr. Butler at the time of his surgery on

[12]January 31, 1997, whether the fragment moved, [*12] was a

[13]recurrent disc herniation that can occur in

[14]approximately five percent of patients.

[15]Do you have an opinion, doctor, with

[16]a reasonable degree of medical certainty, whether the

[17]fragment removed by Dr. McCormick was a fragment left

[18]behind by Dr. Butler or a recurrent disc fragment?

[19]A I do have an opinion.

[20]Q What is your opinion?

[21]A It was a recurrent disc fragment.

[22]Q Please explain the basis of that opinion

[23]to the jury?

[24]A The patient presented with severe pain.

[25]He underwent surgical intervention at the time of

[14]

[2]surgery. Dr. Butler described in his operative

[3]Report feeling at the end of his surgical procedure

[4]that the nerve root was free, it was no longer

[5]compressed. That's the goal of the surgery. That's

[6]what he described as doing, and that's when you are

[7]done with this surgery.

[8]Moreover, the patient after being

[9]uncomfortable post surgery, by the time he went home

[10]was taking only Advil.

[11]It was noted by subsequent

[12]evaluating physicians. Gudesblatt noticed the leg

[13]pain was improving. [*13] Dr. Dr. Cammisa noted that the

[14]leg pain was improving. Dr. Casden, and again I

[15]would have to read every one of the doctors, noted he

[16]was taking no pain medication and returning to work.

[17]He was in severe pain before

[18]surgery. After surgery he was off pain medications,

[19]telling other physicians that he had less pain and

[20]returning to work.

[21]That clearly demonstrates that there

[22]is a difference. The difference is as a result of

[23]the fragment or fragments compressing the nerve root

[24]having been removed.

[25]The subsequent further need for

[15]

[2]surgery indicates that a recurrent disc, which I

[3]think everyone agreed is around a 5% probability, was

[4]the reason for his need for further surgery, not that

[5]the fragment was there the whole time compressing the

[6]root and causing him problems. There was clear

[7]evidence from a variety doctors records of

[8]improvement.

[9]Q Doctor, I want you to assume that we've

[10]had testimony that the surgery performed by Dr.

[11]Butler was a clean procedure and that the surgery was

[12]documented to have started at 1:45 p.m. and was

[13] **[*14]** finished at 3:00 p.m..

[14]Assuming what I've asked, doctor, do

[15]you have an opinion, with a reasonable degree of

[16]medical certainty, whether prophylactic antibiotics

[17]should have been administered at the time of surgery

[18]on January 31, 1997?

[19]A I do have an opinion.

[20]Q What is that opinion?

[21]A That under the circumstances, there is no

[22]clear indication that prophylactic antibiotics needed

[23]to be used in this case.

[24]Q What are those factors particularly

[25]doctor?

[16]

[2]A The important factors in deciding whether

[3]or not you are going to use prophylactic antibiotics

[4]are the type of surgery, the circumstances of the

[5]surgery, and the length of the surgery.

[6]A clean operation means that there

[7]is no abrasion of the skin, there is no -- it's not

[8]an injury where you have gravel and dirt ground into

[9]the skin. There is no clear indication that there

[10]could be an infection sitting there already.

[11]One of the highest correlations of

[12]infection in surgery is related to time of surgery.

[13]An operation that predictably will **[*15]** take less than two

[14]hours, and this one took less than that, is not one

[15]that stands a significant chance of ending up with an

[16]infection. So length of surgery, type of condition

[17]of the patient.

[18]If this operation was done after he

[19]had been thrown off a motorcycle and scraped himself

[20]all up, that would be appropriate for the use of

[21]antibiotics.

[22]Q You mentioned the length of the

[23]operation.

[24]Did Dr. Butler have a basis for

[25]determining, prior to surgery, that the operation he

[17]

[2]was about to perform would take under two hours?

[3]A I think he did, yes.

[4]Q Would that fact be that he could

[5]determine prior to surgery regarding administration

[6]of prophylactic antibiotics?

[7]A Yes.

[8]Q Doctor, do you have an opinion, with a

[9]reasonable degree of medical certainty, whether Dr.

[10]Butler departed from accepted standards of practice

[11]in failing to perform an incision and drainage during

[12]Mr. Furey's readmission to Southside Hospital on

[13]February 13, 1997?

[14]MR. ODDO: Objection, Your Honor,

[15]medical degree of certainty [*16] as opposed to

[16]medical degree of probability?

[17]MR. DEMARIA: I disagree, judge.

[18]THE COURT: I'll allow him to answer

[19]the question.

[20]Overruled.

[21]A I do have an opinion.

[22]Q What is your opinion?

[23]A That Dr. Butler did not depart from the

[24]standard of care by not performing an incision and

[25]drainage of that infected wound that he observed on
r?

[18]

[2]the February 13.

[3]Q Explain the basis of that opinion?

[4]A When Dr. Butler observed the patient in

[5]his office, he saw clear evidence there was
[6]infection. He felt it was a superficial wound
[7]infection and he appropriately admitted the patient
[8]to the hospital, and started him on intravenous
[9]antibiotics.

[10]In his initial plan, while seeing
[11]the patient, before leaving the patient in the care
[12]of Dr. Seymour, he stated: We'll observe wound. If
[13]it continues to drain, I'm paraphrasing now, we'll
[14]consider incision and drainage.

[15]The appropriate treatment is
[16]observation and treatment with antibiotics. It's
[17]unnecessary to perform an operation out. It's
[18]unnecessary **[*17]** to perform a surgery which involved
[19]taking the patient to the Operating Room, putting him
[20]to sleep and putting him through another anesthesia.

[21]If it's a superficial and it
[22]responds to intravenous antibiotics, as it did, there
[23]is no reason to do a re-operation.

[24]Q I want you to assume Mr. Furey testified
[25]that from the time of Dr. Butler's surgery on January
[19]
[2]31, 1997 he has continued to experience numbness
[3]below the knee, along with intermittent spasm of his
[4]left leg.
[5]Assuming what I've asked, doctor,
[6]and based upon the records you have reviewed, do you
[7]have an opinion, with a reasonable degree of medical
[8]certainty, of the cause of the below the knee
[9]numbness and spasm of Mr. Furey's left leg?

[10]A I do have an opinion.

[11]Q What is your opinion?

[12]A The patient's presentation of very severe

[13]pain and it was noted by another examiner not to be

[14]able to get out of bed.

[15]You will recall the MRI scan that

[16]showed clear evidence of compression of the nerve

[17]root in the foramen. Recall Dr. Butler's description

[18]of dissecting **[*18]** out the nerve root and relieving the

[19]pressure and of necessity having to move the nerve

[20]root to remove disc materials. All those things can

[21]cause a very significant injury to the nerve root

[22]before surgery and to a certain degree as a result of

[23]necessary retraction at surgery, and one of the

[24]problems that such a situation can leave you with, is

[25]a permanent injury to the nerve root.

s

[20]

[2]My understanding the problem has

[3]persisted even until today. That indicates that there

[4]is a permanent injury to the nerve root, secondary to

[5]such severe compression.

[6]Q What is the nature of the injury that can

[7]occur to the nerve root, prior to surgery?

[8]A The nature of the injury, the severe

[9]compression by the nerve being tightly smashed by

[10]this fragment in a space that has no place to let the

[11]nerve root go. That's exactly what happens when a

[12]nerve root is trapped in the foramen. There is a

[13]circle of bone which is nerve root going through it

[14]and a fragment of disc jammed into it that's compress

[15]the nerve root.

[16]Unfortunately you can't [*19] presume that

[17]a nerve can get better once you decompressed it. You

[18]try to decompress it as expeditiously as possible,

[19]get pressure off of it as quickly as you can.

[20]If there is irreversible damage, and

[21]you don't know until the problem doesn't go away, you

[22]have to assume it was damaged at the time of that

[23]compression.

[24]MR. DEMARIA: Nothing further.

[25]Thank you.

[0]

[21]

[2]THE COURT: Retire the jury for five

[3]minutes please.

[4](Whereupon, at this time, there was

[5]a brief recess.)

[6]COURT OFFICER: Jury entering.

[7]THE CLERK: Waive jury roll call?

[8]MR. ODDO: Yes.

[9]MR. DEMARIA: Yes.

[10]THE CLERK: All jurors present.

[11]Let's proceed with cross examination

[12]please

[13]CROSS EXAMINATION

[14]BY MR. ODDO:

[15]Q Good morning, doctor?

[16]A Good morning.

[17]Q My name is David Oddo and I represent, as

[18]you probably are aware, Mr. and Mrs. Furey in this

[19]matter?

[20]A Yes.

[21]Q We've never met before?

[22]A Not to my recollection.

[23]Q Now, doctor, you were contacted by Mr.

[24]Demaria's office to review **[*20]** this matter?

[25]A Initially by another firm and

[2]subsequently by Mr. Demaria's office.

[3]Q When was that?

[4]A His office, sometime earlier this year,

[5]around June or July or something.

[6]Q The materials that you testified to

[7]earlier, was that the extent of the materials that

[8]you reviewed, in connection with this case?

[9]A I'm not sure if there is anything we left

[10]out.

[11]Q Did you maintain a file or any notes,

[12]concerning your review of this matter?

[13]A I have a file. I don't have any notes.

[14]Q Do you have the file with you today?

[15]A Part of the file with me today. Mr.

[16]Demaria asked me to bring it last night. I was home

[17]and I left the majority of the file in my office.

[18]Q What's contained in your file here today?

[19]A Reports of Dr. Gudesblatt, Cammisa,

[20]McCormick, Dr. Butler's records. Dr. Butler's

[21]operative reports and Dr. McCormick. Some of the

[22]Columbia records. Dr. Butler's Examination Before

[23]Trial and a few other things. This is a Verified

[24]Bill of Particulars [*21] sitting here. I think that

[25]comprises the majority of what I have with me today.

[2]I said r. Gudesblatt's records.

[3]Q Prior to coming here today, you had an

[4]opportunity to review the trial testimony of Dr.

[5]Butler?

[6]A Correct.

[7]Q And the trial testimony of Mr. Furey?

[8]A Correct.

[9]Q And--

[10]A I'm sorry, I did not, not Mr. Furey.

[11]Q Dr. Silvers?

[12]A Yes.

[13]Q You read Dr. Silvers trial testimony, Dr.

[14]Butler's trial testimony, you reviewed my clients

[15]deposition transcript from five years ago or so?

[16]A Yes, at some point.

[17]Q Now, you testified that your hourly rate

[18]is \$ 400.00 an hour?

[19]A Yes.

[20]Q Is that to testify?

[21]A No.

[22]Q Is it the review -- it's also to review?

[23]A It is to review.

[24]Q So, do you get a separate fee for coming

[25]to testify today?

[24]

[2]A Yes, I do.

[3]Q What is that?

[4]A \$ 5, 000.00.

[5]Q So you get \$ 5, 000.00 to come here today

[6] [*22] and you charge by the hour to review the matter?

[7]A Correct.

[8]Q Approximately, how many hours did you

[9]review the matter?

[10]A I'm going to guess. I keep little

[11]stickies and add them up at the end, including

[12]meetings with Mr. Demaria, reviewing the depositions,

[13]going over the chart, again it's going to be another

[14]five or six hours, something on that order.

[15]Q Five or six hours and then your trial

[16]testimony today?

[17]A Yes.

[18]Q Now over the years you have had the

[19]opportunity to testify in Court approximately 50

[20]times or so?

[21]A Around that number.

[22]Q You have testified in courts in New York?

[23]A Correct.

[24]Q And testified in courts outside of New

[25]York?

[25]

[2]A Yes.

[3]Q And you testified--

[4]The majority of your testimony is on

[5]behalf of defendant doctors in medical malpractice

[6]cases?

[7]A The breakdown for all types of cases and

[8]I say all because some include personal injury cases,

[9]which are not medical malpractice cases, it breaks

[10]down to 75 percent [***23**] defense and 25 percent plaintiff

[11]with all types. I couldn't break it down. Within

[12]malpractice about the same.

[13]Q It's about the same?

[14]A Around the same.

[15]Q Doctor, is it fair to say it's more than

[16]75 percent defendant doctor testimony in medical

[17]malpractice cases?

[18]A The last time I looked at it I think it

[19]was around there. I really don't keep an accurate

[20]number. I wouldn't argue with 82 percent or

[21]something like that. It's on that order.

[22]Q Now, other than the 50 times you

[23]testified, you have had the opportunity to review

[24]cases where you didn't have the opportunity to come

[25]to court and testify; correct?

[26]

[2]A That's true.

[3]Q Approximately how many times?

[4]A 200, 250, maybe 300. I really don't

[5]know.

[6]Q From 2000 on or so, how many have you

[7]reviewed?

[8]A I just would have to make the gross guess

[9]of 20, 15, 10. It would vary from year to year.

[10]Q Now, doctor, you're currently an Academic

[11]Instructor at Columbia Presbyterian?

[12]A Roosevelt Hospital [***24**] is a teaching hospital

[13]for Columbia. I don't go to Columbia. I'm only at

[14]Roosevelt Hospital.

[15]Q But if you don't go -- what is your

[16]exact title?

[17]A Director of Neurosurgery at St. Luke's

[18]Roosevelt Hospital, Instructor of Neurological

[19]Association with affiliation through Columbia

[20]Presbyterian Medical Center.

[21]Q You have an affiliation with Columbia

[22]Presbyterian?

[23]A The hospital has an affiliation and I do

[24]since I'm on the faculty at Roosevelt.

[25]Q Because St. Lukes has a relationship with

i0

27

[2]Columbia, that's your relationship with Columbia?

[3]A Correct.

[4]Q Was that also the case back in 1997?

[5]A Yes.

[6]Q I want to ask you, doctor, how many

[7]actually -- tell me again, what surgery was performed

[8]by Dr. Butler of my clients?

[9]A Dr. Butler performed a foraminotomy, a

[10]laminotomy, removal of disc material. I'm using my

[11]terminology. I don't remember the terminology he

[12]used. His goal was to take pressure off the L5 nerve

[13]root by removing ligamentous structures, bony

[14] [*25] structures, and any disc material that he could

[15]visualize compressing against the nerve root.

[16]Q Doctor, you have performed that surgery

[17]before?

[18]A Yes.

[19]Q Approximately how many times?

[20]A Somewhere between 75 and 100 times a

[21]year, since 1978.

[22]Q You -- those surgeries took place at

[23]what hospital? More than one hospital?

[24]A Five or six different hospitals.

[25]Q Tell me what hospitals?

28

[2]A Harlem Hospital, Lenox Hill Hospital,

[3]Beth Israel North Hospital, which also is called

[4]Doctors Hospital and Columbia Presbyterian. When I

[5]initially went into practice, I was on staff at St.

[6]Lukes and Roosevelt which are technically one

[7]hospital but two separate spots.

[8]Q You performed this surgery at Columbia

[9]Presbyterian Hospital?

[10]A Yes.

[11]Q Doctor, in 1997, wasn't it the general

[12]practice at Columbia Presbyterian Hospital to perform

[13]this surgery under a microscope?

i 14 A Not that I'm aware of, sir.

[15]Q Did you perform any of these surgeries at

[16]that hospital, in 1997? **[*26]**

[17]A No.

[18]Q How about '96?

[19]A I think I left staff there really much,

[20]much before that, in the early'80's, no mid 80's.

[21]Q You hadn't performed a surgery from mid

[22]80's to today?

[23]A Until today.

[24]Q At that hospital?

[25]A Correct.

?J

29

[2]Q Do you presently do these types of

[3]surgeries?

[4]A Yes.

[5]Q Is it your testimony today that you will

[6]do these surgeries today without a microscope?

[7]A Absolutely.

[8]Q You do them with a loop?

[9]A Yes.

[10]Q What's the magnification of your loop?

[11]A Mine are six times.

[12]Q And you also do them with lighting, some

[13]illumination?

[14]A A head light that I wear on my head.

[15]Q The magnification is important to you so

[16]that you have a better view of the operative field?

[17]A Yes.

[18]Q And you can more easily identify the

[19]nerve root, let's say?

[20]A Yes.

[21]Q You can see the nerve root clearly and

[22]you could see any fragments that may be in the

[23]operative field. **[*27]** Isn't that the purpose of the

[24]magnification, to help you see these things?

[25]A Yes.

30

[2]Q And you do that because in this type of

[3]surgery, the one in this particular case, there is a

[4]small hole through the lamina?

[5]A Correct.

[6]Q And you are actually--

[7]The procedure is taking place, part

[8]of the procedure is taking place six to eight inches

[9]into that hole?

[10]A I don't think it's -- I don't have a

[11]ruler, when I do it.

[12]Q What would you say?

[13]A Four or five. It depends on how big the

[14]patient is. With a very heavy patient, it well could

[15]be over that, and a thin patient it might be two or

[16]three inches.

[17]Q Because all patients differ?

[18]A Yes.

[19]Q When you -- withdrawn-

[20]Do you have any personal --

[21]withdrawn--

[22]Do you know Dr. McCormick?

[23]A Yes, I do.

[24]Q You are aware from looking at the chart

[25]Dr. McCormick in 1997, in October, used a microscope 11

[31]

[2]in his procedure?

[3]A Yes, I am.

[4]Q Did you **[*28]** know Dr. McCormick back in '97?

[5]A Yes.

[6]Q Were you a member, if you know, of any of

[7]the same medical associations or groups, any medical

[8]associations or groups that you were with him that

[9]you know you were a member with him on?

[10]A Yes.

[11]Q What were those?

[12]A I'm sure he's a member of American

[13]Association of Neurosurgery. I'm guessing he's a

[14]member of New York County and New York State Medical

[15]Society.

[16]Q You also-- you had an opportunity to look

[17]at Dr. Butler's Operative Report, right?

[18]A Yes.

[19]Q Just so we're clear, you have given us

[20]some opinions today and the opinions --

[21]Let's take them one at a time.

[22]You gave an opinion that it was not

[23]a departure to use the microscope.

[24]A No, sir, not a departure not to use it.

[25]It was not a departure not to use the microscope.

[0]

[32]

[2]You said not a departure to use the microscope.

[3]Q That's what you testified to?

[4]A Yes.

[5]Q Your testimony is that the greater

[6]majority of neurosurgeons at the time used [*29] the naked

[7]eye?

[8]A No, no. I said could use the naked eye

[9]or loops.

[10]Q So your opinion is that it's not, it was

[11]not the general practice of neurosurgeons at the time

[12]to use a microscope?

[13]A That's correct.

[14]Q It's your testimony that your experience

[15]has shown you have an excellent view with a loop?

[16]A Yes.

[17]Q You have an excellent view with the head

[18]gear, the illumination you use?

[19]A Yes.

[20]Q You also stated that you as the surgeon

[21]have an obligation to have adequate vision and

[22]exposure to the operative field?

[23]A Correct.

[24]Q You testified -- -- withdrawn-

[25]Is it your opinion that Dr. Butler

33

[2]had adequate vision and exposure of the operative

[3]field in this case?

[4]A With the information I have available,

[5]yes.

[6]Q The information you have available is his

[7]Operative Report?

[8]A Yes.

[9]Q There is nothing else that could tell you

[10]whether or not he had adequate vision or exposure?

[11]A I have to remember if he referred to the **[*30]**

[12]at all in his deposition or testimony. I just don't

[13]remember. I also have to recall that in the record

[14]it shows that the patient improved, indicating he

[15]accomplished what he wanted to.

[16]Q You know what, we can go right into that

[17]now, if you like.

[18]The notation, that is, the patient

[19]improved, you are aware, doctor, that the day

[20]following surgery Mr. Furey was complaining of severe

[21]pain in his low back, correct?

[22]A Yes.

[23]Q And he was experiencing numbness below

[24]his knee?

[25]A Correct.

I*

[34]

[2]Q And you are aware that prior to the

[3]surgery Mr. Furey did not exhibit symptoms of

[4]numbness below his knee, correct?

[5]A Yes.

[6]Q And you are also aware that the pain that

[7]Mr. Furey was suffering was the same type of pain in

[8]his low back that he had prior to the surgery?

[9]A I'll trust that. I don't recall that.

[10]Q I don't want-- I want-- I don't want you

[11]to do that. If that's your understanding of the

[12]evidence, I'm asking you if it is.

[13]A I just don't recall how he described [*31] the

[14]low back pain prior to the surgery. Again I'm not

[15]disagreeing. I can't say offhand recall it.

[16]Q The purpose of Dr. Butler's surgery was

[17]to decompress the L5 nerve root, correct?

[18]A Correct.

[19]Q And would you agree that the reason for

[20]Mr. Furey's problems while in the hospital was a

[21]compression problem on the L5 nerve root?

[22]A Prior to surgery, yes.

[23]Q So, if the nerve was decompressed by Dr.

[24]Butler, would you agree that there would be some

[25]improvement in Mr. Furey's symptoms?

35

[2]A It may or may not be.

[3]Q Now, there may not be because there may

[4]be such severe damage to the nerve; correct?

[5]A One possibility, yes.

[6]Q And one of the ways that the nerve could

[7]be damaged permanently is during surgery; correct?

[8]A It's possible, yes.

[9]Q If Dr. Butler didn't carefully and gently

[10]retract the nerve root from the area that he was

[11]operating on that, could cause permanent damage to

[12]the nerve root?

[13]A There are certainly degrees of excessive

[14]retraction **[*32]** which would could cause damage to the

[15]nerve root, yes. I could take a hammer and hit it,

[16]that would damage it. That wouldn't be appropriate

[17]though.

[18]Q It's your understanding that --

[19]withdrawn--

[20]So, if there was not damage to the

[21]nerve root from either the herniated disk or from the

[22]retraction, would you expect Mr. Furey to have relief

[23]of his symptoms?

[24]A Again he may or may not.

[25]Q Would you expect it?

[2]A I have to say it the same way, he a may

[3]or may not.

[4]Q Mr. Furey did not get better?

[5]Is it your understanding, in review

[6]of the records, Mr. Furey's condition of his low back

[7]continued days after surgery?

[8]A In the hospital, yes.

[9]Q And his leg numbness never went away?

[10]A That's my understanding.

[11]Q Your understanding he had leg numbness

[12]from post surgery to the present?

[13]A Again the most recent record I have is

[14]not present but he's told you that and I have no

[15]reason not to believe it.

[16]Q Doctor, isn't it true that one of the

[17]factors in causing [*33] permanent nerve damage to the

[18]nerve root would be the duration of time of

[19]compression?

[20]A Yes.

[21]Q Would it also be the degree of the amount

[22]of compression?

[23]A Yes.

[24]Q So the longer the nerve root is

[25]compressed, the more chance that he's going to have

37

[2]permanent damage to the nerve root?

[3]A Correct.

[4]Q You also gave us an opinion with respect

[5]to, it was not a departure for Dr. Butler to do the

[6]surgery due to his increase in temperature prior to

[7]surgery and his slightly elevated white blood cell

[8]count?

[9]A Correct.

[10]Q You had indicated Mr. Furey did not have

[11]any systematic complaints that would lead you to

[12]believe that he had some sort of infection?

[13]A There was no information that I could

[14]glean from the chart that would indicate that.

[15]Q Doctor, when you do surgery, do you look

[16]before you do surgery at laboratory reports?

[17]A Not necessarily, unless there as reason

[18]to.

[19]Q So as a matter of custom and practice

[20]yourself, you don't look at lab work? **[*34]**

[21]A I may or may not. Usually I don't. It's

[22]reviewed by the anesthesiologist prior to surgery and

[23]any major abnormality is indicated to me, if

[24]necessary.

[25]Q It's your testimony that you rely on the

38

[2]anesthesiologist to tell you if there is a reason,

[3]from his opinion, not to go do surgery?

[4]A It's his responsibility to review all lab

[5]data to make sure the patient can go to sleep and

[6]there is no contraindication. When I have the

[7]patient, there is no reason for me to have concern.

[8]I then depend on the anesthesiologist who is required

[9]to do probably the riskiest part of the procedure to

[10]put the patient to sleep. It's safe to do it and no

[11]reason not to do it. I do rely on them to review the

[12]data, yes.

[13]Q As a neurosurgeon, you are the one

is 14 perform the surgery?

[15]A Yes.

[16]Q You are, for no better term, captain of

[17]the ship?

[18]A I think you can use that term.

[19]Q You are responsible for what takes place

[20]during the surgery, aren't you?

[21]A Correct.

[22]Q Now, doctor, [*35] you also review, prior to

[23]surgery, you review the patient's temperature, don't

[24]you?

[25]A No.

39

[2]Q You don't?

[3]A No.

[4]Q You rely on the anesthesiologist?

[5]A Unless I'm told it's elevated or unless

[6]I'm worried for some reason, I wouldn't specifically

[7]go chase it down because if it was significantly

[8]elevated, someone is responsible, specifically the

[9]anesthesiologist is responsible to tell me that.

[10]That's one of the issues he will use in deciding

[11]whether or not a patient should be placed under

[12]general anesthesia. It is his obligation to inform

[13]me of that.

[14]Q The anesthesiologist is concerned from

[15]his standpoint, correct?

[16]A Yes.

[17]Q As to how it would affect him putting the

[18]patient under anesthesia; correct?

[19]A Yes.

[20]Q Don't you have a different concern?

[21]A An elevated temperature would be of

[22]concern to an anesthesiologist perhaps in a different

[23]way. It would be of equal concern.

[24]Q Of equal concern but may be different?.

[25]Your concern as the surgeon **[*36]** would be whether or not

?J

40

[2]there is an infectious processing going on?

[3]A It would be one of the things, yes.

[4]Q That would be your concern?

[5]A It may be, yes.

[6]Q Now, Mr. Furey as you know from --

[7]To save time, the jury heard this

[8]for days now, that Mr. Furey had a fever the early

[9]morning hours prior to his surgery?

[10]A I don't remember the exact time. I think

[11]it was 11:00 p.m. and 4:00 a.m. It was normal during

[12]surgery.

[13]Q What about prior to surgery?

[14]A I believe at 8:00 A.M. it was 100.2.

[15]Q 8:00 A.M. the morning of the surgery?

[16]A Yes.

[17]Q It had been abnormally low four hours

[18]prior to that?

[19]A It was measured as such, yes.

[20]Q So that wouldn't be of any concern for

[21]you, the deviation in temperature?

[22]A If I looked at that deviation in

[23]temperature and had that in front of me, I would ask

[24]what the temperature was at the time of surgery. If

[25]it was normal, I would take that into account with

[41]

[2]all the [*37] other pieces of information and make the

[3]decision as to whether it was appropriate to go ahead

[4]or not go ahead.

[5]Q Was Mr. Furey's temperature normal at the

[6]time of surgery?

[7]A My recollection it was normal during

[8]surgery, sir.

[9]Q Do you have the Temperature Flow Sheet?

[10]A I don't have that chart.

[11]COURT OFFICER: Plaintiff's Exhibit

[12]3 in evidence shown to the witness.

[13]A Any idea where I should start looking.

[14]MR. ODDO: Can I approach the

[15]witness?

[16]THE COURT: Please do.

[17]A This is a Flow Sheet which is not

[18]intraoperative. It looks like this is a post-op Flow

[19]Sheet.

[20]The next abnormal reading is 2/1,

[21]which is the day after the surgery, which is 102.

[22]Q I direct your attention to the morning of

[23]January 31?

[24]A Yes, sir.

[25]Q I'm going to take you to midnight. I'll

[42]

[2]take you to midnight on the 31st.

[3]Do you see that there at twelve?

[4]A The first reading on the sheet?

[5]Q Yes.

[6]A Yes.

[7]Q What is the temperature?

[8]A 100.2. **[*38]** It's right at the line.

[9]Q Is it closer to 100.3, doctor?

[10]A There is a circle. It looks like 100.2

[11]and the line goes a little higher.

[12]Q For purposes of my question, it could be

[13]100.2 or 100.3?

[14]A Yes.

[15]Q The next temperature reading, was it 4:00

[16]a.m.

[17]A Approximately, yes.

[18]Q That was the below normal 97 point we'll

[19]call it six, if you like?

[20]A Yes, correct.

[21]Q The next reading, doctor, is that 8:00

[22]A.M.

[23]A Correct.

[24]Q What is that reading?

[25]A 100.1 or 100.2, around there.

43

[2]Q That was the last temperature reading

[3]taken prior to surgery, is that correct?

[4]A I believe so, yes.

[5]Q So, is it fair to say that Mr. Furey had

[6]a fever hours prior to surgery?

[7]A He had a temperature of 100 point what

[8]did we decide that was. 100.1 or 100.2, which is

[9]very borderline.

[10]Q Now, when you look at that, doctor, in

[11]relation to the two prior readings with one below

[12]normal and one was back up, your testimony today [*39] is

[13]that would cause you no concern with whether or not

[14]to go through with this surgery?

[15]A Again if I had that information, if I had

[16]the white count that we had, if I had the patient in

[17]severe pain with severe nerve root compression, who

[18]has been on bed rest for five days, I would balance

[19]all of those factors, the nerve root injury, the more

[20]risk there is of long term damage that it's

[21]compressed, I believe I would have decided in seeing

[22]the patient go ahead with the surgery.

[23]Q Let me ask you this now.

[24]His white blood cell count you are

[25]aware it was taken?

44

[2]A Yes.

[3]Q Now it was taken on the first day of his

[4]admission, the 26th of January, correct?

[5]A I understand that.

[6]Q Are you also aware it was never taken

[7]again prior to surgery?

[8]A Yes.

[9]Q A slightly elevated count on admission

[10]was never followed up until surgery; correct?

[11]A As far as I know, that's correct.

[12]Q You as the surgeon about to perform

[13]surgery that doesn't concern you?

[14]A Again [*40] it's the totality of the whole

[15]picture looking at the patient and I think that we

[16]have to remember, as has been pointed out, that you

[17]have a nerve root under severe compression, the

[18]longer its compressed, the more risk of permanent

[19]injury.

[20]You have to balance that. You have

[21]to balance the risk of the patient staying on bed

[22]rest which has bad medical affects, with the

[23]potential risk of an infection that's not visible in

[24]the patient potentially maybe eventually possibly

[25]causing a problem, and I think it's totally

[45]

[2]reasonable to decide that this patient needed surgery

[3]at that time, based on all the information we have

[4]available.

[5]Q Doctor, based on all the information and

[6]looking at that total picture, wouldn't you want to

[7]know a recent white blood count?

[8]A Again I'm not seeing the patient. I can

[9]only answer that question, if I'm looking at the

[10]patient. I've answered the question as best I can.

[11]Q Now I'm going to add another factor into

[12]this, doctor.

[13]Not only let's say the white blood

[14]cell count is elevated, but [*41] there is a shift to the

[15]left.

[16]Now adding that to the total

[17]picture, does that change your opinion?

[18]A I was including that, as we had discussed

[19]that earlier, I was including that as well.

[20]Q Now, doctor, let's go back.

[21]You just testified just now that you

[22]would do the surgery because the longer the

[23]compression the more chance of nerve root damage,

[24]correct?

[25]A Yes.

46

[2]Q Now, doctor, is it fair to say that --

[3]withdrawn--

[4]You based a portion of your

[5]testimony on Dr. Butler's Operative Report; correct?

[6]A Correct.

[7]Q After every surgery you prepare an

[8]Operative Report?

[9]A Yes.

[10]Q I'm going to withdraw that. Let me got

[11]back to something, doctor.

[12]Today, do you as a surgeon as

[13]captain of the ship, prior to surgery, would you

[14]like to have -- is it helpful for you to have an

[15]updated white blood count performed prior to you

[16]performing surgery?

[17]A If I felt it was clinically necessary, if

[18]I had a reason to want it, then I would get it.

[19]Q Let's then assume [*42] that your patient who

[20]you are about to do surgery on has an elevated

[21]temperature, would you want to run some blood to see

[22]if anything is going on?

[23]A I think again I've been answering this

[24]question about the clinical situation. I don't think

[25]I can alter that answer.

47

[2]In this case I think the information

[3]available allowed me to feel it was appropriate to go

[4]ahead with surgery.

[5]Q You are aware that Dr. McCormick, whom

[6]you know, performed surgery in October after this?

[7]A Yes.

[8]Q And you are aware that Dr. McCormick

[9]decompressed the same nerve root that was

[10]decompressed, supposedly decompressed by Dr. Butler?

[11]A Correct.

[12]Q Your opinion is that the reason Mr. Furey

[13]had to undergo that surgery because there was a

[14]recurrent disc, is that your opinion?

[15]A Yes.

[16]Q When you say "recurrent disc" you are

[17]saying a disc fragment lodged itself against the

[18]nerve root?

[19]A Correct.

[20]Q Now, doctor, given the fact that Mr.

[21]Furey's symptoms did not change significantly, would [*43]

[22]you agree that Dr. Butler did not decompress the

[23]nerve root?

[24]A I don't think there is information that

[25]says the symptoms didn't change significantly. If

48

[2]you ask me hypothetically, I could answer it

[3]differently.

[4]Q Did you read Mr. Furey's chart?

[5]A Yes.

[6]Q And did you look at the Progress Notes

[7]from the chart?

[8]A In the hospital chart, yes.

[9]Q Did you look?

[10]A And Dr. Butler and all the consults I

[11]mentioned earlier as well, yes.

[12]Q Did you look at any other doctors

[13]reports?

[14]A I believe I looked at Spivak (phonetic).

[15]I think we mentioned Cammisa. You are testing my

[16]memory. I'll tell you.

[17]I looked at Dr. Gudesblatt's

[18]consult. I looked at Dr. Casden's consult. He is

[19]working and not taking any medication.

[20]I looked at Dr. Cammisa's consult

[21]who said he does feel his pre-op did improve

[22]postoperatively. I think those were the major ones.

[23]That tells me that the pain was

[24]better postoperatively than it was when it started,

[25]which is not consistent with the **[*44]** fragment never

49

[2]having been removed from the nerve root.

[3]Combining that with Dr. Butler's

[4]operative description of what he did, which was

[5]appropriate in my opinion as I think I stated

[6]earlier, that this is a recurrent fragment rather

[7]than a fragment that was left there at time of

[8]surgery.

[9]Q So, if Dr. Butler decompressed the nerve

[10]and the disc recurs, now disc recurrence can occur-

[11]What's your experience with a disc

[12]recurring after surgery time wise?

[13]A It can recur within a day or two, in a

[14]week, it could recur in a month or two years later.

[15]Q One reason a disc could recur in this

[16]particular case is if Dr. Butler didn't thoroughly

[17]remove all the fragments from the operative field?

[18]A That's not a recurrence. If there is a

[19]fragment in the operative field meaning against --

[20]I'll stop answering it.

[21]You will have to define what you

[22]mean. I shouldn't have started to answer it.

[23]What do you mean by "in the

[24]operative field"?

[25]Q Let's say the disc space?

50

[2]A [*45] There are always going to be fragments in

[3]the disc space and you can't get them all out. Some

[4]people feel it's appropriate to never enter that

[5]space. There have been articles recently, within the

[6]last six months, describing just removing fragments

[7]out of the disc space, than chasing into the disc

[8]space. There are always fragments in the disc

[9]space. There are fragments today.

[10]Q Your job as a neurosurgeon on this

[11]surgery is to remove any material that you can to

[12]prevent compression on the nerve root; correct?

[13]A I have to answer it again by saying that

[14]when one does the operation standards of care

[15]literature supports either just removing the fragment

[16]that's currently actively pressing on the nerve root

[17]or entering the disc space and removing any other

[18]fragments that you can retrieve.

[19]When you enter a disc space you are

[20]doing it blindly, you are reaching in with an

[21]instrument, you are moving it forward and moving it

[22]to sideways, and you are trying to retrieve whatever

[23]will come out. There is no way, even with the

[24]microscope, you can look into the disc space and say

[25] **[*46]** there are no more fragments that might come out in

[51]

[2]five minutes or an hour or three days. You are

[3]obligated to be sure that the nerve root is

[4]decompressed when you are done.

[5]If you choose to enter the disc

[6]space you're obligated to remove what you can

[7]retrieve, but there is no way that you can be sure

[8]that another fragment is not just sitting there and

[9]ready to pop out.

[10]Q Dr. Butler testified his custom and

[11]practice was to go in, into the disc space, pull any

[12]material that could come out and then when he's

[13]satisfied all the material is out that's when he's

[14]finished with the disc space. You are aware of that?

[15]A Yes.

[16]Q Now, doctor, is there any other area

[17]that a disc fragment can be, other than the disc

[18]space or compressing on the nerve?

[19]A It can be herniated very far toward the

[20]middle, away from your field. It could be herniated

[21]very, very far laterally which is out of your field.

[22]Q Which this was a lateral herniation?

[23]A Yes.

[24]Q It was far lateral?

[25]A It was a far lateral component. [*47] This was
i

[52]

[2]a lateral disc herniation.

[3]Q A lateral disc herniation.

[4]You could have a disc fragment

[5]that's not compressing on the nerve and not in the

[6]disc space that is not taken out?

[7]A Correct.

[8]Q The longer that that -- in assuming that

[9]that fragment that is left in eventually decompresses

[10]--

[11]MR. DEMARIA: Objection.

[12]THE COURT: Overruled.

[13]Q (Cont'g) eventually compresses the

[14]nerve, the longer the compression, the more chance

[15]of permanent damage to the nerve?

[16]A I don't understand the statement.

[17]Q Let's assume a surgeon goes in, surgeon

[18]does a removal of the fragments, not the disc space

[19]but from an area not disc space and not compressing

[20]on the nerve, okay, that disc, that fragment then

[21]compresses after the surgery is over, shifts, moves,

[22]migrates and now compresses the nerve root. Okay?

[23]A Yes.

[24]Q The longer that compression, the longer

[25]the chance, the higher the risk of permanent damage

[2]to the nerve?

[3]A [*48] I would have to answer it the same way as

[4]I did before, yes.

[5]Q Now you are aware that Dr. McCormick in

[6]1997 used a microscope during the surgery?

[7]A Yes.

[8]Q Is it your testimony that at Columbia

[9]Presbyterian back in '97 that wasn't the standard of

[10]general practice?

[11]A I don't think I could answer that

[12]question with any personal knowledge.

[13]Q You had testified to an opinion with

[14]respect to prophylactic antibiotics?

[15]A Yes.

[16]Q You indicated that there are important

[17]factors in determining whether or not to administer

[18]antibiotics prophylactically?

[19]A There are factors. I'm not sure what

[20]important means.

[21]Q One of the things you had indicated were

[22]the circumstances and/or the condition of the

[23]patient?

[24]A The local wound circumstances, and the

[25]condition of the patient, and the length of the

[2]surgery I think were the major ones.

[3]Q Doctor, would you agree with me that if a

[4]patient is bedridden, and the patient hasn't been up

[5]and walking around, and the patient [*49] has been in the

[6]hospital for a week, that that patient is more

[7]susceptible to infection, than a patient who would

[8]come in the day of the surgery, take the surgery, and

[9]be done, would that be fair to say?

[10]A I don't think I'm qualified to answer

[11]that question. I cannot agree or disagree. I don't

[12]think I can answer.

[13]Q Is it because of the way I asked it?

[14]A I don't think I have the knowledge to say

[15]that that's the case.

[16]Q Fair enough.

[17]You also gave an opinion with

[18]respect to Dr. Butler not performing an incision and

[19]drainage when Mr. Furey had developed the wound

[20]infection, right?

[21]A Yes, I did.

[22]Q Are you aware that prior to Mr. Furey

[23]being readmitted for the staph infection, that the

[24]wound had been oozing and there had been pus like

[25]discharge?

55

[2]A That was observed by his wife, I believe,

[3]the day of or the day before surgery, yes.

[4]Q Would your opinion as to whether or not

[5]incision and drainage was indicated be based at all

[6]on the duration of oozing symptoms from the wound? [*50]

[7]A That would be one factor but not the only

[8]factor.

[9]Q The reason to do an incision and

[10]drainage, one of the reasons, would you agree, is to

[11]avoid having prolonged antibiotic treatment?

[12]A No.

[13]Q Is it fair to say when you do an incision

[14]and drainage successfully that you would be able to

[15]take antibiotic treatment that didn't involve

[16]intravenous?

[17]A No, I disagree with that.

[18]Q What about, doctor, when you perform

[19]these surgeries, do you use, when you are finished

[20]with the surgery, do you use, do you irrigate the

[21]area with antibiotic solution?

[22]A Usually, yes. I'm sorry 1997, no.

[23]Q You didn't do it in '97?

[24]A I wasn't doing that in 1997, no. We

[25]weren't routinely using antibiotic in a clean wound,

[56]

[2]in 1997.

[3]Q Doctor, if I told you that Dr. McCormick

[4]quote " the wound was then copiously irrigated with

[5]an antibiotic solution" when he performed his

[6]surgery, would that change your opinion?

[7]A No. People did it and I do it all the

[8]time now. I don't [*51] believe in 1997 I was doing it.

[9]Q Is that based on your memory?

[10]A Yes.

[11]Q You don't have any?

[12]A I can't give you an exact date but the

[13]point I'm making again, even today there is not a

[14]standard that requires it. I don't disagree with Dr.

[15]McCormick doing it. I do it. There is not a

[16]standard that you must irrigate with an antibiotic

[17]solution. When you do irrigation with saline to wash

[18]out any debris that may be in the wound is still felt

[19]to be adequate. I happened to use antibiotic

[20]solution. I have no idea if it makes any difference.

[21]Q If you use it now, you think it's better

[22]practice?

[23]A I don't think it's bad practice. I don't

[24]think it's wrong not to in a clean wound. We're

[25]differentiating between a wound that has been opened,

57

[2]a wound that has hardware in it like a fusion when

[3]you have a long operation because you are doing a

[4]fusion where you are concerned about infection.

[5]In a short operation, I doubt that

[6]there is any significant difference in irrigating

[7]with saline or antibiotic solution **[*52]** at the end of the

[8]case in terms of the outcome.

[9]Q Dr. McCormick's surgery was the same

[10]amount of time as Dr. Butler's surgery?

[11]A Yes.

[12]Q From reading of Dr. Butler's Operative

[13]Report, did Dr. Butler use any antibiotic solution

[14]prior to closing Mr. Furey?

[15]A I don't believe he did, not that I

[16]recall.

[17]Q Now, doctor, over your years of

[18]performing surgeries, you prepare Operative Reports?

[19]A Yes.

[20]Q You prepared the Operative Report

[21]immediately after the surgery, right?

[22]A I try to, yes.

[23]Q The reason you do that, it's very

[24]important, right, to do that?

[25]A Yes.

58

[2]Q It's important because it's important for

[3]the continuity of the care of the patient, right?

[4]A I suppose you can put it that way. I

[5]wouldn't disagree with that.

[6]Q When you perform surgery, it's very

[7]important to know, it's crucial to the patient's

[8]health in some instances to note exactly what you did

[9]and exactly what you observed, correct?

[10]A Yes.

[11]Q And-- **[*53]**

[12]A It may be, yes. It may be crucial and

[13]may not make any difference.

[14]Q You don't know?

[15]A Right.

[16]Q You don't know what's going to happen to

[17]this patient after surgery and you don't know what's

[18]going to happen to you after surgery, knock on wood.

[19]I don't want anything to happen. God forbid

[20]something happened to you after the surgery, okay,

[21]you didn't dictate an Operative Report, something

[22]happens to you, no one will no what the findings

[23]were, what took place at the surgery, correct?

[24]A For the most part, yes.

[25]Q Any other treating doctor who may treat

59

[2]the patient down the road for the rest his life would

[3]have to know exactly what was found, it could

[4]potentially be important, right, down the road?

[5]A Correct.

[6]Q That's why you do the Operative Report as

[7]close to the surgery as you can, right?

[8]A Yes.

[9]Q And today you dictate those Operative

[10]Reports right after the surgery, right?

[11]A I always try to if I have the time and if

[12]I remember and there is no [*54] other extenuating factor

[13]like an emergency or something.

[14]Q Barring any emergency, if you performed

[15]the surgery, and you finish it, you tell me, what

[16]reason would you have not to do it right then and

[17]there?

[18]A None.

[19]Q Now, back in 1997, was the same thing?

[20]A Same answers, yes.

[21]Q Back in 1997 you dictated Operative

[22]Reports?

[23]A Yes.

[24]Q Now the Operative Report is also

[25]important because everybody's anatomy is different,

60

[2]right, you just told us about?

[3]A To a certain degree.

[4]Q You go into someone's spine, everyone's

[5]spine will be different, they may have stenosis?

[6]A Yes.

[7]Q Or narrowing of their canal to a certain

[8]degree?

[9]A Yes.

[10]Q I may have great narrowing and you may

[11]not have as much?

[12]A Yes.

[13]Q Okay?

[14]A Yes.

[15]Q Fair enough.

[16]There may be other things with

[17]respect to the anatomy that would be very important

[18]to note. No one is the same, you would agree with

[19]that?

[20]A Yes. **[*55]**

[21]Q Even the people have different ligamentum

[22]flavum?

[23]A Yes.

[24]Q People have different size foramen?

[25]A Yes.

[61]

[2]Q You are aware here that Dr. Butler

[3]dictated this report months later?

[4]A Two months. I don't remember the exact

[5]date.

[6]Q The exact date, according to the record,

[7]is March 15.

[8]Knowing that, would--

[9]You have already told us that you

[10]based the majority of your opinions on what you read

[11]in Dr. Butler's Operative Report.

[12]MR. DEMARIA: I didn't hear "majority

[13]of opinion".

[14]Q I'll withdraw "majority".

[15]We went through the opinions. Is

[16]your opinion at all based on the fact Dr. Butler in

[17]his Operative Report stated that he removed all the

[18]fragments?

[19]A The major concern from the Operative

[20]Report is the statement that the nerve root was free

[21]and movable. I think he said as normal or something

[22]like that.

[23]Q That was the main-- that is the main

i

[24]portion of his Operative Report that you are relying

[25]on?

62 [*56]

[2]A I think that's the most important goal of

[3]the operation and that statement is appropriate,

[4]yes.

[5]MR. ODDO: I have nothing further.

[6]Thank you, Your Honor.

[7]THE COURT: Redirect?

[8]REDIRECT EXAMINATION

[9]BY MR. DEMARIA:

[10]Q A few questions, doctor.

[11]What is a re-operation?

[12]A Going back to do the same thing that you

[13]have done before.

[14]Q Was the surgery that was performed by Dr.

[15]McCormick on October 7, 1997, was that a

[16]re-operation?

[17]A Yes.

[18]Q And would a microscope be customarily

[19]used during performance of re-operation?

[20]A It's more likely that one, more likely

[21]that I might use a microscope in a re-operation.

[22]Q Why?

[23]A Because you would anticipate scar tissue

[24]there.

[25]Q Why does the presence of scar tissue --

63

[2]withdrawn--

[3]Does the presence of scar tissue at

[4]a re-operation affect the ability of the surgeon to

[5]visualize certain structures?

[6]A It does because once you have had

[7]surgery, normal anatomy, normal landmarks you know to

[8] [*57] look for as a surgeon aren't there and your goal is

[9]to find normal anatomy and occasionally it requires

[10]more magnification. If I'm doing a re-operation and

[11]I just don't feel comfortable, I'll bring in the

[12]microscope. It's sitting in the room and I can roll

[13]it in.

[14]Q Now you told Mr. Oddo that elevated

[15]temperatures may be related to infection and that

[16]would be a concern of yours as a surgeon. I would

[17]look at other pieces of information to determine

[18]whether the temperature elevations were significant.

[19]What other pieces of information?

[20]A The patient, the patient's condition,

[21]whether there was any evidence on the chest x-ray of

[22]infection, which there wasn't, whether there was any

[23]other issue that would grab my attention.

[24]Q Would that information that you would be

[25]interested in determining, would that be information

64

[2]that would be noted and recorded by nurses and other

[3]doctors in the Progress Notes?

[4]A Among other things, yes.

[5]Q If Dr. Butler testified that he reviewed

[6]the Progress Notes in the hospital **[*58]** chart from

[7]admission to the time he saw Mr. Furey at the time of

[8]surgery, do you have an opinion whether that would

[9]provide him, would have provided him with the

[10]information he needed to determine whether or not the

[11]temperature elevations were significant or not?

[12]A I think it would have been an important

[13]components.

[14]Q Would you have approached whether the

[15]temperature elevation were significant or not in the

[16]same fashion?

[17]A Yes.

[18]Q Do you, as the surgeon, rely on the

[19]observations and monitoring of the patient done by

[20]others, when the patient is on the floor before come

[21]to the Operating Room?

[22]A Yes.

[23]Q Now on questioning you about the white

[24]blood count, you indicated, if I had a reason to get

[25]it, I would want to repeat the white blood count in

? 0

65

[2]this case, the information available would lead me to

[3]conclude it was appropriate to go forward with the

[4]surgery.

[5]What information available?

[6]A What we've been talking in all the

[7]questions concerning the area. The clinical

[8]information, **[*59]** the chart information, the patient, all

[9]of that, and also the need for the surgery.

[10]Q Now you indicated that Mr. Furey's left

[11]leg pain got better postoperatively.

[12]That was not consistent with a

[13]fragment having not been removed along with wording

[14]in the Operative Report; correct?

[15]A Yes.

[16]Q What wording of the Operative Report?

[17]A There was specific mention by Dr. Butler

[18]that when he was done he was able to move the nerve

[19]root freely, I think the terminology was as normal,

[20]there was no more compression in the operative field

[21]of the nerve root.

[22]Q Doctor, in determining whether or not

[23]the goal of surgery had been accomplished, what

[24]significance, if any, was there about the straight

[25]leg raising examination that was performed on

66

[2]February 13?

[3]A Straight leg raising had been positive

[4]for leg pain at fifteen degrees on February 13. It

[5]elicited back pain but no complaints of leg pain.

[6]The back pain certainly was related to straight leg

[7]raising being positive but positive for back pain.

[8]In this [*60] case with infection more than likely related

[9]to that.

[10]The lack of positivity for the

[11]radiation of the pain down the leg is a good

[12]indicator there was no more compression of the nerve

[13]root by a disc fragment.

[14]Q You also indicated, in reference to the

[15]incision and drainage, that the length of the oozing

[16]was a factor in determining whether to perform an

[17]incision and drainage.

[18]What are the other factors?

[19]A What it looks like and how it responds to

[20]the antibiotics.

[21]Q Based upon your review of the charts and

[22]the entries made by Dr. Seymour, would you

[23]characterize for the jury how the wound progressed

[24]during the course of the admission?

[25]A It responded very rapidly to the

w

[67]

[2]administration of antibiotics.

[3]Q To perform an incision and drainage under

[4]those--

[5]A It's not an indication to perform that.

[6]Q What antibiotic treatment would Mr. Furey

[7]have received, if an incision and drainage had been

[8]performed?

[9]A Exactly the same antibiotic treatment he

[10]had without having [*61] it performed.

[11]Q Why would that be?

[12]A You still have to deal with the infection

[13]and somewhere between two and four weeks of the

[14]combination of intravenous intramuscular and oral

[15]antibiotics is indicated whether he had an incision

[16]and drainage or whether it was treated without the

[17]incision and drainage.

[18]Q If you were to look at an Operative

[19]Report dictated by you from 1997 and if you had

[20]dictated that the nerve root was freely mobil, would

[21]you be able to testify in 2006 that the goal of that

[22]surgery was accomplished?

[23]A Yes, I would be able to.

[24]Q Why would you be able to do that?

[25]A If you asked me about a patient, Charlie

68

[2]Smith, I operated on in 1994, I couldn't remember the

[3]patient, couldn't remember. I could with an absolute

[4]degree of certainty state that in the Operative

[5]Report would be an indication the nerve root no

[6]longer was compressed when I completed the surgery

[7]because every operation I do on a disc to decompress

[8]a nerve root ends when I have achieved that.

[9]I can state with **[*62]** certainty on any

[10]disc operation I've done, that at the end of the

[11]operation that was the status. Whether I could

[12]remember the operation, the patient, or anything, I

[13]know that that's true.

[14]Q Did you have any reason to believe that

[15]the wording by Dr. Butler in his Operative Report was

[16]any less worthy of belief?

[17]A No, I would not.

[18]MR. DEMARIA: Nothing further.

[19]RECORD EXAMINATION

[20]BY MR. ODDO:

[21]Q Doctor I'm trying to understand your last

[22]answer.

[23]MR. DEMARIA: Objection, Your Honor.

[24]THE COURT: Continue.

[25]Q It was your testimony that it wasn't your

69

[2]testimony you could remember everything you did

[3]during the surgery?

[4]A Correct.

[5]Q But it's your testimony that if you did

[6]the surgery properly, you would be able to tell what

[7]the results were?

[8]A If I did the surgery the nerve root would

[9]be decompressed. You don't need the word "properly".

[10]MR. ODDO: Thank you.

[11]Nothing further, Your Honor.

[12]MR. DEMARIA: No further questions.

[13]THE COURT: You may step down.

[14]We've heard all **[*63]** the testimony we're

[15]to hear today. It's unexpected as to how

[16]long the questioning may take. It went

[17]relatively quickly, more so than we

[18]thought.

[19]We have a witness for tomorrow

[20]morning at 9:30. Please return tomorrow

[21]at 9:30 to hear the final witness of this

[22]case.

[23]Do not discuss the testimony you

[24]heard so far.

[25]Do not form any opinions. There is

S

70

[2]still testimony to be heard. You have to

[3]hear the Summations and the Charge to you

[4]on the law from the Court.

[5]Please be back here at 9:30 tomorrow

[6]morning.

[7]Thank you very much.

[8](Whereupon, at this time, the jury

[9]was excused for the evening.)

[10]

[11]

[12]

[13]

[14]

[15]

[16]

[17]

[18]

[19]

[20]

[21]

[22]

[23]

[24]

[25]

[0]

End of Document