

1 SUPREME COURT OF THE STATE OF NEW YORK

2 COUNTY OF KINGS : CIVIL TERM : PART 33

3 - - - - - -X

4 JUAN CARLOS RIVERO VIVANCO : INDEX NO.:
517138/2017

5 PLAINTIFF :

6 - against - :

7 ZNKO CONSTRUCTION, INC., G & C CRANE :
SERVICE, LLC., and SULLIVAN HEIGHTS, :
8 LLC :

9 DEFENDANTS : TRIAL

10 - - - - - -X

11 360 ADAMS STREET
12 BROOKLYN, NEW YORK 11201
MAY 5, 2025

13
14 BEFORE: HONORABLE DESMOND GREEN,
JUSTICE, AND A JURY

15

16 APPEARANCES:

17 HACH & ROSE
Attorneys for Plaintiff
18 112 Madison Avenue, 10th Floor
New York, New York 10016
19 BY: MICHAEL A. ROSE, ESQ.
COREY MORGENSTERN, ESQ.

20

21 TRAUB LIEBERMAN STRAUS & SHREWSBERRY, LLP.
Attorney for Defendant
22 27 Siemon Company Drive, Suite 102W
Watertown, Connecticut 06795
23 BY: DENIS M. FARRELL, ESQ.

24

25 JEANMARIE EPISCOPIA
SENIOR COURT REPORTER

1 THE COURT: Case on trial. Same appearances
2 as noted.

3 Okay, counsels, let's go through all of them
4 now.

5 17 is stipulated into evidence. 18 was
6 stipulated into evidence. 19 ID only. 20?

7 MR. FARRELL: ID only.

8 MR. ROSE: Those are the two that are not
9 agreed to.

10 THE COURT: 20 ID only. 21 stipulated into
11 evidence.

12 MR. FARRELL: Yes.

13 THE COURT: We have one more, 22.

14 MR. FARRELL: Stipulated. And there is a 23,
15 stipulated.

16 THE COURT: And 23 stipulated into evidence.

17 Let's deal with my Sunday afternoon motion.

18 MR. MORGENSTERN: Good morning, your Honor.
19 Your Honor we bring a motion for a curative instruction
20 to the jury and to strike any and all testimony
21 regarding any mention of health insurance regarding the
22 plaintiff, his wife. Anything that was on the record.
23 It primarily starts on page 197 of the testimony and
24 kind of goes all the way through to 201.

25 As you know, there was testimony about what

1 kind of insurance the plaintiff may or may not have.
2 What he may or may not have been offered to him. And
3 whether he could have opted into those plans. We cite
4 to cases in our brief, your Honor, which show this is
5 just legal issues, which shows that health insurance
6 should never been presented to the jury.

7 As you see in Re V. New York, this First
8 Department case they stated, we now find that the trial
9 Court properly exercised its discretion in precluding
10 inquiry into plaintiff's medical insurance coverage. As
11 its admission in this negligence action would have
12 clearly been improper.

13 Now, on the other issue where we cite as to
14 Peters, which is a Second Department case, they mention
15 the repeated references by the plaintiff and their turn
16 to the nature of plaintiff's injuries and her lack of
17 medical insurance at that time could have influenced the
18 jury to be more sympathetic. In the exact opposite is
19 true as well, it is extremely prejudicial for this
20 testimony to be before the jury.

21 So much so, your Honor, that PJI 2:301 there
22 is entire PJI section on collateral source hearings.
23 This is strictly a collateral source issue and should
24 not be before the jury. We put our request to a
25 curative instruction in the brief, your Honor.

1 Now, lastly, I expect that counsel is going to
2 say he hasn't had time to oppose the brief. However,
3 this is just strictly a collateral source issue and the
4 worry is all there is going to be testimony today from
5 Dr. Katzman and we believe there is extreme prejudice to
6 have him testify without that curative instruction being
7 given before the jury. Thank you, your Honor.

8 THE COURT: Okay here's the issue that I have
9 with your argument. It is a sound one legally, it
10 really is. I am just surprised that it is coming now
11 and it didn't come when it was coming out. I was
12 surprised.

13 I, for the most part, consider myself to be a
14 non-interventionist Judge. I let lawyers try their
15 case. So I was sitting here and it is like when you
16 hear insurance the antennas go up, but I just sat here.
17 But I don't believe, if I am mistaken, please let me
18 know, I don't believe there was an objection.

19 MR. ROSE: Your Honor, if we look at --

20 THE COURT: No, I am asking you was there an
21 objection? If there was then it went right by me.

22 MR. MORGENSTERN: Yes, I have on page 198 Mr.
23 Rose said, "may we approach? Objection. your Honor".

24 The question before that was, "if you can
25 explain to me how it is that you have health insurance

1 benefits?" That started the whole discussion outside of
2 the presence of the jury, your Honor. Bottom of the
3 page 198 to line 21, Mr. Rose, "My only concern is that
4 there is something here that can be said that could
5 prejudice the jury that wouldn't be admissible. I would
6 suggest an offer of proof outside the presence of the
7 jury, your Honor".

8 We believe this is very properly objected to
9 and was mentioned when the testimony went forward and it
10 went deeper and deeper into the health insurance.

11 THE COURT: Mr. Farrell?

12 MR. FARRELL: A few things. One, I would like
13 to address for the record the motion on papers and just
14 receiving it yesterday on Sunday, I haven't had the
15 chance to, but I do think there is two issues. One that
16 it was -- although there was an objection stated, we
17 then discussed at length the general line of questions
18 wasn't stopped. Line of questioning, I should say.

19 In fact, I think plaintiff's counsel was so
20 happy with the answer at the end when he got to the, I
21 forget the name it, of the health insurance facility or
22 whatever that he is testifying to. But although it was
23 an objection so that we could go up and discuss it, I
24 don't believe this was objected to to the point where
25 anyone mentioned that this whole line of questioning

1 should be completely taken out of play.

2 Also, I think to some degree or large degree
3 the plaintiff himself opened the door to it because
4 right before that whole line of questioning during his
5 direct examination he was being questioned and
6 testifying all about his anxiety, about being able to
7 pay his bill, et cetera et cetera, which is exactly what
8 that whole issue goes to.

9 THE COURT: Well, being able to pay the bills
10 is different because I took that that was because he was
11 unable to work.

12 My question is now with regards to the issue
13 involving insurance, because I think we all know that is
14 a no no.

15 MR. FARRELL: Right, but --

16 THE COURT: The insurance thing though.

17 MR. FARRELL: I don't believe it was
18 sufficiently objected to contemporaneously. The desire
19 to have discussion was raised, but I don't think there
20 was ever anything on the record stating specifically
21 none of this can be even questioned about.

22 If I remember correctly, I don't have the
23 transcript in front of me from when we were talking at
24 sidebar, but it was basically I was directed to clarify
25 it, which has to mean additional questioning.

1 MR. MORGENSTERN: If I may, your Honor. This
2 is -- I have the transcript right in front of me, your
3 Honor. This again is page 198 where counsel says, "if
4 you can explain to me how it is that you have health
5 insurance benefits"? That is the question immediately
6 that ends at line 2. Line 3 is Mr. Rose, "May we
7 approach? Objection, your Honor". That was
8 contemporaneously the next line. Mr. Rose objects to
9 this and then what I read later on Page 21, Mr. Rose
10 goes into exactly my objection.

11 THE COURT: Line 21.

12 MR. MORGENSTERN: Line 21 of 198 where it
13 says, "my only concern is that there is something here
14 that could be said that could prejudice the jury that
15 wouldn't be admissible". That is exactly the issue here
16 because the worry is something wouldn't be admissible
17 because the question was, "If you can explain to me how
18 it is that you have health insurance benefits"?

19 MR. FARRELL: I understand that, but then I
20 believe after that we had a discussion and basically the
21 direction that I was given was to clarify, ask further
22 questions to clarify. I wasn't told, no questions
23 whatsoever on this topic. I was told to clarify it
24 through additional questions, which is what happened.

25 THE COURT: Okay, all right, I am going to

1 give the curative instruction.

2 MR. FARRELL: Okay, your Honor.

3 MR. MORGENSTERN: Thank you, Judge.

4 MR. ROSE: If I can do one other thing real
5 quick if we are waiting?

6 THE COURT: Yes, sir.

7 MR. ROSE: Thank you. We marked as a Court
8 exhibit today, I believe it is Court Exhibit Roman
9 numeral I, a letter form the lawfirm Hurwitz and Fine
10 dated April 28, 2025. I am not going to read the entire
11 letter into the record, however, in sum and substance
12 this letter comes on behalf of the primary insurance
13 carrier and what they are doing in this letter is
14 unconditionally tendering their insurance limits, which
15 are the remainder of a \$1 million dollar policy. So
16 they unconditionally tender \$632,500 and they also state
17 that they calculate pre-judgment -- they calculate
18 interest in the amount of \$120,556.23, which is based on
19 the granting of the summary judgment liability finding.

20 The total amount of the tender at that point
21 in time, which again they say is unconditional, is
22 \$753,056.23. The reason, your Honor, that we are making
23 this a part of the Court record is because we are once
24 again reiterating our position that the excess insurance
25 carrier who has had insurance adjustors in this

1 courtroom. Has had lawyers, a lawyer in this courtroom
2 since day one of the trial and to this date there has
3 never been a good faith effort to protect their insured.
4 Thank you.

5 THE COURT: When you say "good faith", what
6 does that mean? I hear that often times from lawyers
7 who don't get what they want and then they say the other
8 side is not acting in good faith.

9 MR. ROSE: Well, your Honor, I do think that
10 this is something that will play out later on in the
11 event that there is a jury verdict where there could be
12 additional litigation and discovery as far as whether or
13 not the disclaimer in this circumstance is appropriate.

14 THE COURT: Well, that is for a different day.

15 MR. ROSE: Exactly my point.

16 THE COURT: We are waiting for one juror, then
17 we will proceed.

18 Counsels, I have been going over the
19 transcript and I see where Mr. Morgenstern was talking
20 about. However, at no point, Mr. Rose, was there a
21 mention of insurance. I am looking at the transcript.
22 Mr. Morgenstern, where is it mentioned that we talked
23 about insurance out of the presence of the jury.

24 MR. MORGENSTERN: Well, it says on line 21,
25 "my only concern is that there is something here that

1 could be said that could prejudice the jury that
2 wouldn't be admissible. Objection". Offer of proof
3 outside the presence the jury, your Honor.

4 THE COURT: But that is so vague. Why didn't
5 you just came out and say "insurance"? That was never
6 said.

7 MR. MORGENSTERN: Your Honor, the initial
8 objection is at line three right after the exact -- 198,
9 one line after. "If you can explain to me how it is
10 that you have health insurance benefits"? That was
11 right after the question of, "how it is that you have
12 health insurance benefits?", which was directly going to
13 that issue.

14 THE COURT: There is also a lot of discussion
15 with regards to Workers' Compensation.

16 MR. MORGENSTERN: Workers' Comp is admissible
17 your Honor. That is not a collateral source. Where
18 here the health insurance, with which Mr. Rose objected
19 right away, is a collateral source and is absolutely
20 inadmissible.

21 MR. FARRELL: Your Honor, there were a number
22 of questions about health insurance at his new company
23 before the objection to that at Page 197. There is
24 number of questions about it before that.

25 THE COURT: My concern is, by Mr. Rose, "My

1 only concern is that there is something here that could
2 be said that could prejudice the jury that wouldn't be
3 admissible. I would suggest an offer of proof". To be
4 quite frank, Mr. Rose, that wasn't clear to me. All
5 right.

6 (Whereupon, a recess was taken.)

7 THE COURT: We are back on the record. It is
8 now 10:45 a.m. the jurors were all scheduled to be here
9 at 9:30. We have been waiting for one juror now, for,
10 it has to be at least a half hour. I know Mr. Rose your
11 position is that we should get going because you have an
12 expert that you need to finish today.

13 MR. ROSE: Yes, your Honor.

14 THE COURT: Mr. Farrell, your position?

15 MR. FARRELL: I am in agreement. If we are
16 going to let the alternate go we have four alternates.
17 I think we need to get moving.

18 THE COURT: Okay, tell Mary to line them up.

19 COURT OFFICER: All rise. jury entering.

20 (Whereupon, the jury enters the courtroom and
21 is seated in the jury box.)

22 THE COURT: Good morning. Welcome back. Do
23 Both sides stipulate that all jurors with the exception
24 of our second alternate are present and properly seated?

25 MR. ROSE: Yes, your Honor.

1 MR. FARRELL: Yes, your Honor.

2 THE COURT: Do both sides stipulate to going
3 forward as the jury is now constituted?

4 MR. ROSE: Yes, your Honor.

5 MR. FARRELL: Yes.

6 THE COURT: Please be seated.

7 Okay, Mr. Rose?

8 One thing before we go there, we are going to
9 have to unring the bell. You may have heard some
10 testimony regarding health insurance, you are to
11 disregard that testimony entirely and it is stricken
12 from the record. At the conclusion of the evidence in
13 this case you will be asked to decide the amount of
14 medical costs that the plaintiff will incur as a result
15 of the accident. You are not to consider health
16 insurance, okay?

17 We are now ready to proceed.

18 MR. ROSE: Thank you, your Honor. Plaintiff
19 calls Dr. Barry Michael Katzaman.

20 D R. B A R R Y K A T Z M A N, having been called as a
21 witness by and on behalf of the Plaintiff, having first been
22 duly sworn, was examined and testified as follows:

23 COURT CLERK: In a loud, clear voice, state
24 your name and give your business address, spelling your
25 name.

1 THE WITNESS: Barry Katzman, 261 Jericho
2 Turnpike, Floral Park, New York 10001.

3 COURT CLERK: Spell your name for the record.

4 THE WITNESS: B-A-R-R-Y K-A-T-Z-M-A-N.

5 COURT CLERK: Thank you.

6 THE COURT: You may proceed.

7 MR. ROSE: Thank you, your Honor.

8 DIRECT EXAMINATION

9 BY MR. ROSE:

10 Q. Good morning, Dr. Katzman.

11 A. Good morning.

12 Q. Let's start with your education and training, if
13 you could, please?

14 Where did you go to high school?

15 A. I went to Bronx High School of Science from there I
16 went to New York University on a scholarship.

17 Q. That was undergrad?

18 A. Undergrad. I was accepting early decision to NYU
19 Medical School and did residency in orthopedic surgery in
20 Brooklyn Downstate Medical Center and then I did fellowship
21 in Hand and Upper Extremity Surgery at the University of
22 Pennsylvania.

23 Q. What is a fellowship?

24 A. I did specialty training. So if you do four years
25 of medical school you learn all about medicine. Then we do

1 five years of residency where we learn about orthopedics and
2 then I sub-specialized in hand and upper extremity surgery.

3 Q. Thank you. Have you been affiliated with any
4 hospitals?

5 A. Yes, I am on staff currently now at North Shore,
6 Long Island Jewish Hospital, Mount Sinai Hospital and
7 Trinity Hospital in South Nassau.

8 Q. Have you received any honors?

9 A. A myriad. I don't remember all the ones in
10 college, but.

11 Q. If you could just be brief. Thank you.

12 A. Honors chemistry. I was Phi Beta Kappa as a
13 junior. I don't remember all college honors.

14 In terms of my professional career, I have been an
15 educator, so was the head of Franklin Hospital teaching
16 residents about hands surgery. And emergency room residents
17 from Long Island Jewish come to my office and I still have
18 students come through my office to learn.

19 Q. Great, okay. Do you hold professional licenses?

20 A. I am a doctor.

21 Q. Okay. Board Certified?

22 A. Yes, Board Certified.

23 Q. Publications, I know there is has been quiet a few.
24 If you could just give the jury an idea of some of your
25 publications?

1 A. Sure. So I was the Guest Editor for The Atlas of
2 Hand Clinics on Carpal Tunnel Surgery. So I had to do
3 Carpal tunnel surgery. I was guest editor for The Atlas of
4 Hand Clinics for rheumatoid wrist. Relative to knees, I
5 fixed I longest quadriceps tendon at that time and wrote a
6 new way to do it.

7 I published a lot of articles, some articles on
8 trauma. A lot of articles on hands surgery. I've written
9 chapters in books like Masses of the Hand and Upper
10 Extremity. Fingertip and nail bed injuries.

11 I wrote a paper on Mallet Finger that was published
12 by the Yearbook of Hand Surgery.

13 I can keep going.

14 Q. Have you made presentations as well, Doctor?

15 A. Yes, also a lot.

16 Q. Okay.

17 A. I have done Poster Presentations at Long Island
18 Jewish. I have done Poster Presentations at the orthopedic
19 Academy. I have presented to residents.

20 Q. Okay, you also lecture; is that correct?

21 A. Not as much anymore, but in the past.

22 Q. Okay.

23 MR. ROSE: Your Honor, I would ask this Court
24 to recognize Dr. Katzman as an expert in the field of
25 orthopedics.

1 MR. FARRELL: No objection.

2 THE COURT: Okay, so recognized.

3 MR. ROSE: Thank you.

4 Q. Now, do you maintain a private practice, Doctor?

5 A. Yes.

6 Q. Do you treat patients at your private practice?

7 A. Yes.

8 Q. Okay. Are you being compensated for your time away
9 from your practice today?

10 A. Yes.

11 Q. Okay, great. How long have you maintained the
12 private practice?

13 A. So I finished my fellowship in 1998. So I have
14 been in practice for about 26 years. Almost 27 years now.

15 Q. Great. Thank you. I would like to speak about the
16 anatomy of the knee just to start. Would a model of the
17 anatomy of the knee be helpful to you in explaining this to
18 the jury?

19 A. Yes.

20 MR. ROSE: Okay, your Honor, with the Court's
21 permission I would ask that Dr. Katzman be permitted to
22 come down off the stand in order to speak with the jury?

23 THE COURT: Yes, sir.

24 MR. ROSE: Okay. Thank you.

25 THE COURT: What I can say to our jurors there

1 is currently a state wide court modernization project.
2 As you can tell they haven't reached us yet. At least
3 in this courtroom.

4 MR. ROSE: All I am saying if the doctor is
5 comfortable, we can improvise, your Honor. This is in
6 evidence.

7 THE WITNESS: While we are waiting for that, I
8 will talk to you a little about the anatomy of the knee
9 and then I will get into the specifics.

10 MR. ROSE: Your Honor, may I hand Plaintiff's
11 Exhibit 21 to the doctor, please.

12 THE COURT: Yes.

13 Q. Please explain the anatomy of the knee, Doctor?

14 A. So when you think about any joint and anatomy,
15 think about a few things. The muscles that power the joint,
16 the bones of the joint, the ligaments that keep it stable
17 and then the other stuff on the inside. And also the
18 cartilage.

19 So when you think about the knee, the knee is a
20 hinged joint, meaning it can just go in one plane. Like our
21 shoulders, will we talk about, go in a circle. Your knee
22 can't go in a circle.

23 So we have essentially three bones, really four,
24 but three main bones for the knee that we like to think
25 about. One is the femur or the thigh bone. The other is

1 the tibia or the shin bones. So that would be here. The
2 femur would be here. Can everyone see? And then the third
3 is the patella or knee cap. So that is the front of the
4 knee (indicating).

5 So getting back to the picture, you can see there
6 is a thigh bone, a shin bone and a knee cap bone. If we
7 take away the thigh bone and look down at the shin bone we
8 can see the meniscus. Most people have heard of the
9 meniscus. It is a shock absorber on the knee.

10 This is the ACL, the anterior cruciate ligament.
11 You hear a lot of sports and athletes tear that. The
12 posterior cruciate ligament. That is not as commonly
13 injured.

14 So we have shock absorbers, we have bone, we have
15 ligaments on the side as well. On the inside that we are
16 talking about. We also have the cartilage and that is like
17 a white glistening part. So if you had chicken and saw the
18 white glistening part when you break up the bone, that is
19 the cartilage.

20 Q. Please continue.

21 A. When we think about anatomy, like I said, there is
22 the meniscus. So this will be medial meniscus, this would
23 be the lateral meniscus. And the posterior ligament --
24 posterior cruciate ligament. This would be the knee cap and
25 the patella.

1 Do you want me to go into the surgery at all?

2 Q. Not yet. Okay, we will be done with that right
3 now. If you can take the stand, doctor, for a moment?

4 A. Okay.

5 Q. Okay, so we will keep going.

6 So Doctor, could you tell the jury what subjective
7 findings are and what objective findings are?

8 A. Sure. Subjective means it is what you tell me.
9 Like if I ask you, "does it hurt?" And you say, "it hurts".
10 That is subjective. Objective would be something that you
11 can see. Whether it be on an MRI or nerve study test or you
12 had a black and blue mark, you were swollen. Those things
13 would be objective because they are verifiable. They are
14 not subject to what the patient says.

15 Q. Now, did Mr. Vivanco come under your care at some
16 point in time?

17 A. Yes.

18 Q. When was that?

19 A. August of 2020.

20 Q. Okay and at that point in time did you take a
21 history?

22 A. I did.

23 Q. What was that history?

24 A. He was essentially hit -- he worked as a
25 construction worker -- by a beam and fell down 15 feet. He

1 went to Kings County Hospital. He was severe enough that he
2 was admitted for six days and then came under the care of
3 orthopedic surgeon Dr. Seidenstein.

4 Dr. Seidenstein performed left knee surgery for him
5 to 2017 in November, so three months after the accident.
6 And then right shoulder surgery in 2018. He had had MRIs in
7 September of 2017, shortly after the accident for both the
8 shoulder and the knee.

9 Q. Did you review certain records in this matter?

10 A. I did.

11 Q. What did you review?

12 A. So at that time I probably reviewed his op reports
13 and MRIs.

14 Q. Okay and it is your custom and practice to do this
15 when a patient comes under your care?

16 A. It depends on the patient, but in this instance
17 yes.

18 Q. And for the record, this was on August 10th, 2020
19 when he came to you for the first time; is that correct?

20 A. That is correct.

21 Q. Great. Okay. Now, did he speak to you about his
22 issues? Meaning were there complaints that were discussed?

23 A. Yes.

24 Q. What were those?

25 A. So I would say he has, throughout the time and

1 course of me treating him, three major issues. The first
2 issue is his shoulder. The second issue is his knee. The
3 third issue is his thigh where he has essentially a rupture
4 of the muscle of the quadriceps. I think that is the
5 easiest way to think about Mr. Rivera in terms of me.

6 Q. Okay, great. Now, let's talk about the quadriceps
7 muscle, if you could. Could you tell the jury what that is
8 and explain the basic anatomy of the quadriceps muscle?

9 A. Sure so I didn't go into much on the pictures
10 because it wasn't there, but you saw the patella -- can we
11 have the picture again, please. It would be helpful.

12 Q. Absolutely.

13 A. If you think how you straighten your leg. You need
14 really three things to straighten your leg. You need a
15 muscle here, a connector and then a muscle here.

16 So this is the quadriceps tendon that comes in
17 here. In the quad there are four parts, the patella, the
18 knee cap and then the patella tendon. So if any of those
19 parts in the link are broken, then it is like pulling a rope
20 that is broken. And if it is broken, it is not going to
21 close the door. So If I want to pull a rope from here and I
22 pulled on it and it was torn, I couldn't pull it.

23 So these muscles start off in the hip and then they
24 come into the top of the knee cap. As was just mentioned
25 fortuitously, I had written a paper at that time where

1 someone had ruptured their quadriceps, did not be treated
2 for like, I forget, like a year or two. I wrote it so long
3 ago. Came to see us at the clinic, we treated him, we did a
4 modification of a different treatment and described a new
5 way to treat a quadriceps tear out that long.

6 So when you see a tendon, you can fix a tendon. It
7 is thick, it has substance to it. But a muscle, it is kind
8 of like when you look at the steak or chicken, that part
9 doesn't sew together. Like when you cook it looks like it
10 is hard, but beforehand, it is kind of just mushy.

11 And so you can't sew those parts together. So when
12 you cut the muscle it is done. Is it is what it is.

13 So he had like a hole kind of by his thigh which
14 corresponds with his muscle tear.

15 Q. Okay, now, is that something that we can visualize
16 in diagnostic testing?

17 A. Yes. I think it is said it on his MRI.

18 Q. Great. Okay. Thank you.

19 A. Just to be specific, I am pretty sure it said it.
20 He had an MRI early on and it showed that. Go ahead.

21 Q. Now, is there any significant prior medical
22 history?

23 A. In terms of for his accident, he never had any
24 problems with his shoulder or his knee before. He was 35,
25 so as I am getting older, that is relatively young, and had

1 no issues with those problems before this accident.

2 He did have some depression, anxiety, insomnia. I
3 am sure some of this was from this accident or most of it
4 from the accident.

5 Q. I represent to you that it was, Doctor. You are
6 not here for that, are you, today?

7 A. No.

8 Q. So, did you perform a physical examination?

9 A. I did.

10 Q. Could you explain your physical examination and
11 your findings, please?

12 A. Sure, so when we examine someone we test range of
13 motion to see, you know, you are moving your arm well. Your
14 knee well. Does it hurt in certain parts and certain
15 provocative maneuvers. Certain things that we think may
16 help us clue in on the diagnosis of what is wrong.

17 When I looked at his shoulder he can lift it to 90
18 degrees, which is here. And he had some pain over the
19 rotator cuff, which is here. And he had a positive
20 impingement sign which means when we rotate the arm like
21 this or lift it up it causes pain by the rotator cuff
22 impingement.

23 He had some clicking in the shoulder, like some
24 overall grinding in his shoulder.

25 Q. What do these things tell you?

1 A. So the clicking can be from a labral tear and
2 tenderness of the rotator cuff and impingement sign, so the
3 limited motion signifies a rotator cuff problem.

4 Q. Which is the rotator cuff?

5 A. So the rotator cuff is the muscles that help move
6 the shoulder. There are four of them. But for most
7 purposes we can simplify the two, supraspinatus and
8 infraspinatus. They kind of come together over here, but
9 they call that -- it is actually one is above the scapular
10 spine and one is below the scapular spine.

11 Q. Did you formulate a plan at that visit?

12 A. Right, so I had seen him --

13 Q. I don't think you finished with your findings. I
14 am sorry. Yes, I am sorry. Please do.

15 A. It's okay. I also examined his left knee. And he
16 can bend his knee also to 90 degrees, so that is a right
17 angle. And he had pain over the knee cap.

18 So at that point he was status-post right shoulder
19 surgery. He already had right shoulder surgery and knee
20 surgery, both of which had not helped him.

21 So at that point I recommended -- he was already
22 being scheduled for MRIs, but I also recommended an MRI to
23 just start essentially from scratch with him so we can treat
24 him.

25 Q. Okay so this gentleman at this point in time had

1 had -- and again, we are not here to talk about Mr.
2 Vivanco's back; is that correct?

3 A. I am here for the shoulder, the knee and the thigh.

4 Q. Okay. So at this point in time this gentleman had
5 had his shoulder and his knee operated on and then he had
6 come to you; is that correct?

7 A. Correct.

8 Q. Okay, now we didn't speak about the anatomy of the
9 shoulder. We did a little bit just now, but I think --

10 A. Not really.

11 Q. So why don't we do that. I would like to show you,
12 Doctor, plaintiff's Exhibit 22 and if you could come off the
13 stand and explain the anatomy of the shoulder to the jury
14 please.

15 A. Okay, so I started to allude to some of these
16 things before, but I am going to go into more detail now.
17 So the shoulder is a ball and socket joint. That is why we
18 can move our arm like this. That is great and that gives us
19 a lot of mobility, but we don't want the shoulder to pop
20 off. So like most people probably have never heard someone
21 have a knee dislocation, it certainly happens, but it is not
22 as common because it is a hinge joint. And there is so many
23 movements that help stabilize you.

24 In the shoulder, you can pop out your shoulder.
25 And a lot of people have what is called shoulder

1 dislocations. So we need stabilization to rotate and to
2 keep it from popping out, but not so tight that we can't
3 move.

4 You also need -- we talked about the bones of the
5 shoulder and then we have the muscles that move the
6 shoulder. So a lot of people heard of rotator cuff and like
7 I said, there are four rotator cuff muscles, the
8 subscapularis, that is in the front. You have the super and
9 infraspinatus on the top and they kind of come together as I
10 said already and then back here, which you can't see in the
11 picture, Teres minor.

12 So those muscles help us externally rotate our
13 shoulder, internally rotate our shoulder and they help lift
14 up your shoulder. We also have inside of the shoulder, you
15 might not think about this, but the biceps.

16 So the word biceps, bi means two. There is two
17 there. There is a long head that goes in the shoulder and
18 there is a short head that goes to here, which is the
19 Coracoid.

20 The biceps come into the labrum. The labrum is
21 like a stabilizer for the shoulder. So people who tear
22 their labrum badly down here can often dislocate their
23 shoulder. But people that tear it up here can have clicking
24 and catching in their shoulder. It is common with baseball
25 players as well. So that is a labral tear.

1 So when you think about the labrum, just like
2 everything, we like to give it like a name so it can
3 sound nice and fancy. In the front we call it the
4 anterior part, in the back we call the posterior part.
5 Then there is the inferior bottom and then superior
6 here.

7 So you may have had heard the term "SLAP tear"
8 that stands for superior labrum anterior and posterior
9 tear and that is where the labrum goes from the front to
10 the back and it could be torn there.

11 The last thing we didn't talk about is that
12 there is a ball and socket. And so -- there is one
13 other part we have to talk about.

14 So you can sort of see the ball here and then
15 this is a socket. So when I think about the shoulder,
16 which I do a lot of shoulder surgery, we look inside the
17 shoulder and at the structures here and then I come on
18 top and we look above. So we are looking at the rotator
19 cuff from below inside and then above on the top.

20 On the top we also see this bone called an
21 Acromion and that bone is thought to sometimes pinch on
22 the rotator cuff and cause pain.

23 Q. Did we cover it?

24 A. I think I did a good job.

25 Q. Before you step down, why don't we do this. We are

1 going to look at the MRI films.

2 Let's start with the MRI of the right shoulder
3 dated September 12 of 2017 which Plaintiff's Exhibit 17 in
4 evidence.

5 DOctor, what we are going to do now, just so you
6 know, we are going to look at the film before the surgeries
7 were performed. We will do it for the shoulder and then the
8 knee.

9 A. Okay. No problem.

10 This is going to be a little more complicated
11 because it is not easy to visualize everything on the MRI.
12 It is not intuitive.

13 Q. So Doctor, just for the record, what are you
14 looking at here?

15 A. I am looking at an MRI of the shoulder dated
16 September 12, 2017. So less than two months after the
17 accident.

18 Q. Okay, please explain to the jury what is
19 significant on this MRI film?

20 A. Okay, so let me start going through the MRI and let
21 me just explain a little bit what an MRI is.

22 Q. They have heard it, just so you know, but in your
23 own terms, of course.

24 A. So you guys looked at the spine, I would assume and
25 so it is a similar concept, right? You can take it and

1 manipulate it in three difficult planes, and so you can look
2 at it looking at the front, looking at it from the side and
3 looking at it coming down sort of like you saw on the
4 herniated discs.

5 Let's take a look, okay? So actually, this picture
6 shows you a lot of what I need to show and talk about and
7 some other stuff. So this is looking at the shoulder from
8 the front. So it is called a coronal view. And so it is
9 sort of like you would be looking at my right shoulder,
10 which is his right shoulder.

11 We said that the shoulder is a ball and socket
12 joint. And low and behold there is a ball and there is it a
13 socket. We also said that there is a rotator cuff. We
14 showed you how it went into the side of the shoulder. I am
15 going to try to show you both simultaneously. I think
16 sometimes it is hard for you. You are looking at one slice,
17 sometimes it is hard to get a visualization of everything.

18 So this is the rotator cuff coming in that we are
19 looking at right there, okay. So this is the muscle. In
20 this picture it kind of looks like a muscle. And you can
21 see it coming into the tendon. And you can see here the
22 white. That white is a little bit of the tearing that you
23 see on the rotator cuff.

24 The other structure we talked about was the labrum.
25 And so there is a top and bottom to the labrum. And you can

1 see here -- the labrum is not projected well in general on
2 MRIs, but you can see this is the socket and off the socket
3 is another part and that is at labrum.

4 I know it is going to be hard to see. I will do my
5 best. This is inferior labrum and this is the superior
6 labrum.

7 Q. What does that mean?

8 A. The bottom and the top. If you look at the bottom,
9 you don't really see much white between the gray and the
10 socket. If you look up here you can see the white between
11 there, that is the fluid and that is significant for a tear.

12 So when we look at the shoulder, again, that is the
13 best way to see -- I am going to show you more pictures.
14 Again, these are the different parts of the rotator moving
15 on the back of the shoulder. Again, you seek like the
16 little tear. You can see the tearing in here. You can see
17 the fluid in there for the labrum. The socket, the ball,
18 the rotator cuff.

19 The other thing we need to see that is relevant to
20 this is -- it is not a great picture of it, but this is the
21 Acromion. That is bone and shaving down that bone can
22 sometimes be helpful.

23 So again, looking at this from the side, this is
24 not in two, so like looking at it like that, so looking at
25 it like where your arm is. And you can see how it is nice

1 and gray. There is some white and this sequence happens to
2 pick up fluid, well, the white, so you can see it is torn
3 there.

4 If we are going look at the labral tear, you can
5 see some small tear here, how this gray structure is lifted
6 up a little bit. So that is the labral tear.

7 For most people looking at the rotator cuff,
8 labrum, some people the biceps, are probably the most
9 important structure.

10 Q. Now, Doctor, while we are on the shoulder, do you
11 think it would be a good idea to explain the surgery
12 performed to the jury now?

13 A. Yes.

14 Q. Okay. If you are comfortable holding this so we
15 don't have to keep switching things.

16 Plaintiff's Exhibit 22, so would an illustration of
17 the surgery be helpful in explaining the operation performed
18 on Mr. Vivanco to the jury?

19 A. Sure.

20 MR. ROSE: May I please have Plaintiff's
21 Exhibit 20.

22 Your Honor, this has been marked for
23 identification.

24 May I hand this to the witness?

25 THE COURT: Yes.

1 COURT CLERK: 20 marked of ID. Do know show
2 to the jury.

3 Q. Doctor, you said that would be helpful?

4 A. Yes.

5 Q. And is that medical illustration that is
6 Plaintiff's Exhibit 20 a fair and accurate representation of
7 the surgery that was performed on Mr. Vivanco?

8 A. Yes.

9 Q. Okay.

10 MR. ROSE: Your Honor, plaintiff moves this
11 exhibit into evidence.

12 MR. FARRELL: Your Honor, I object to that
13 being in evidence.

14 THE COURT: Okay.

15 MR. FARRELL: Do you want us to approach or
16 no?

17 THE COURT: Yes.

18 (Whereupon an off-the-record discussion was
19 held.)

20 Q. Doctor, now we can show this exhibit to the jury.

21 THE COURT: Jurors, it is not in evidence,
22 which means and I know all of you can't see it. You
23 will not be able to get it when you are deliberating.
24 However, the Doctor can use it to illustrate exactly
25 what happened.

1 MR. ROSE: Your Honor, could you just explain
2 to the jury what demonstrative purposes means?
3 Admitted?

4 THE COURT: Well, he can use it to show you
5 visually, rather than simply words, that might be in a
6 report and describe by using that exactly what occurred.

7 MR. ROSE: Thank you, your Honor.

8 Q. Okay, Doctor, if you could explain the surgery that
9 was performed on Mr. Vivanco?

10 A. Yes. So he had a shoulder arthroscopy. And a
11 shoulder arthroscopy, arthroscope its like laparoscope, it
12 means inside a joint. So we take a camera and we put it
13 inside the shoulder so we can visualize the structure and
14 then we clean up what we need to clean up. And shave down
15 what we need to shave down.

16 So in his procedure he had done a debridement and a
17 acromioplasty. He took down the ligament. He took down
18 part of the clavicle and removed the bursa. So I am going
19 to go over all that with you because there is a lot to
20 digest of that.

21 So we look inside the shoulder, we are seeing
22 inside here kind of like you saw in the first picture with
23 the labrum. And so our camera is in the back and we are
24 looking here. So we take our camera and bring it to here,
25 to here and even to here(indicating.) There is not that

1 much that goes on down there, but we can do all that with an
2 arthroscope.

3 That let's you look at the labrum, the under
4 surface of the rotator cuff, right. So this is the rotator
5 cuff, the bottom part of it. The labrum and the bones and
6 that is the major other stuff.

7 So he found the tear of the labrum, which they saw
8 on the MRI, which I showed you. And he cleaned that up. So
9 that was the part that he did inside the shoulder.

10 Then he went on top of the shoulder, so you
11 remember I talked about you can look at the rotator cuff
12 from above. I am only showing you that, it doesn't show you
13 the rotator, it shows you the bone.

14 There is a space between the rotator cuff and the
15 Acromion. And that is in here. We start here and we take
16 out the camera and we go essentially like here (indicating).
17 And that let's us look at the rotator cuff from above and
18 also look at the bone.

19 And he shaved down the bone and took down part of
20 ligament. So the theory is that bone presses down on the
21 tendon and also the ligament can press down on the tendon.
22 He took out inflammation there.

23 If we look at these pictures, one is just showing
24 you the instruments going in and then two is showing
25 cleaning up the labrum. So looking from the front, putting

1 in the camera and looking from the back putting the
2 instrument in the front to clean that up.

3 Then three, four and five deals with the second
4 part of the procedure which is cleaning up the rotator cuff,
5 shaving down the bone. So in this case he also shaved down
6 part of the clavicle to make this flat together. It is
7 coplaning. He took out inflammation between the tendon and
8 the Acromion.

9 Q. Does that cover it?

10 A. I think that was good.

11 Q. Great. So now, why don't we discuss the knee,
12 okay, while you are up?

13 MR. ROSE: Your Honor for the record, we will
14 be showing the Doctor Plaintiff's Exhibit 18, which is
15 the MRI of the left knee dated September 8th of 2020.

16 Your Honor, we are going to offer without
17 objection the MRI of the left knee dated September 5th
18 2017 in evidence by stipulation between the parties.

19 THE COURT: So that would be 24.

20 MR. ROSE: Thank you.

21 THE COURT: It is in evidence.

22 A. Okay, we are going to look at the knee, go over the
23 anatomy again and I will try to show you some representative
24 pictures of the anatomy.

25 Q. Incidentally, Doctor, you read films on behalf of

1 your patients, correct?

2 A. I do. So if we look at this picture we see there
3 is like smooth cartilage here, it is not 4K, but if you look
4 here, you can see this black line and you can see like
5 almost like a little indentation there. So that is the
6 trochlear. That is this part of the knee cap.

7 So the knee cap goes there when it moves. So it
8 slides in a groove. That is the groove it slides in. And
9 this is the top. Also there is some damage to the cartilage
10 there on the patella. I will show you some other things
11 that I think are interesting even if they are not exactly
12 for us here.

13 So this is looking at the knee from the side. So
14 like when you are looking at the shoulder, you are looking
15 at the knee this way. That allows us to see the ligament
16 swell that we talked about. So this is the PCL ligament.
17 It looks like it is almost not perfect. If you look at it it
18 looks like nice in the middle and maybe not as great at the
19 edge.

20 You say, oh, that is abnormal. No, that is normal.
21 PCL doesn't go in a straight line. So they kind of twist.
22 So if you are cutting it, a slice, in one space it may look
23 like it is not perfect on the top or the bottom, but good in
24 the middle, but it is not torn.

25 Then this is the PCL. You see it goes from the

1 back toward the front. Then here's the ACL and again, that
2 goes from the front to the back.

3 We then have -- we talked a little bit about the
4 menisci. So you see there are triangles here. I am not
5 going to go through every picture, but there are triangles
6 here. There is white there. Well, if that white goes to
7 the top or bottom it is considered like a meniscus tear, but
8 otherwise it is not.

9 In this case they didn't read meniscus on the MRI,
10 they didn't see meniscal tear at surgery. I wanted to cover
11 that. It is like anatomy 101.

12 There are a couple of other things I want to show
13 you. You can see the ACL going in and up and there is your
14 PCL.

15 Okay. Again with the knee cap, you sort of see
16 like it is coming down. This is the bone. This is the
17 cartilage and then you can see there that white space and
18 that's the defect that they see in arthroscopy.

19 Q. Okay great. Would this be a good time now to
20 explain the surgery that was done?

21 A. Yes.

22 Q. Great. So and again same question. Would an
23 illustration of the surgery be helpful in explaining the
24 surgery performed on Mr. Rivera to the jury?

25 A. Yes.

1 Q. Okay.

2 MR. ROSE: Your Honor, Plaintiff's Exhibit 20
3 for demonstrative purposes. Okay for me to show it to
4 the witness?

5 MR. FARRELL: Yes.

6 A. This is the shoulder.

7 Q. Thank you for checking me. Exhibit 19, Doctor.

8 A. Yes.

9 MR. ROSE: I apologize. Exhibit 19.

10 A. Okay, so when we perform an arthroscopy you
11 typically use two incisions now. One we start at laterally,
12 it means the outside and then one would be medially. So the
13 outside of the knee and the inside of knee. It is a lot to
14 go through in a short time.

15 So if we look at this picture here, for example, we
16 will have one here and one here. That allows us to look all
17 inside the knee. So we can look here in the knee, here in
18 the knee. We can see the ACL. We can see the PCL and then
19 if we look at this picture here picture we can see the
20 trochlear and the patella.

21 So that is where the parts go into. And so on Mr.
22 Rivera he had a defect in the patella and he cleaned that
23 up. He also had a defect in the trochlea, which I was
24 showing you and he cleaned that up as well. And then he
25 felt that the knee cap was tilted, so he released the

1 structures that were pulling it laterally or this way, so it
2 sit more normally.

3 The other stuff that I looked inside was all pretty
4 good, but this can cause a lot of pain because every time
5 you bend your knee, your knee cap would tear apart and that
6 can cause pain. People also say their knees click. That is
7 pretty much what they say is going on.

8 Q. I will quickly show the jury the illustration so
9 they can understand that.

10 A. Yes. This is the camera, this is the shaver. And
11 he is cleaning the underside of the patella and then the
12 trochlea. So he is cleaning off the part that is not good
13 and trying to make it smooth.

14 Q. Okay, did that cover it?

15 A. I think so.

16 MR. ROSE: Your Honor, by stipulation, the
17 parties offer the MRI of the left femur dated September
18 11th of 2020.

19 THE COURT: That is 25?

20 MR. ROSE: Yes.

21 A. So, we are now looking, to orient you, this is the
22 hip. Down below is the leg. Muscles should look almost
23 like -- we use that to make it look like that. It is an
24 MRI, we can make it any color they want. They chose this.

25 And so where its gray it is pretty normal. This is

1 the bone right here. But if you look here you can see how
2 it is all white in there.

3 Q. This is the femur, the upper bone in the leg?

4 A. This is the femur part. This is the a quadriceps
5 muscle and you can see like where it is kind of white. That
6 is the fatty part where they had the muscle tear. You can
7 see a little better over here where you can see it looks
8 like it is pretty gray and all of a sudden it is white.

9 And so at that level it is not at the tendon part.
10 So you can't -- you just can't sew muscle to muscle. And so
11 if you cut your muscle, you cut your muscle.

12 Q. And can you explain to the jury where, so we can
13 understand from a common sense perspective, where in the
14 person's leg would that be and how does that impact them?

15 A. In the front it gives you some weakness because
16 your muscle is not going to be as strong.

17 Q. Indicating --

18 A. Yes, you can see he has a defect in his leg. If
19 you saw him in shorts you can see he has like an indentation
20 in his leg. He has a defect in his leg.

21 Q. Okay and, Doctor, I am going to represent to you
22 that at the time of this accident Mr. Vivanco was struck by
23 whom a beam that was approximately 4,000 pounds and I am
24 going to show you Plaintiff's Exhibit 6, which is in
25 evidence.

1 Do you see that there?

2 A. Yes.

3 Q. Plaintiff's Exhibit 7, which is an in evidence
4 which shows the back of his leg.

5 What do those photographs tell the jury and why are
6 they instructive to us?

7 A. I mean, so we mentioned a little bit about
8 subjective and objective at the beginning. So this would be
9 objective, meaning you can see the scar and you can see the
10 bruising. I didn't know he was going to show these pictures
11 when I said it, but it would be objective because it is
12 clear, you see the other side, it is not bruised. You don't
13 have to be an orthopedic surgeon fellowship trained written
14 books on hand surgery to know that. And you can see the
15 bruising on the back as well.

16 Q. And would a blow to this area be considered a
17 competent producing cause of the muscle tear that he
18 described?

19 A. Yes. I mean, again, it is just logical. He had a
20 huge thing fall, 4,000 pounds, hit him in the leg. Whatever
21 is underneath that leg will be damaged. I think he,
22 actually he was lucky and he didn't break his femur, but he
23 did tear his muscle.

24 Q. Thank you. You can take the stand now.

25 Did Mr. Rivera return to you on November 9th of

1 2020?

2 A. Yes.

3 Q. Okay and what were his current complaints at this
4 point in time?

5 A. He always essentially had the same issues in the
6 right shoulder pain, in the left knee pain and the problem
7 with his quad muscle.

8 On the previous visit back in September I had given
9 him an injection in his shoulder to try and help him. So
10 you would also always try to treat patients conservatively
11 just like we all would like to be treated. He already had
12 therapy, a surgery and he was still having issues.

13 I did give him an injection. It helped him for a
14 few days. Unfortunately it didn't last longer.

15 Q. Did you perform an examination on his right
16 shoulder?

17 A. I did.

18 Q. What were your findings?

19 A. They remained pretty much the same the whole time.
20 He had forward flexion to 90 degrees. The tenderness over
21 the rotator cuff. The Neir Hawkins impingement sign and the
22 clicking.

23 Q. You say he had a Nier Hawkins impingement sign is
24 positive, what does that mean?

25 A. So I think we touched on this earlier. Two ways,

1 Neir is one of the Godfather's of shoulder surgery. He
2 described lifting up your shoulder and if that caused pain
3 of the rotator cuff that that was a positive impingement
4 sign. That is a Neir impingement sign. It is actually
5 N-E-I-R not N-E-A-R. So it is named after Charles Neir.

6 And then Hawkins said, oh, I need something because
7 I am a famous guy too. So he said, well, if I rotate the
8 shoulder and it causes pain you can name this one after me.

9 Q. Okay and did you come up with a medical plan at
10 that point in time?

11 A. So I think at that point he had a few issues. So
12 he did have some new MRIs and so one issue is just to get a
13 nerve study test and the other and probably most important
14 thing was we talked about surgery on his right shoulder.

15 Q. Were the knew MRIs instructive to you in any way?

16 A. Uh --

17 Q. I am not going to make you read the films again.

18 A. I know. Essentially, it is always more complicated
19 to read MRIs after you have surgery because the normal
20 anatomy gets distorted. So when I think about Mr. Rivera, I
21 say to myself, here's a young gentleman who's had an injury,
22 who I am seeing three years after an accident. He already
23 had one surgery and didn't get better. I gave him an
24 injection. It helped temporarily.

25 From my perspective that is a good sign that I can

1 make it better with surgery. That is not a guarantee, but
2 the MRI didn't show anything that I said, oh my God,
3 something terrible is going, but it just confirmed that he
4 was a candidate for surgery.

5 Q. Okay and fair to say he still to this date has not
6 had that surgery; is that correct?

7 A. Fair and accurate.

8 Q. If he did choose to have the surgery, what would it
9 consist of?

10 A. Similar to what he did before, but it might change
11 based on what I saw inside the shoulder. So based on his
12 MRI we might do clean up, but when we got in there we might
13 see something. That happens all the time that the labrum
14 looks worse than I thought. Maybe we would fix the labrum.
15 Maybe we would have to cut the biceps and reattached it as
16 he is getting older.

17 There is whole bunch of different permutations that
18 can happen based on the findings.

19 Q. And incidentally, Doctor, did I ask you to review a
20 life care plan in this case?

21 A. You did.

22 Q. Okay and did you agree with those findings?

23 A. I did.

24 Q. And as it pertains to his shoulder and his knee,
25 correct?

1 A. Yes.

2 Q. Okay. Great.

3 Now, just moving along. He came back to you on
4 December 21 of 2020?

5 A. Correct.

6 Q. Again, same complaints?

7 A. You would think, and he has proven it out, you get
8 to a certain point and you kind of plateau out. So it is
9 treatment in general for let's say you had whatever injury
10 was to your body, you are going to get better most of it at
11 the beginning and then you kind of -- your slope of getting
12 better slows down and eventually it is flat, where you would
13 expect that you essentially can be, what I say, you are who
14 you are. And you are you will the same. He has been the
15 same since 2020.

16 Q. Okay, now he came back to you on January 11th of
17 2021, correct?

18 A. Correct.

19 Q. He came back to you again on February 10th of 2021?

20 A. Correct.

21 Q. He came back to you on February 20th of 2022; is
22 that correct?

23 A. I think it is 2023.

24 Q. I didn't say it right?

25 A. I was trying to be nice.

1 Q. That's okay, don't be.

2 A. I saw him November 15, 2022 and then I saw him
3 February 2023.

4 Q. Exactly. So let's talk about what his current
5 complaints were on that 2023 visit?

6 A. So he still had right shoulder and the left knee
7 problems. He had a lot of other issues, some of which
8 weren't particularly my area of expertise. They were more
9 GI issues. And then he had issues where he wasn't able to
10 exercise, couldn't carry on his normal activities. He
11 couldn't jump, couldn't walk long distances, couldn't lift
12 weights. And those are for a combination of reasons.

13 Q. Did you perform a physical examination at that
14 point in time?

15 A. I did.

16 Q. Okay, could you discuss the examination and your
17 findings?

18 A. Sure, so right shoulder had forward flexion to 90
19 degrees. He had --

20 Q. When we are talking about the range of motion
21 findings now, if you could, could you explain normal and
22 what you found with Mr. Rivera?

23 A. So you had forward flexion to 90. Obviously he
24 should have been able to flex his shoulder to normal and
25 that is 180. I did measure the other side for comparison

1 and he had full motion in his other shoulder and his other
2 shoulder had no pain to palpation.

3 Q. Did you measure both sides?

4 A. The truth is, for the gentleman on the other side
5 of you, but there is a reality that they are not really sure
6 that measuring the contralateral side is indicative of what
7 people are. Having said that, I have heard sometimes, oh,
8 how do you know the other side was normal? So I measured
9 the other side just for the sake of completion. I don't
10 know if it is scientifically borne out that that is really
11 an accurate way to say what is normal.

12 And so in this case he had the clicking in the
13 shoulder, the pain over the front and back of his shoulder.

14 Q. What did that tell you?

15 A. That is more indicative of a labral tear.

16 THE COURT: Doctor, one quick question, you
17 use the term normal, what is normal?

18 THE WITNESS: Normal would be what we expect a
19 young person who has no problems to have.

20 THE COURT: How did you get to normal, because
21 each person is unique, we are all different. So how do
22 you get to normal? Is it normal based on symmetry? The
23 thing you do the is same with the left and the right?

24 THE WITNESS: So that is what I was alluding
25 to when I look at the other side. We have what would be

1 considered to be a standard normal by looking at a group
2 of individuals over the span have -- you know we have
3 been doing this for hundreds of years.

4 If we looked at, you know, what I would say is
5 a perfect person to normal would be like what you should
6 be if there is no problems.

7 THE COURT: There is a perfect person?

8 THE WITNESS: There are perfect joints. There
9 are no perfect people.

10 MR. ROSE: Sounds like a doctor joke.

11 A. For example, every kid should be able to lift their
12 arm straight up. Most of problem here was he had a shoulder
13 issue. He could probably lift his arm up to here.

14 We can disagree about how much we can bring our
15 arms behind our back, but like they should be able to do
16 this.

17 We should be able to straighten it all the way and
18 bend it all the way. We can take different normals 135,
19 140, 150 but at the end of the day we've looked at enough of
20 shoulders and knees that we have what we consider a normal
21 value or ideal value, maybe better than normal. Because
22 when sometimes we guess with normal you can think of like an
23 average, this is what it should be.

24 THE COURT: Thank you.

25 Q. Back to your examination.

1 A. So that was the shoulder. I looked at the hip
2 also. He lost a little bit of flexion in his hip, so he can
3 bend it perpendicular, but not all the way. He should be
4 able to bend to 120. Like I say if you brought it near to
5 your chest it would go past the 90 degree mark.

6 His thigh has the atrophy and deformity from the
7 quads muscles rupture. His knee again had flexion to 90
8 degrees and tenderness around the kneecap area which he had
9 essentially the whole time. Which is why he had the surgery
10 to begin with. The other sides were pristine.

11 Q. So did you discuss the left knee range of motion?

12 A. Yes.

13 Q. Okay.

14 A. I already said it, but I can say it again.

15 Q. Please.

16 A. So he bent his knee again to 90 degrees. To give
17 you idea, this would be 90 degrees. And 140 is normal. You
18 should be able to bend it back.

19 In other words, your heel should touch your
20 buttocks, essentially.

21 Q. He couldn't do that?

22 A. Correct.

23 Q. Thank you. Okay, now, the last time you saw Mr.
24 Rivera was on April 30 of 2025; is that correct?

25 A. Correct.

1 Q. Okay, so let's talk about that visit. What were
2 his current complaints at that time as they relate to the
3 incident and the injuries that you treated him for?

4 A. Essentially the same thing. There has been no
5 change. He has been status quo for the last -- since I know
6 him, essentially.

7 Q. Okay.

8 A. Which is what you would hopefully expect.

9 Q. Okay, was he taking any medications at that point
10 in time?

11 A. He was taking some medications. The ones that are
12 relevant to him and his injuries are the Cyclobenzaprine,
13 that is a muscle relaxer. Gabapentin, that is a nerve pill.
14 And Tylenol, a pain pill.

15 Q. Now, did you discuss his work status with him at
16 that visit?

17 A. I did. One part I wrote, that was a mistake on my
18 part, he was unable to work, but he was working, but not the
19 heavy duty job he had. It changed from an iron worker to
20 light duty doing inspecting goods and quality control.

21 Q. Great. Now at that point in time you had in your
22 possession an MRI of the left femur dated July 3rd of 2024;
23 is that correct?

24 A. That is correct.

25 Q. Okay and what were the findings and if you could

1 describe the significant findings to the jury, please?

2 A. He had the atrophy of his quad muscle.

3 Q. What is atrophy and why is that significant?

4 A. So we can have atrophy for, in general I would say,
5 one of three reasons. One reason is we are taut, right? So
6 like if you are taut, the muscle is not working, it
7 atrophies.

8 So like let's say you tore your rotator cuff really
9 badly and you never -- so it wasn't working, over time that
10 muscle is not firing, it atrophies. Another reason could be
11 you had a nerve injury, so people have back issues can have
12 the nerve isn't functioning and that is not stimulating the
13 muscle. So at the end of the day the muscle has to be
14 stimulated or it atrophies. And the third reason would be
15 it is so painful that you are not moving your joints and
16 therefore, you get atrophy.

17 In his case he tore his muscle, so it is atrophy.
18 It is not anymore complicated than that.

19 Q. Great, okay. Thank you.

20 You tested range of motion again?

21 A. Correct.

22 Q. Any changes there?

23 A. No.

24 Q. In regard to the left knee, positive Lachman and
25 Drawer, what does that mean?

1 A. So that is a sign of an ACL injury. I think from
2 him it is because his quads are so weak that where you
3 should have stability, you are not having the stability. I
4 don't think he tore his anterior cruciate ligament. I am
5 not telling you that he tore his anterior cruciate ligament.
6 I think his quads are so weak he lost the ability in his
7 knee.

8 Q. Doctor, for the next series of questions I would
9 ask that you give your opinion to a reasonable degree of
10 medical certainty?

11 A. Okay.

12 Q. I want you to assume that on October 9th of 2017
13 Mr. Rivera was standing on a ladder when he was struck in
14 the left leg by a beam that weighed, approximately, 4,000
15 pounds. The force caused him to fall from the ladder to the
16 ground below.

17 With a reasonable degree of medical certainty,
18 would that event be the competent producing cause of the
19 injuries that you described to the jury today?

20 A. Yes.

21 Q. Okay, why?

22 A. I mean, we have to take a look at him in totality.
23 He is a young man with no preexisting problems who has a
24 significant injury, both from direct impact and then from
25 falling to the extent that he is admitted to the hospital

1 for six days. To put that in comparison, if you had a knee
2 replacement tomorrow half of you would go home that day,
3 half of you would go home the next morning.

4 So if you are in the hospital for six days, that is
5 a long time, it is a significant injury.

6 Q. You are talking about the initial hospitalization
7 at Kings County Hospital, correct?

8 A. Correct.

9 Q. Great. Now, are the injuries that you described
10 permanent?

11 A. Yes.

12 Q. Why?

13 A. I have seen him now for the last five years and he
14 has essentially, for the most part, remained relatively the
15 same throughout that time.

16 Q. Are the injuries that you described a competent
17 producing cause of pain?

18 A. Yes.

19 Q. Why?

20 A. He has problems with his labrum. He has problems
21 with the cartilage in his knee. All of which can cause
22 problems and correlate with what the symptoms are.

23 For example, let's say you have a meniscal tear,
24 but you are not painful in that area, that doesn't always
25 correlate. In his case everything kind of lines up.

1 Q. Doctor, have all of the opinions you have given
2 today been to a reasonable degree of medical certainty?

3 A. Yes. That is why I was going to say you don't have
4 so that because it has been that way the whole time.

5 Q. I have to say that.

6 MR. ROSE: Thank you for your time today.
7 Thank you.

8 THE COURT: Okay, thank you.

9 Jurors our reporter has been since taking
10 testimony since 10:51, but she was in courtroom with us
11 before that. Just to give you an example, this is what
12 she had produced for us over the weekend. So I think
13 she is entitled to ten minutes now.

14 Okay, we will take a brief ten-minute recess.
15 Please continue to keep an open mind. Do not talk about
16 this case amongst yourselves or with anyone else. And
17 we will begin again, promptly, at 12:21.

18 All right, thank you.

19 COURT OFFICER: ALL rise. Jury exiting.

20 (Whereupon, the jury exits the courtroom.)

21 Recess taken.

22 COURT OFFICER: All rise. Jury entering.

23 (Whereupon, the jury enters the courtroom and
24 is seated in the jury box.)

25 THE COURT: Both sides stipulate all jurors

1 are present and properly seated?

2 MR. ROSE: Yes, your Honor.

3 MR. FARRELL: Yes, your Honor.

4 THE COURT: Okay. Cross Examination?

5 CROSS EXAMINATION

6 BY MR. FARRELL:

7 Q. Good afternoon, Dr. Katzman.

8 A. Good afternoon.

9 Q. When you first saw Mr. Vivanco you said that was in
10 August of 2020, correct?

11 A. Correct.

12 Q. That is three years after the accident?

13 A. Yes.

14 Q. And you are not the surgeon who performed these two
15 surgeries on Mr. Vivanco, correct?

16 A. That is correct.

17 Q. How did it first come about that you first saw Mr.
18 Vivanco? Was he referred to you, something else?

19 A. I am not sure. He may have been referred from his
20 attorney, but I am not sure.

21 Q. I believe a couple of times today you referred to
22 the surgeries that were performed as a clean out; is that
23 the terminology that you used?

24 A. I don't think so, but I don't think it is a
25 terrible description of it.

1 Q. You don't think it is what?

2 A. A terrible description of it.

3 Q. Okay. What would you refer to a clean out as; what
4 was does term mean?

5 A. So in the case of Mr. Rivera he didn't have
6 anything to repair per se, but he had parts that were
7 defective, clean up, essentially. And the technical term is
8 debrided for the shoulder, a chondroplasty for the knee.
9 Chondro meaning cartilage and plasty, essentially, cleaning.

10 Q. Starting with the shoulder, you say nothing
11 repaired, there was nothing within the shoulder sewn back
12 together, correct?

13 A. Correct.

14 Q. There were no plates, screws or anchors put into
15 his shoulder, correct?

16 A. Right. I would say we never put plates and screws
17 in a shoulder arthroscopy, but we sometimes put in anchors
18 if needed. None were needed in his case.

19 Q. The same with regard to the knee, there was no
20 plates, screws, anchors or anything like that put into his
21 knee, correct?

22 A. In the knee we were less likely to -- I guess you
23 can call it a meniscal tear. None of these are, again, put
24 in, in general, for this kind of repair. You never use
25 plates, anchors or screws.

1 Q. For an ACL repair for instance, screws are used and
2 usually put in, but nothing like that was needed in this
3 case?

4 A. Correct. It is screws or buttons to be technical.

5 Q. Now the 2017 knee MRI and 2017 shoulder MRI that
6 you were shown here today, those were both well before the
7 time you were treating him, correct?

8 A. By definition, yes.

9 Q. Had you ever actually reviewed those films before
10 today?

11 A. I think so.

12 Q. Okay, do you know for a fact that you reviewed
13 those films before today?

14 A. I am pretty sure I did, but I am under oath, so I
15 don't to want to say something that may not be true. But I
16 am pretty sure.

17 Q. I want to go through the MRIs that we have
18 discussed. So with regard to the left knee and you have
19 your file with your here today?

20 A. Yes.

21 Q. So feel free to refer to any documents in your file
22 of necessary.

23 With regard to his left knee MRI from September 5th
24 2017. Well, that was taken, approximately, or I should say
25 less than a month after the accident, correct?

1 A. They are all jumbled up from jumping up and down.
2 If you can repeat the date again?

3 Q. September 5th, 2017. Left knee.

4 A. Yes. Less than a month after. That is correct.

5 Q. Are you aware, just to clarify, because you
6 reviewed all of his records, did he sustain a tib fib
7 fracture in this case?

8 A. No.

9 Q. Were any of the bones in his leg broken in this
10 case?

11 A. No. I mean, I would say not a classical broken
12 bone. Did he have a defect? If you wanted to be super
13 technical, because you can say maybe a defect is like a
14 broken bone. But not a typical broken bone that you would
15 think.

16 Q. Left knee MRI was done, was there a finding with
17 regard to the osseous structures in the knee?

18 A. Osseous, yes.

19 Q. What was that finding?

20 A. If you have it I will take it instead of looking
21 through all these papers.

22 Q. I am sorry?

23 A. If you have a copy instead of me looking through
24 all these papers -- I think I have it.

25 Q. Well, let me just ask, there was no fracture seen

1 on the MRI?

2 A. No, this isn't a broken bone case.

3 Q. And is it correct that the time of that MRI the
4 cruciate ligaments were all in tact?

5 A. Yes. I will make your life simple because you are
6 a nice man. The cruciate ligaments were in tact. It is not
7 an ACL case and he did not tear his meniscus. These were in
8 tact on the MRI and intraoperatively. It is not a meniscus
9 case as well.

10 Q. Thank you.

11 A. I think I showed you both of those on the MRI. I
12 did not say they were torn.

13 Q. So all those things that we just discussed were
14 found to be essentially normal at the time of September 5th,
15 the left knee MRI?

16 A. Yes.

17 Q. Now let's talk about what he actually had. There
18 was an issue with his left knee at that time. There was a
19 chondral fissure over the central trochlea; is that correct?

20 A. He had issues with his trochlea, his patella. They
21 were both cleaned up and then he had a lateral release done.
22 That is essentially his surgery.

23 Q. So I am not talking about the surgery, I am talking
24 about the MRI?

25 A. At that point --

1 MR. ROSE: I have it if you want to hand it
2 up.

3 MR. FARRELL: Sure. That is fine.

4 Q. Doctor, chondral fissure is essentially --

5 A. It is the wrong one. We need the knee. You gave
6 me the femur. I have it. I found it. I found it. Thank
7 you.

8 Q. Chondral fissure is essentially is a crack in the
9 cartilage, correct?

10 A. He had a full thickness fissure which could be
11 considered a crack -- and I showed it to you on the MRI, you
12 know what it looks like -- as his major finding.

13 Q. And that can be caused by trauma, correct?

14 A. Yes.

15 Q. Also can be caused by sports injury or gradual wear
16 and tear?

17 A. Probably not gradual wear and tear. It could be
18 caused by an injury such as a sports injury. In this case
19 he has no preexisting history, but as a general principle,
20 yes.

21 Q. When you say he has no preexisting history, that is
22 based on a subjective history given to you by him?

23 A. I wouldn't say a subjective history, but that is a
24 history given by him.

25 Q. And also another finding that this small joint

1 fusion, that is essentially liquid or fluid on the knee
2 joint?

3 A. Yes.

4 Q. Would it be unusual to see findings like this on a
5 man in his 30's who works in the construction field absent a
6 traumatic event?

7 A. I would say it would be in the realm of
8 possibility. I wouldn't expect everyone who worked in
9 construction would have those MRI findings.

10 Is it possible that if you took an MRI of an
11 asymptomatic 35-year old gentleman that would be working in
12 construction would have it, yes. Would I expect the
13 majority to have it, no.

14 Q. What kind of conservative treatment have you done
15 for chondral fissure?

16 A. I can try therapy.

17 Q. Do you know whether at the time of this September
18 5th 2017 MRI and the November 2017 knee surgery if he had
19 any physical therapy in that time?

20 A. That I am not sure of.

21 Q. Based on the findings that you have seen from that
22 September 5th 2017 MRI, it was your recommendation at that
23 time B, to perform a surgery or to do some more conservative
24 treatment?

25 A. It is hard to say because I wasn't there to be

1 honest. So I think it is an excellent question, but it is
2 one I really couldn't answer because I wasn't there to see
3 the patient.

4 Q. Do you know whether he had any injections in his
5 knee in that time period, between the September 5th 2017 MRI
6 and the November 2017 knee surgery?

7 A. I don't think so, but I am not 100 percent sure.
8 But I don't think so.

9 Q. To the left knee surgery that you had gone over in
10 pretty well detail today, you weren't the surgeon who
11 performed the surgery, correct?

12 A. Yes. You asked me that.

13 Q. Did you request the operative photos from that
14 surgery?

15 A. No.

16 Q. You never actually seen what the inside of his knee
17 looks like?

18 A. Outside of the MRIs. No.

19 Q. And I believe we covered there was nothing sewn or
20 stitched up inside his knee, correct?

21 A. I said that. You asked me that already, yes.

22 Q. Now, I want to direct your attention to the
23 September 8th 2020 MRI report of his left knee, do you have
24 a copy of that in your file?

25 A. I do. I have to find it. I was more organized,

1 but I am discombobulated from going up and down.

2 I would ask that you just hand me the MRI so I
3 don't have to waste my time looking through it.

4 MR. FARRELL: I can do that. I have a copy of
5 it.

6 A. I am looking at it.

7 Q. Okay, with regard to the cartilage loss in the
8 central trochlea, was there a finding with regard to what
9 that was like compared to the prior MRI?

10 A. Yes, they said it was improved.

11 Q. So the cartilage loss in his knee when you looked
12 at, when the September of '20 MRI was done, had improved
13 over the prior MRI?

14 A. Based on the MRI it did improve.

15 Q. Is it your opinion that would have been based on or
16 because of the surgery would cause that improvement?

17 A. That would be the most likely conclusion.

18 Q. Were there any other findings in that September
19 8th, 2020 left knee MRI that showed improvement over the
20 prior MRIs?

21 A. Yes, the subchondral marrow edema and cystic
22 changes had resolved.

23 Q. That is improvement from the prior MRI?

24 A. I don't think it is a big issue, but it is
25 improvement.

1 MR. FARRELL: I will take that back from you.

2 Q. I want to draw your attention to the right shoulder
3 MRI from September 12, 2017?

4 A. That one I have right in front of me.

5 Q. Good. Again, no fraction seen in the bones of the
6 shoulder, correct?

7 A. No fraction. This is not a fraction case for the
8 shoulder, the knee or the thigh.

9 Q. Did you request the intraoperative photos for the
10 right shoulder surgery?

11 A. I did not.

12 Q. You made reference, I believe in your direct
13 testimony, that he had a slight tear in his rotator cuff; is
14 that correct? Is that the terminology?

15 A. On the MRI?

16 Q. On the MRI, correct. So it wasn't a full tear on
17 the rotator cuff, correct?

18 A. Correct.

19 Q. Can a slight tear also be referred to as a fraying?

20 A. It can. I don't think that is what they were
21 saying here. They didn't use the word fraying, but so they
22 can be -- I wouldn't say they are interchangeable, but
23 sometimes they could be interchangeable. Sometimes they
24 could not be interchangeable. I wouldn't say a small tear
25 is fraying, but sometimes a fray could be described as a

1 small tear.

2 Q. Can small tear or fraying be caused by a traumatic
3 event?

4 A. Yes.

5 Q. Can it also be caused by repetitive overhead
6 motions of a shoulder?

7 A. As a general principle, yes.

8 Q. Would it be uncommon for a 30-year-old iron worker
9 lifting heavy beams all day to have fraying or a slight tear
10 in their rotator cuff?

11 A. Plus minus. If we look statically at patients
12 under 40-years-old, so it is not quite analogous and they
13 have done the study. Essentially, they put a bunch of
14 people that were dead, right, because we are not going do it
15 on people that are alive and looked at their rotator cuffs
16 for a full tear. Again, that wasn't a full tear. I am
17 saying that with that caveat. If you looked at patients
18 under 40 with a full tear it is essentially zero percent.

19 Q. Do those studies involve people that are in the
20 industry working as iron workers?

21 A. They are dead.

22 Q. When they were alive, were they working as iron
23 workers?

24 A. I don't think they categorized them by job. And so
25 that is why I said it is with that caveat, but just to give

1 you an idea for most people under 40 the chance of having a
2 full rotator cuff tear is essentially close to zero.

3 Q. Right, but the question was, would it be uncommon
4 for a iron worker in his 30's who repetitively lifted steel
5 beams over his shoulder to have fraying or slight tears in
6 his rotator cuff?

7 A. So I said to that answer I said plus minus.

8 Q. Okay. The left knee surgery, I believe you had
9 mentioned -- well, what types of incisions were done with
10 the left knee surgery?

11 A. It is typically two arthroscopic portals.

12 Q. We you say portal, can you estimate, approximately,
13 the size of those? Indicating like two inches, give or
14 take? One inch?

15 A. Inch and a half. Every one is a little different.

16 Q. After the surgery the portals are stitched or how
17 is that closed?

18 A. I close them with stitches.

19 Q. Okay, can you estimate how many stitches it would
20 take to close the portal?

21 A. One to two. I put them on the inside so you don't
22 see them and you don't have to take them out.

23 Q. As to the shoulder surgery, how many incisions, in
24 this particular case, how many incisions are we talking?

25 A. I think I did three. I don't remember

1 specifically. I typically do three for this kind of
2 surgery.

3 Q. Would those portals be similar in size to what we
4 are looking at in a knee surgery?

5 A. Maybe a little bigger on the lateral portal of the
6 shoulder because the instruments are bigger.

7 Q. Okay.

8 A. But it is not a large --

9 THE COURT REPORTER: I'm sorry, I didn't hear
10 you.

11 A. He is trying to basically say that they didn't have
12 any large incisions like what you see in a knee replacement.
13 The answer is they did not.

14 Q. And I don't want to put words in your mouth. I
15 believe you said talking about the right shoulder surgery
16 now, the labral tear was debrided, correct?

17 A. Correct.

18 Q. And that is essentially like trimming away of
19 pieces of the labrum so they don't get caught or cause pain?

20 A. Yes.

21 Q. There was also a bursectomy performed in this
22 procedure, correct?

23 A. Correct.

24 Q. And can you explain that? I don't think it came
25 out during the anatomy of the shoulder discussion what the

1 bursa are in the shoulder?

2 A. I thought I did, but.

3 Q. Maybe you did.

4 A. Basically the bursa is a potential space. If we
5 think of what this means, it is very difficult. I remember
6 when I was in medical school trying to understand what a
7 bursa was. But essentially you have a tendon and then you
8 have space and then you have your Acromion and between those
9 is a bursa. And that could be inflamed and then we take
10 that out during surgery.

11 Q. An inflamed bursa, is that what bursitis is?

12 A. Bursitis is the concept of the pain of that.

13 Q. And bursitis would be caused by trauma; I would
14 assume?

15 A. Yes.

16 Q. Can it also be a symptom or can it be caused by
17 overhead repetitive motions?

18 A. Yes. Again, in this case, by his history, so we
19 knocked that out of the box, there was no history of that
20 that he was working as a construction worker before this,
21 but as a general principle, yes.

22 Q. I want to direct your attention to the right
23 shoulder MRI from September 8th, 2020.

24 A. All right.

25 Q. And similar to the questions about the -- is it not

1 true that the right shoulder MRI from September of 2020
2 indicates that the appearance of your shoulder has improved
3 since the prior examination?

4 A. Yes. So what happened was I received the MRI
5 report from Lenox Hill Radiology that I ordered and I wanted
6 to make sure in his case what they were seeing. And so I
7 called the radiologist and I spoke to him about the report
8 and we went over it together and he issued the addendum that
9 you are speaking about now that shows that there could be a
10 small recurrent tear in the labrum. Overall appearance has
11 improved since the prior examination and that they could see
12 the shaved down bone which is called acromioplasty.

13 Q. And is it correct that the frayed appearance in the
14 labrum could be evidence of a surgery that they did as
15 opposed to a new tear?

16 A. Right, so that is why I said it is difficult to
17 read MRIs after surgery because, what I tell my patients is,
18 -- and you are not going to be able to type this. If you
19 have a tear here, I cleaned up the tear. When you take a
20 new MRI it is still looks like it is torn. So he wrote that
21 it could either be some new tears or it could be the result
22 of his debridement.

23 Q. So if I understand that correctly, there is no
24 definitive evidence of new tearing?

25 A. Correct.

1 Q. You issued a report in this case on November 15 of
2 2022, correct?

3 A. Yes.

4 Q. Okay and in that report there is a section called
5 "comment" on the last page; do you see that?

6 A. No, because I have like five million pages here. I
7 am trying to find it.

8 Q. Well, let's cut to the chase.

9 A. I have it.

10 Q. You see the section called "comment"?

11 A. Yes.

12 Q. And what was your comment as of the November 15,
13 2022 report that you issued?

14 A. That he is a candidate to do the right shoulder
15 surgery again.

16 Q. He was a candidate at that point for revision of
17 the right shoulder. And I know you were asked by
18 plaintiff's counsel whether that would be the same surgery
19 or not and I believe you answered and correct me if I am
20 wrong, that it might be the same surgery; is that fair to
21 say?

22 A. I said it would be similar. In other words it
23 would be right shoulder arthroscopy. I am not saying I am
24 giving him a new shoulder. I would do the right shoulder
25 arthroscopy and then as I said before, depending on the

1 intraoperative findings I would do whatever I needed to do.

2 Q. Okay, so fair to say once you were in there if you
3 had to veer off to do something different because of what
4 you saw you would?

5 A. Yes.

6 Q. So, but --

7 A. I wouldn't say that because that makes it sound
8 like I wouldn't be prepared and I was going on a different
9 plan. I would have my plan in place and address it
10 accordingly.

11 Q. Understood. As of the time of your November of '22
12 report, the revision surgery that you were saying he could
13 be a candidate for would be another surgery where there is
14 no screws or anchors implanted; is that fair to say?

15 A. No screws. I don't know about anchors. Depending
16 on what I found.

17 Q. You have recently examined the plaintiff again,
18 April 30th, actually, which was last week, I believe,
19 correct?

20 A. Yes, correct.

21 Q. Now, you issued a report after that examination,
22 correct?

23 A. I did.

24 Q. Of the same date?

25 A. Yes.

1 Q. All right, now, in this report from April of 2025,
2 you put forth a plan on the last page, correct?

3 A. I have to find it now.

4 Q. Page 4 of the report.

5 A. Yes.

6 Q. What was your plan as of last week?

7 A. To get updated MRIs of his shoulder and knee.

8 Q. Okay, in your April of 2025 report, is there any
9 indication that he is being recommended for any revision
10 surgery?

11 A. It is not in the report, however, the purpose of
12 getting the MRIs is to educate about potential surgery.

13 Q. Educate about potential surgeries, correct?

14 A. He is a candidate. So I just want to be clear so
15 that is no misunderstanding. I have seen the patient for
16 five years intermittently. I have tried to treat him
17 conservatively and he hasn't gotten better. He has been a
18 candidate for revision shoulder surgery for a long time, we
19 have talked about it. We don't have the updated MRI so
20 before I said I would do surgery again, I want to see an
21 updated MRI. Just so we had a clear plan going forward.

22 Q. As we sit here today though, those MRIs have not
23 been conducted as far as you know?

24 A. From last week? No, they have not been conducted.

25 Q. So you haven't had a chance yet to review any

1 revised MRIs from -- that were suggested last week, correct?

2 A. I wouldn't use the word revised MRIs. I would say
3 I did not get a chance to view any updated MRIs. Revise
4 sort of means like you revise a report. Updated means, look
5 he hasn't had an MRI in a while. Just like all of us, we
6 have new MRIs before we have surgery.

7 It doesn't negate the fact that he needs shoulder
8 surgery, but it just part of the plan.

9 Q. Right. Is there any potential that findings would
10 come out on that MRI that changes the plan?

11 A. Unless it showed horrendous arthritis. Meaning the
12 arthritis was so bad that the shoulder scope wouldn't work,
13 I would say no. In that case you need a shoulder
14 replacement. I think the chances of that happening are
15 close to zero.

16 Q. Is there any chance that a revised MRI would show
17 improvement in his right shoulder?

18 A. So again, on the updated MRI, I would say it could
19 be improved. The unfortunate problem is you still have a
20 36-year-old gentleman who used to be a construction worker
21 who still has shoulder pain and can only lift his arm up
22 half way and still has pain on his exam and that hasn't
23 changed.

24 Q. Okay, the question though was --

25 A. Right, so I am saying even with the updated MRI and

1 I thought I answered, but I probably wasn't clear so I will
2 say it again. If his MRI showed improvement you still need
3 the surgery because it would still be the same person in the
4 same pain. You said could it change your opinion and I said
5 yes. If his MRI showed tremendous arthritis and you needed
6 a shoulder replacement instead of a shoulder arthroscopy,
7 that would change what I would do, but I think the chances
8 of that are close to zero.

9 That is why I didn't put it in my reports that I
10 think he is going to need a shoulder replacement because I
11 don't really believe that and I am not going to put down
12 something just to put down something.

13 Q. But you also didn't put in your April of 2025
14 report that he is a candidate for revision shoulder surgery,
15 did you?

16 A. No. So I want to be clear, I didn't put that in
17 because I would say if I am getting an updated MRI we should
18 have that before I say he is going to need surgery, just as
19 a matter of fact, but it didn't negate that I still -- if I
20 thought he didn't need shoulder surgery I wouldn't bother to
21 get the MRIs.

22 Q. But, we don't have --

23 A. We can do the --

24 THE COURT REPORTER: One at a time, please.

25 Q. But we don't have the results of the MRIs yet, do

1 we?

2 A. We can do the chicken and the egg all day but my
3 opinion doesn't change.

4 MR. FARRELL: Thank you, sir. I have nothing
5 else for this witness.

6 MR. ROSE: Yes, your Honor.

7 REDIRECT EXAMINATION

8 BY MR. ROSE:

9 Q. The term clinical correlation, what does that mean
10 to the thigh?

11 A. That the thigh can indeed correlate with the
12 patient's either history or physical examination.

13 Q. How does that term apply as it relates to the
14 medical causation question regarding Mr. Vivanco's injuries
15 from this accident?

16 A. I don't understand what you are saying.

17 Q. Okay, so counsel was asking you questions about
18 work in the field of being an iron worker, for example?

19 A. So you have to --

20 Q. Do you understand what I am getting at now?

21 A. Yes. You are asking me essentially did I think his
22 injuries are causally related from this accident or from
23 potential repetitive use as a construction worker. I think
24 that is what you are trying --

25 Q. Well, I was hoping that you would say that

1 yourself, but yes.

2 A. So you have to make decisions, right, in life and
3 the patient is a 35-year old gentleman. And again, by his
4 history, I said this three times already, he had no
5 preexisting problems. He was working as a construction
6 worker. It is not like they said he is taking time off
7 every other week because he couldn't lift up his arm or he
8 couldn't climb up a ladder.

9 He then has a huge traumatic injury to the point
10 that he is in a hospital for six days. A 4,000 pound beam
11 hits him in the leg. You saw the pictures of the leg. I
12 don't think that his impetus or his issues, his surgery and
13 those finding are related to repetitive use. I think they
14 related to his accident.

15 MR. ROSE: Thank you.

16 MR. FARRELL: Nothing else, your Honor.

17 THE COURT: Okay, thank you very much.

18 Okay jurors, I am going to make our reporter
19 very happy, that is it for today. Tomorrow we will have
20 a full day. And when I say a full day, we are going to
21 start at 9:30 and then I don't know when it will end.
22 Today was a definite end at this time for us.

23 Okay, so please continue to keep an open mind.
24 Please do not talk about this case amongst yourselves or
25 with anyone else. Get home safe. I know it is rainy.

1 The Knicks play tonight at seven.

2 And so I will see you tomorrow morning.

3 Please be in the jury deliberations room no latter than
4 9:30. 9:30. All right, thank you.

5 COURT OFFICER: All rise. Jury exiting.

6 (Whereupon, the jury exits the courtroom and
7 the trial is adjourned for the day.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25