

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPERIOR COURT OF NEW JERSEY
LAW DIVISION:UNION COUNTY
DOCKET NO: UNN-L-1873-23

MAHMOUD ABURADWAN, :
Plaintiff, :
vs. :
PROGRESSIVE INSURANCE COMPANY, :
et al, :
Defendants. :
- - - - -

Remote De Bene Esse Deposition of ALEXIOS
APAZIDIS, MD, taken in the above-mentioned matter
before Michelle Gruendel, a Certified Court
Reporter and Notary Public of the State of New
Jersey, taken remotely via Zoom, on
January 13, 2026 commencing at 1:15 p.m.

ROSENBERG & ASSOCIATES, INC.
Certified Court Reporters & Videographers
23 Vreeland Road
Florham Park, New Jersey 07932
(973) 228-9100
www.RosenbergandAssociates.com

2	1 A P P E A R A N C E S: (VIA ZOOM) 2 CAHN & PARRA, LLC 3 BY: HAROLD A. PARRA, ESQ. 4 1015 New Durham Road 5 Edison, New Jersey 08817 6 732-650-0444 7 E-MAIL: HParra@cahnparra.com 8 For the Plaintiff, Mahmoud Aburadwan 9 10 MORGAN, AKINS & JACKSON, LLC 11 BY: OLIVER BROOKS, ESQ. 12 The Graham Building 13 30 S. 15th Street, Suite 701 14 Philadelphia, Pennsylvania 19102 15 267-428-6943 16 E-MAIL: OBrooks@morganakins.com 17 For the Defendant, Progressive Insurance Company 18 19 A L S O P R E S E N T: (VIA ZOOM) 20 21 CHRISTOPHER KAUFFMANN, VIDEOGRAPHER 22 23 24 25	4
3	1 I N D E X 2 WITNESS EXAMINATION BY PAGE 3 ALEXIOS MR. PARRA 5, 43, 221 4 APAZIDIS, MD 5 MR. BROOKS 14, 133, 236 6 7 E X H I B I T S 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25	5
	1 E X H I B I T S 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25	

6 1 A. Yes. My name is Alexios Apazidis. I'm part 2 of Precision Pain & Spine Institute of New Jersey. 3 Q. And if you could please, for the benefit of 4 the jury, give the jury your educational 5 background. 6 A. Sure. I graduated from Harvard University in 7 1994. I was commissioned in the United States 8 Army. After completing my Army service I went to 9 medical school at Boston University School of 10 Medicine. I graduated in 2004. After getting my 11 MD I went on to do an orthopaedic residency 12 program, which is approximately five years. After 13 that, I went on to do my fellowship in spine 14 surgery at the Hospital for Joint Diseases at NYU 15 School of Medicine. When I graduated from that, I 16 then went on to start in private practice working 17 for a hospital and get my board certification by 18 the American Board of Orthopaedic Surgeons. 19 Q. What does it mean to be board certified? 20 A. The board certification process involves -- 21 first, you must complete the -- a qualified 22 residency program, which I did, and then for the 23 first two years of practice you collect all of your 24 work, all of your patients, all of your cases. 25 These are presented to the American Board of	8 1 again, continuously active and unrestricted the 2 entire time. 3 Q. Okay. Has your license ever been on a 4 probationary status? 5 A. Yes. In New York, from 2015 to 2018, I did 6 serve a 36-month probation due to earlier -- back 7 in 2010, 2011, a loss of medical records, or 8 failure to maintain proper medical records. 9 Q. Okay. And was that associated with a 10 particular hospital? 11 A. Yes. It was my first job out of fellowship. 12 I was working for a hospital-based practice. That 13 was when everyone was converting paper charts to 14 electronic documents and the conversion process had 15 some problems and we lost huge chunks of a lot of 16 charts and the paperwork. So obviously when you 17 don't have the, you know, intake forms and pain 18 diagrams and everything that we normally keep as 19 part of a medical chart, that creates problems for, 20 you know, your treatment protocols and everything 21 you've done for the patient. Although I did create 22 the records, the failure to maintain the records by 23 my employer did ultimately fall on my 24 responsibility, and I elected at that point to 25 leave my employment. I started my own practice,
7 1 Orthopaedic Surgery in Chicago. They review all of 2 your files and cases, and then you do take a 3 written exam, which I passed, and then once they 4 review all the cases, you -- I was -- you have to 5 go to Chicago and present yourself in front of a 6 board of orthopaedic surgeon specialists and defend 7 everything that you've done, answer all their 8 questions, and if they deem that everything has 9 been done and answered appropriately, you're then 10 given board certification, and then you enter into 11 the maintenance of certification process, which 12 involves yearly answering questions, yearly 13 submitting again all of your work, all of your 14 cases, and it follows a 10 year cycle. I was 15 recertified a couple of years ago, and I'm in my 16 second cycle of recertification now, which extends 17 to 2032. 18 Q. Thank you, Doctor. 19 And are you currently licensed to practice 20 medicine here in the State of New Jersey? 21 A. Yes. I have a license in the State of New 22 Jersey. License has always been in good standing 23 the entire time. I also have licenses in Florida, 24 where, again, it's always been in good standing, 25 and I have a license in New York, which has been,	9 1 where I've maintained my own medical records since 2 that time and never had any issues. 3 Q. Okay. So have you had any problems since 4 then? 5 A. No. There's been absolutely no -- no actions 6 or issues since that time. 7 Q. Doctor, can you tell the jury what kind of 8 training you've had regarding orthopaedic surgery? 9 A. Sure. So I did five years of orthopaedic 10 surgery residency at University Hospital in Newark, 11 where we rotate through all of the specialties of 12 orthopaedics, including sports and pediatrics, 13 spine surgery, trauma, fracture surgery, joint 14 reconstruction and so forth, and after appropriate 15 rotations through those areas lasting five years, I 16 then served a year of subspecialty training only in 17 spine scoliosis and spine reconstruction surgery at 18 the Hospital for Joint Diseases. 19 Q. And Doctor, what kind of an experience do you 20 have? How many -- for example, how many patients 21 have you treated over the years? 22 A. Well, I mean, I see an average of, you know, 23 one to 150 patients a week. That's been going on 24 for the last 15 years, you know. Spine surgeries 25 can be anywhere from three to six to seven, you

10 1 know, spine surgeries a week, and again, that's 2 been going on for the last 15 years, and it's a 3 combination of, you know, cervical and lumbar spine 4 surgeries, you know, including scoliosis surgeries, 5 both adolescent scoliosis, as well as adult 6 degenerative or adult reconstructive or scoliosis, 7 as well as taking call at several hospitals, 8 including Level I trauma center, where we deal with 9 spine fracture, fracture dislocations, spinal cord 10 injuries. We've, you know, been dealing with a lot 11 of that. 12 Q. Have you published at all? 13 A. Yes. So I'm part of an orthopaedic surgery 14 training program. I teach residents and help them 15 with their research. So we have a paper submitted 16 right now on a condition called diffuse idiopathic 17 hyperostosis. We had a paper accepted last year on 18 prevention of spine -- spinal infections after 19 surgery. I published papers on various spinal 20 disorders, including Castellvi syndrome, on 21 reproducing imaging for spine surgery. I think 22 I've published about -- I don't know -- six or 23 seven articles in orthopaedics, including reviewing 24 scoliosis x-rays and standardizing that review, as 25 well as, you know, various other scientific-type	12 1 Q. Okay. And so did you have to take off of 2 that in order to present our testimony here today? 3 A. Yes. I rescheduled my -- my -- my surgical 4 cases for today. 5 Q. And Doctor, did our firm compensate you for 6 your time in order to be able to do that? 7 A. I believe so, yes. 8 Q. And do you know how much we paid your office 9 in order to take your time here today? 10 A. I -- I don't know. 11 Q. Okay. Doctor, I think you indicated earlier 12 what -- when, approximately, you joined the 13 practice of Precision Pain & Spine. 14 A. I started working there in December of 2023. 15 Q. Okay. And as a member of Precision Pain & 16 Spine did you at some point begin treating my 17 client, Mahmoud Aburadwan? 18 A. Yes. 19 Q. Now -- 20 A. In -- 21 Q. I'm sorry. 22 MR. BROOKS: Objection. Off the record. 23 THE VIDEOGRAPHER: Off the video record. 24 The time is 1:26 p.m. 25 MR. BROOKS: Harry, it sounds like
11 1 articles and basic science. 2 Q. Have you ever done any independent medical 3 examinations? 4 A. Yes. When I was employed by a hospital I did 5 perform what's called independent medical exams 6 for -- for patients. 7 Q. If you could quantify for purposes of the 8 jury's understanding, what percentage of your 9 income is derived from independent medical 10 examinations versus actually treating patients? 11 A. Like, I mean, over the last 15 years, less 12 than 1 percent would be based on doing independent 13 medical exams. Most of them -- I mean, 99 above 14 percent of my practice is treating patients. 15 Q. Now, Doctor, have you ever testified in court 16 before? 17 A. Yes. 18 Q. Okay. And approximately how many times over 19 the course of your career? 20 A. Probably around 15 or 16 times. 21 Q. Okay. And Doctor, if we weren't here today 22 taking your testimony, what would you be doing on a 23 typical day like today? 24 A. Today's my day to do surgery at Mercy 25 Hospital.	13 1 you're into direct at this point. Do you want to 2 offer him as a -- 3 MR. PARRA: Yeah. 4 MR. BROOKS: -- as a witness and allow 5 me to cross on -- 6 MR. PARRA: Yeah. I was gonna -- I was 7 just gonna take him -- I was just gonna relate him 8 to our case first and then present him as an 9 expert. 10 MR. BROOKS: That's fine. That's fine. 11 I just -- sometimes, as you've seen, even on my 12 end -- 13 MR. PARRA: Yup. Yup. 14 MR. BROOKS: -- you get on a roll. 15 All right. We can go back on. 16 THE VIDEOGRAPHER: Back on the video 17 record. The time is 1:27 p.m. 18 BY MR. PARRA: 19 Q. Doctor, I think we just asked you, as a 20 member of Precision Pain & Spine did you at some 21 point begin treating our patient, Mahmoud 22 Aburadwan? 23 A. Yes. In June of 2024. 24 Q. And did you review medical records in order 25 to treat Mr. Aburadwan?

14 1 A. Yes. 2 Q. And did you use all of your medical training, 3 experience, education and expertise that we just 4 previously discussed in your treatment of Mahmoud 5 Aburadwan? 6 A. Yes. 7 Q. Thank you. 8 MR. PARRA: So then at this point, 9 Doctor, we would move to admit Dr. Apazidis as an 10 expert in orthopaedic surgery at this time. 11 VOIR DIRE EXAMINATION BY MR. BROOKS: 12 Q. Doctor, my name is Oliver Brooks, and I have 13 some questions for you about your credentials. 14 Counsel asked you some questions about some 15 problems you had with your license in New York and 16 you gave us a brief overview of that. I'd like to 17 turn your attention to what we're going to mark as 18 Apazidis-1, which I'll share on my screen here. 19 Just let me know when you can see my screen. Are 20 you able to see my screen, Doctor? 21 A. Yes. 22 (Letter dated 6-11-25 to Alexios Apazidis, MD 23 from Katherine A. Hawkins, MD, Executive 24 Secretary, Board of Professional Medical 25 Conduct, 17 pages, was received and marked	16 1 MR. BROOKS: Okay. Let's go back on the 2 record, please, the video record, that is. 3 THE VIDEOGRAPHER: Back on the video 4 record. The time is 1:29 p.m. 5 BY MR. BROOKS: 6 Q. Okay. Doctor, I think you initially said 7 that your license in New York was continually 8 unrestricted but that you had some sort of a 9 probationary period. Did I hear that correctly? 10 A. Yes. Continuously active and unrestricted 11 the entire time. 12 Q. Okay. Let's take a look at what we see on 13 the screen here as Apazidis-1. Have you ever seen 14 this document before? 15 A. Yes. 16 Q. Okay. And this is a letter from the 17 Executive Secretary of the New York Board of 18 Professional Medical Conduct; is that correct? 19 A. Yes. 20 Q. Okay. And I'm gonna -- I'm gonna move 21 forward to page 3 of this document. Are you still 22 able to see my screen clearly? I can make it a 23 little bigger if it's too small. Sometimes it's 24 small on the other end. 25 A. No. I can see it clearly.
15 1 Apazidis-1 for identification.) 2 BY MR. BROOKS: 3 Q. Okay. And for the record -- 4 MR. PARRA: I'm just gonna -- objection, 5 first of all. 6 THE VIDEOGRAPHER: Off the video record. 7 The time is 1:28 p.m. 8 MR. PARRA: Okay. My objection is just 9 to actually showing this to the jury and at this 10 point, you know, we would object to the actual 11 publishing. 12 MR. BROOKS: Well, I do intend to 13 publish this to the jury. That, I suppose, will be 14 the topic of a motion that the judge will rule on. 15 MR. PARRA: Right. 16 MR. BROOKS: But for now, I don't think 17 it changes what we do here. 18 MR. PARRA: Okay. Yup. No, it does 19 not. 20 MR. BROOKS: For the record -- yeah. 21 And for the stenographic record, I do intend on 22 publishing this to the jury. We'll address that 23 with the judge, I guess, probably shortly before 24 trial starts. 25 MR. PARRA: Very good.	17 1 Q. Okay. And we can see at the top it's 2 captioned In the Matter of Alexios Apazidis, MD, 3 consent agreement; is that correct? 4 A. Yes. 5 Q. Okay. And the very sort of first line of 6 this document indicates that you, Alexios Apazidis, 7 you consent that all of the statements contained in 8 this document are true. Did I get that right? 9 A. Yes. Yes. 10 Q. Okay. And the last sentence of the page 11 indicates that you agree not to con -- to 12 contest -- excuse me -- any of the specifications 13 of misconduct that are set forward in this consent 14 agreement. Did I also get that correct? 15 A. Yes. 16 Q. Okay. And let's move forward a page here to 17 the top of the next page, which indicates that your 18 license to practice medicine in New York State 19 shall be suspended for 36 months. Do you see that? 20 A. Yes. 21 Q. Okay. So, in fact, your license as a doctor 22 to practice medicine in the State of New York was 23 suspended for a period of three years? 24 MR. PARRA: Objection. 25 THE VIDEOGRAPHER: Off the video record.

18 1 The time is 1:32 p.m. 2 MR. PARRA: You got to read the whole 3 thing. It says with the entire 39 -- 36 month 4 period stayed. 5 MR. BROOKS: Okay. It's my line of 6 questioning, Harry. He can -- he can answer the 7 questions as I present them to him. Again, I did 8 say I was gonna -- I intend to publish this to the 9 jury so that they can read what's here. 10 MR. PARRA: Well, I can't -- I can't 11 allow you to just read a half a sentence on there. 12 He's got to be permitted to read the whole 13 sentence. 14 MR. BROOKS: I guess we'll let the judge 15 determine that. 16 MR. PARRA: Well, or we'll let the 17 witness just answer that. 18 MR. BROOKS: Well, no. It's my -- he 19 answers my questions. 20 MR. PARRA: Okay. 21 MR. BROOKS: Let's go back on the video 22 record, please. 23 THE VIDEOGRAPHER: Back on the video 24 record. The time is 1:33 p.m. 25 BY MR. BROOKS:	20 1 though, right? 2 A. Yes. 3 Q. Okay. And I'm gonna scroll all the way to 4 the 10th page of this document, and that's -- it's 5 redacted. That's how we got this. I didn't do 6 that, but that's a signature line with -- where 7 your signature would be; is that right? 8 A. Yes. 9 Q. Okay. We can kind of see a little bit poking 10 through, but do you recall signing this document? 11 A. Yes. 12 Q. Okay. Go down to -- well, first I have a 13 couple questions. 14 You told the jury a few minutes ago that the 15 suspension was because of records keeping; is that 16 right? Some records were lost in electronic 17 transfer from paper to electronic, something like 18 that? 19 A. Yes. Failure to maintain proper medical 20 records was the violation. 21 Q. Okay. There was, in fact, more than that 22 that resulted in this 36-month suspension, too. It 23 also had to do with the improper prescription of 24 scheduled controlled substances, specifically, 25 ketamine and oxycodone; is that right?
19 1 Q. So Doctor, I'm gonna reask you the question. 2 According to this document, which you've 3 already told us you agreed was true and that you 4 would not contest any of the allegations in it, 5 your license to practice medicine in the State of 6 New York was suspended for a period of 36 months, 7 three years, right? 8 A. My understanding is the term is suspended, 9 stayed with probation. 10 Q. Okay. And if we scoot down a little bit 11 lower on the page to the third paragraph, you were 12 also fined an amount of \$50,000 for the misconduct; 13 is that right? 14 A. Yes. 15 Q. Okay. Did you pay that? 16 A. Yes. 17 Q. Okay. And in addition to that, if we go down 18 to the next page, you also had to report to what's 19 called the Physician Monitoring Program; is that 20 right? 21 A. Well, I -- I didn't report to the monitoring 22 program. I had a practice monitor who monitored 23 the medical record system that I maintained. 24 Q. Okay. You did have to prove that you paid 25 your fine to the Physician Monitoring Program,	21 1 A. Well, yes, but everything related back to the 2 lack of the medical records to justify our 3 treatment plans and what we actually treated the 4 patients with. 5 Q. Okay. I'm gonna draw your attention to 6 what's the 12th page of this same document we've 7 been looking at. It indicates that between July of 8 2011 and January of 2013 you issued prescriptions 9 to patients numbering one through 28 for a topical 10 ketamine gel; is that right? 11 A. Yes. This is a topical agent. It's not a 12 pill. It has no abuse potential, and this is for 13 pain, for musculoskeletal pain. 14 Q. Okay. You do know that the United States 15 Department of Justice has scheduled ketamine as a 16 product that can have a potential for abuse and 17 that its effects are not dissimilar to the street 18 drug PCP; you're aware of that? 19 A. This is a gel. It's not ingestible. It does 20 not have any of those abuse potentials of other 21 formulations of the same agent. 22 Q. Okay. According to you, right? 23 A. Well, it's a gel. It cannot be ingested, and 24 the ketamine is dissolved within the body of the 25 gel and it can only be applied to the skin.

22 1 Q. Okay. And if we look down at -- a little bit 2 further, it indicates that your prescription of 3 this controlled substance was done without adequate 4 indication and that you failed to screen these 28 5 patients for risks associated with prescribing the 6 controlled substance, ketamine; is that right? 7 A. Well, if you don't have the medical records, 8 then you cannot have the adequate screen and the 9 adequate, you know, reason why you prescribed it, 10 so the problem was we didn't have the medical 11 records of the patient's pain profile, my 12 assessment of the patient, my -- the patient's 13 description of their problem. We didn't have the 14 charts in place to -- to demonstrate why this 15 topical agent was prescribed. 16 Q. Okay. And do you know what -- what is or who 17 is Optimal Pain Control? 18 A. Well, I see here on the paper Pain Control 19 Franklin Pharmacy. It's, I guess, the commercial 20 name for Franklin Pharmacy. 21 Q. Do you know what that is? 22 A. It's a pharmacy. 23 Q. Okay. And that's the pharmacy in Alabama 24 that you wrote 264 different prescriptions for this 25 controlled substance, ketamine, which ultimately	24 1 correct? 2 A. Well, it's a little unclear. To a New York 3 pharmacy, you must use the state-issued pad. To an 4 out of -- out-of-state pharmacies do not require 5 the state-issued pad. 6 Q. I understand that, Doctor, but that wasn't my 7 question. 8 To issue a prescription in the State of New 9 York you have to use a New York prescription pad? 10 That's to issue medications to a person in New York 11 using a prescription, you need to use a New York 12 prescription, correct? That's what this New York 13 State Department of Health Regulation 10 NYCRR 14 Section 80.69 refers to, right? 15 A. Yes. Failure to use a New York State 16 prescription pad, yes. 17 Q. And beyond the ketamine issue, you were found 18 to have prescribed oxycodone to a man in Florida 19 whom you had neither evaluated, nor treated; is 20 that also correct? 21 A. Yes. This was a unique situation. I was 22 called by a family physician who I knew and worked 23 with. She informed me that her patient, who she 24 knew for years, was in Florida on business and 25 called her desperately, that he couldn't walk, he
23 1 contributed to your 36-month suspension from the 2 practice of medicine; is that right? 3 A. Well, yeah. I guess out of the 150 patients 4 a week that I was seeing during that time, this is 5 the pharmacy that compounded this special topical 6 agent. 7 Q. Okay. And if we go down, let's skip a little 8 further to the next page. It indicates that you 9 willfully and gross negligently failed to use an 10 official New York State prescription when 11 prescribing that medication, namely, ketamine; is 12 that right? 13 A. Well, this pharmacy was not within the State 14 of New York. I had a customized prescription pad 15 which -- with the -- the ingredients in this 16 topical agent included, you know, between six and 17 eight different ingredients, so I had a preprinted 18 customized pad, and because the pharmacy was not in 19 the State of New York, New York being one of the 20 few states that has their own state-issued pad, 21 this pharmacy did not require that. 22 Q. Okay. But to practice medicine in the State 23 of New Jer -- in New York, pardon me, and to issue, 24 specifically issue a prescription for medication in 25 New York, you got to use a New York prescription,	25 1 was experiencing severe pain and weakness of the 2 extremities. She asked if I would contact him. I 3 did. We got him transported to Tampa General 4 emergency room. He got an emergency MRI through my 5 people that I knew there, and he was evaluated 6 there. I was in touch with the ER there. They 7 told him that he had a disc herniation, that he 8 needed surgery. He was down there alone. He 9 didn't want to have surgery there alone. I had to 10 arrange for his transportation back to New York. 11 He could not fly. He couldn't even sit up, so he 12 had to be driven. He had a family member basically 13 drive him in the supine position from Florida back 14 to Long Island. Obviously the ER only gives a 15 few -- per their policy, only gives a few tablets 16 for pain control. I arranged for him to have pain 17 medicine so he could have a more comfortable 18 journey back to Long Island. I got him back to 19 Long Island. I took care of him. He's walking 20 now, no problem. This was obviously years ago. 21 And, yes, I did issue him these medications so he 22 could have a more comfortable journey, you know, 23 back so I could take care of him. Although I did 24 not examine him, I was in touch with all the 25 doctors down in Tampa that were taking care of him.

26 1 I understand it's not appropriate to issue 2 medication to a patient I haven't personally seen 3 and examined. This was a very extraordinary 4 singular situation. Has never happened to me 5 before and certainly not since. 6 Q. Okay. You didn't expect that it would take 7 him 30 days to travel from Florida back to see you, 8 though, did you? 9 A. No. Again, this is 2011. The way we used to 10 write for these prescriptions was in, you know, 30 11 day, you know, 30 day cycles, and this issue -- 12 this prescription was, you know, was issued under 13 that. Since that time the entire policy of pain 14 medication writing has completely changed. We now 15 prescribe typically five day or seven day cycles, 16 depending on the circumstance, but the prescription 17 practices have completely changed, obviously, since 18 the greater understanding from 2010 till now. 19 Q. And you told us that this individual whom you 20 had not treated or evaluated was actually at a 21 major hospital, Tampa General Hospital, where he 22 was receiving -- he received an MRI and was under 23 the care of the physicians there when you wrote 24 this prescription; is that correct? 25 A. Yes.	28 1 prescribe controlled substances as part of the 2 medical discipline that we're talking about here 3 today, right? 4 A. Yes. 5 Q. This consent order that outlines the issues 6 with your license to practice medicine in the State 7 of New York, this would have been hosted on the 8 Professional Medical Conduct website; is that 9 right? 10 A. Yeah. I think it's the Department of Health 11 website. 12 Q. Correct. Correct. 13 And it also would have been published or 14 posted on the National Practitioner Data Bank, as 15 well -- 16 A. Yes. 17 Q. -- right? 18 Okay. And also posted with the Federation of 19 State Medical Boards, as well, right? 20 A. I don't know. I know it's on the National 21 Provider Data Bank. 22 Q. Okay. I'm gonna pull up another document for 23 you here. I'm gonna mark this as Apazidis-2. I 24 am -- I do intend to publish this to the jury. I'm 25 gonna share my screen. Just let me know when you
27 1 Q. Okay. At the bottom of this page, again, 2 this document which you previously agreed to the 3 validity of, it indicates that you committed 4 professional negligence on more than one occasion. 5 Do you see that? 6 A. Yes. 7 Q. And it also indicates that you admitted to 8 committing -- or being incompetent on more than one 9 occasion. Do you see that, as well? 10 A. Yes. 11 Q. Okay. If we go to the next page, it 12 indicates that you admit to willful and gross 13 negligently failing to comply with substantial 14 provisions of a state regulation governing the 15 practice of medicine. You also see that? 16 A. Yes. 17 Q. So you told us about having a practice 18 monitor assigned to you as a result of this, this 19 medical disciplinary action here. Is it -- is it 20 correct to call a practice monitor a supervising 21 properly licensed physician? 22 A. He is a properly licensed physician in good 23 standing. I'm not sure about the supervising part. 24 Q. Okay. And you had to take a continuing 25 medical education course on how to properly	29 1 can see my screen. 2 MR. PARRA: Same objection as previous. 3 We don't need to go off the record. 4 MR. BROOKS: Okay. 5 (Subject Number 046-781, Temporary 6 Suspension of Dr. Alexios Apazidis's 7 Authorization to Treat Injured Workers 8 and to Perform Independent Medical 9 Examinations effective 6-24-15, two 10 pages, was received and marked 11 Apazidis-2 for identification.) 12 BY MR. BROOKS: 13 Q. Doctor, can you see my screen? 14 A. Yes. 15 Q. Okay. Have you ever seen this document 16 before? 17 A. No. I don't think I've seen this. 18 Q. Okay. Why don't you take a moment and 19 read -- read it over and see if that refreshes your 20 recollection. I'll represent to you you've been 21 questioned on this in another deposition. 22 A. I mean, this looks like the notice from the 23 New York State Worker's Compensation Board 24 indicating a temporary suspension of privileges 25 with the -- with the Worker's Compensation Board.

30 1 Q. Okay. And that means that as a result of the 2 medical discipline we've just been talking about, 3 that you were precluded from conducting the 4 independent medical examinations of injured workers 5 in the State of New York; is that right? 6 A. From treating or conducting independent 7 medical exams for any injured worker in the State 8 of New York, however, it actually made sure that I 9 was still responsible to testify and be responsible 10 for any reports or any work that I had done prior 11 to this, yes. 12 Q. Yeah. And I'll scroll down a little bit, 13 because I think I know where you're -- what you're 14 referring to at the bottom of this page. The 15 reports -- any reports that you wrote in the 16 context of worker's compensation in New York after 17 June 24, 2015, those would be considered invalid. 18 Is that what I read there, the last sentence? 19 A. Are valid, yes, but is in -- yes, but I did 20 not -- I did not see or treat any patient under the 21 worker's compensation system after this date. 22 Q. Understood. 23 So -- so you essentially stopped doing the 24 worker's compensation IMEs and evaluations; is that 25 right?	32 1 A. Oh, this is a little out of date. 2 Q. Okay. How out of date is this? 3 A. At least a year. 4 Q. Okay. Have you ever worked for nyspine.com, 5 the company that holds that website? 6 A. Yes. 7 Q. Okay. And when did you stop working for 8 them? 9 A. Approximately February, 2024. 10 Q. Okay. Let's take a look at the work 11 experience portion, sort of the beginning of your 12 CV here. I don't see any reference to Precision 13 Pain & Spine here. Is that because this version 14 that we were provided in discovery is out of date? 15 A. Yes. 16 Q. Okay. And when did you start working for 17 Precision Pain & Spine? 18 A. In December of 2023. 19 Q. Okay. I noticed that you post -- you 20 posted -- excuse me. I noticed that you included 21 on your work experience working for Beard Research, 22 Inc. Do you see that? 23 A. Yes. 24 Q. And that you were a marketing and business 25 planning consultant for five years. What is --
31 1 A. Yes. 2 Q. I have some questions about your CV. Do you 3 have a copy of your CV in front of you? 4 A. No. I can -- I can get it. 5 Q. I can pull it up for you, if that's -- 6 A. Okay. Yeah. 7 Q. -- helpful. 8 A. Yeah. Why don't we work with what you have. 9 Q. Give me -- give me a moment to do that. I'll 10 share my screen here. 11 MR. BROOKS: And for the record, I do 12 not intend to publish the CV to the jury. Since we 13 are using it, I'll mark it as Apazidis-3. 14 (Curriculum Vitae of Alexios Apazidis, 15 MD was received and marked Apazidis-3 16 for identification.) 17 BY MR. BROOKS: 18 Q. Can you see my screen? 19 A. Yes. 20 Q. Okay. A CV is a curriculum vitae. It's like 21 a professional resume. Can we agree on that? 22 A. Yes. 23 Q. Okay. And the copy of the C -- of your CV 24 that we were provided with lists your website as 25 www.nyspine.com. Why is that listed there?	33 1 what is that? 2 A. Well, when I graduated college I was -- I was 3 supposed to go into active duty military, but I was 4 put on Independent Ready Reserve, so I got a job 5 working in basically a consulting area. 6 Q. Okay. And I looked up Beard Research, Inc. 7 and it appears to be a pharmaceutical company; is 8 that correct? 9 A. No. 10 Q. What is it, then? 11 A. It was a small marketing company back in the 12 '90s. It basically went -- it closed when the, you 13 know, the dot-com bubble burst kind of thing. It 14 was a -- it was a -- just a small 12-15-man 15 consulting company. 16 Q. And my research shows that they were 17 marketing pharmaceuticals; is that right? 18 A. No. No. It wasn't -- you know, there might 19 have been some pharmaceutical projects. I actually 20 worked on orthopaedic projects, and that's what got 21 me interested in orthopaedics, and I ended up 22 going, you know, back to medical school to pursue 23 orthopaedics. 24 Q. I'll scan down. Under Active Research 25 Projects we have -- first thing listed here says

34 1 that you were involved in a Phase 2a, Randomized, 2 Double-Blind, Placebo-Controlled Study to Assess 3 the Efficacy and Safety of MT-3921. Do you see 4 that? 5 A. Yes. 6 Q. And that's a study to test the efficacy of 7 intravenous infusions that were developed by a 8 pharmaceutical company called Mitsubishi Tanabe 9 Pharma, right? 10 A. Yes. 11 Q. Okay. Are you still doing that? 12 A. No. Our -- our site -- we completed our -- 13 our portion of the -- of the research, our site was 14 closed. 15 Q. Okay. When did you finish that? 16 A. I think late '24 or early '25. 17 Q. Okay. Counsel asked you about your fee for 18 testifying today, and you indicated that you did 19 not know what you were being paid, so I'm gonna 20 pull up a document that shows that. I'm gonna 21 share my screen. I'm gonna mark this as 22 Apazidis-4, and I do not intend to publish to the 23 jury. Can you see my screen? 24 A. It's coming up now. 25 Q. Okay. Do you see the -- are you able to see	36 1 that, right? 2 A. Yes. 3 Q. Okay. Counsel asked you a few things about 4 your sort of yearly activities, and I'm not sure 5 that I caught this. How many lawsuit-related 6 medical reports do you write in a year? 7 A. Well, I guess -- I'm not sure what you mean 8 by that. I mean -- 9 Q. What part of that is confusing? I'm just 10 asking how many lawsuit-related medical reports you 11 generate in a given year on average. I don't need 12 a specific -- I don't expect you to have a pinpoint 13 accurate number, but in general. 14 A. I guess what do you mean by lawsuit-related? 15 I mean, I see about 150 patients a week. I write 16 progress notes for every patient, you know. Again, 17 I do between three to six spine surgeries a week. 18 I do about 25 or 30 arthroscopic procedures a 19 month, and I write reports for all -- you know, for 20 everything that I do. 21 Q. Okay. Well, my question was how many of 22 those reports that you're writing have to do with 23 lawsuits, not -- can we -- let me -- let me back 24 up. 25 Can we agree that not everyone you treat is
35 1 it now clearly? 2 A. Yes. 3 Q. Okay. And do you see it's Precision Pain & 4 Spine letterhead directed to plaintiff's counsel 5 dated 12-15-2025, right? 6 A. Yes. 7 Q. Okay. And it indicates that you're being 8 paid for your testimony \$1,000 per hour with a 9 minimum of \$3,000 -- minimum of three hours to be 10 paid; is that right? 11 A. Yes. 12 Q. Okay. And after you see that, does that 13 refresh your memory as to what you're being paid 14 for testifying? 15 A. I -- this is the first time I'm seeing this, 16 but if this is from the practice, then this would 17 be accurate. 18 Q. Okay. And this -- this fee of a thousand 19 dollars an hour, that's separate and apart from any 20 fees that you or Precision Pain & Spine would 21 have -- would have charged for treatment or 22 procedures regarding plaintiff; is that correct? 23 A. Yes. 24 Q. Okay. That's just the litigation portion of 25 what you're doing here today, just so I understand	37 1 involved in a lawsuit? 2 A. Yes. 3 Q. Okay. So what portion of those reports that 4 you just detailed for us has to do with cases that 5 are in lawsuits? 6 A. I'm not sure I would have any way of knowing 7 that. 8 Q. Okay. 9 MR. PARRA: Can we go off the record? 10 Q. The answer is you don't know? 11 A. Yeah. I mean, it's not really part of the 12 medical exam to -- I don't know who has a lawsuit 13 or who doesn't have a lawsuit, or, you know, if 14 somebody has a lawsuit later or -- I don't -- 15 there's not -- it's not really part of, you know, 16 the medical interview if they have a lawsuit or 17 not, so I wouldn't have any way of, like, keeping 18 track of that. 19 MR. PARRA: Could we go off the record? 20 Q. Some of the people -- 21 THE VIDEOGRAPHER: Off the video record. 22 The time is 2:01 p.m. 23 MR. PARRA: This is a question for 24 Chris. 25 Chris, while the doctor's giving his

38 1 testimony is this -- the fee testimony on the 2 screen the entire time? 3 THE VIDEOGRAPHER: It is, Counsel. 4 MR. PARRA: So -- 5 MR. BROOKS: I'll take it down. 6 MR. PARRA: -- we would object. We'd 7 object. First of all, we'd object to that being 8 published to the jury, but certainly for that. I 9 mean, that's -- we're just gonna have a -- 10 MR. BROOKS: Well, here -- 11 (Cross talk. Reporter clarification.) 12 MR. PARRA: If that is granted, we're 13 just gonna have a blank screen there while the 14 doctor testifies to all that. 15 MR. BROOKS: Okay. I took it down, and 16 by the way, I did say I did not intend to publish 17 that to the jury, anyway, so I just left it up 18 and -- 19 MR. PARRA: Right. I'm just -- I just 20 wanted to make sure, because, you know, we're gonna 21 have just a big white screen there while the 22 doctor's testifying. 23 MR. BROOKS: Okay. Well, let's -- give 24 me one second. Okay. We can go back on. 25 THE VIDEOGRAPHER: Back on the video	40 1 Q. Okay. Did you appear for -- to give 2 testimony in a trial, even if that's video-recorded 3 testimony for a trial any time in the year 2025? 4 A. Yes. 5 Q. How many times? 6 A. At least once. 7 Q. Okay. Apart from the worker's compensation 8 testimony that you just told us about, in the last 9 year have you appeared and given testimony in any 10 civil suit that you were not a defendant in? 11 A. Yes. 12 Q. Okay. Was it just that once that you just 13 told us about? 14 A. I'm sorry. In what period of time? 15 Q. In the last year. 16 A. Oh, in the last year. It was at least once 17 that I recall, because it was just this past 18 November. There might have been one other time in 19 2025. 20 Q. The work that you do in terms of serving as a 21 witness for civil litigation, do you do that for 22 both plaintiffs and defendants? 23 A. If I'm asked, yes. I have done -- I have 24 served as both the defense expert and for the 25 patients I've treated, yes.
39 1 record. The time is 2:02 p.m. 2 BY MR. BROOKS: 3 Q. Doctor, some of the patients that you treat 4 are involved in lawsuits, I think we can agree to 5 that, and my question is, of those who you know are 6 in lawsuits, how many of them are you generating 7 litigation lawsuit reports for, like what you did 8 in this case? 9 A. I mean, I wouldn't have any way to keep track 10 of that. 11 Q. Okay. Have you appeared for any depositions 12 in the year 2026, bearing in mind we're only about 13 two weeks into the year? 14 A. Yes. I've done four depositions for New York 15 State worker's compensation system. 16 Q. Okay. Any others? 17 A. No. 18 Q. Do you charge the same amount for those New 19 York worker's compensation depositions as you 20 charge here, a thousand dollars an hour? 21 A. The New York State Worker's Compensation 22 Board has a set fee for their depositions. 23 Q. Sure. And that's a lot less than a thousand 24 dollars an hour, isn't it? 25 A. Yeah. I think it's \$500 an hour.	41 1 Q. Okay. When is the last time that you served 2 as an expert for a defendant? 3 A. I mean, certainly several times before the 4 Corona epidemic and maybe once or twice since the 5 Corona epidemic. 6 Q. When you say that, what years are you talking 7 about? That can be quite a span of years. 8 A. Yeah. I -- yeah. It's hard, you know. I 9 don't really keep track of it, but, you know, 10 certainly before 2020, you know, probably five, 11 six, seven times, you know, between 2014 and 2020, 12 you know. It might be a little bit more. 13 Honestly, I don't remember. Since 2020, maybe once 14 or twice as a defense expert, and again, maybe once 15 or twice, maybe three times for, you know, patients 16 I've treated. 17 Q. Okay. 18 A. Something of that scale. I don't have the 19 exact number. I'm sorry. 20 Q. Sure. Let's talk about in the last five 21 years. What percentage of the time were you an 22 expert for defendant versus plaintiff? 23 A. Again, maybe once or twice as a defense 24 expert and two or three times for patients I've 25 treated.

42 1 Q. Can we agree on a few points here? I'm just 2 gonna rattle through them. Can we agree that you 3 are not a biomechanical engineer? 4 A. Yes. 5 Q. Can we agree that you are not an engineer of 6 any kind? 7 A. Yes. 8 Q. Can we agree that you are not a physicist? 9 A. Yes. 10 Q. Can we agree that you are not an accident 11 reconstruction expert? 12 A. Yes. 13 Q. Can we agree that you are not an 14 anesthesiologist? 15 A. Yes. 16 Q. Can we agree that you hold no degree specific 17 to pharmacology and you are not a pharmacist? 18 A. Yes. 19 Q. Okay. I don't have any more questions for 20 you on qualifications for voir dire. 21 MR. PARRA: Do you have an objection to 22 his being admitted as an expert in the field of 23 orthopaedic medicine? 24 MR. BROOKS: I'm not making that 25 objection, no.	44 1 A. Well, we have to understand if, you know, 2 there's a causality, if something, you know, caused 3 something, or if a patient had certain symptoms, 4 you know, they have to be corroborated by either a 5 traumatic history, or whether there's something 6 that was an insidious onset, you know, whether 7 something was spontaneous, whether something's been 8 slowly developing and so forth. 9 Q. And history is a medical term, right? 10 A. Yes. 11 Q. And what is a history, for the benefit of the 12 jury? 13 A. A history is where you ask a patient, you 14 know, when did the symptoms start, what -- was 15 there anything that caused the symptom to start 16 that you can determine, you know, what's the 17 intensity of your pain, for instance, frequency, 18 longevity, duration, what makes it better, what 19 makes it worse, you know, and then along with other 20 things, like what's your medical background, are 21 there any underlying conditions, any family history 22 of conditions, such as cancer or lung, liver 23 issues, any medication history, what they're 24 currently taking, you know, allergy history, so 25 these are all the factors that we include in the
43 1 MR. PARRA: Okay. 2 CONTINUED DIRECT EXAMINATION BY MR. PARRA: 3 Q. Doctor, back to the patient, Mahmoud 4 Aburadwan. I think we were discussing that when -- 5 as a member of the Precision Pain & Spine you at 6 some point began treating him, correct? 7 A. Yes. 8 Q. And -- 9 A. In June of 2024. 10 Q. And you were -- as part of your treatment you 11 were able to review the records that Precision Pain 12 & Spine kept on him? 13 A. Yes. 14 Q. How do you access those records? 15 A. I have a login with their electronic medical 16 record system. 17 Q. And in treating the patient, is it your 18 practice to familiarize -- familiarize yourself 19 with the patient's history? 20 A. Yes. 21 Q. Okay. And is the patient's history important 22 to your treatment and diagnosis? 23 A. Yes. 24 Q. Can you tell the jury, why is a history 25 important?	45 1 history. 2 Q. Is how -- is how the injury happened 3 important? 4 A. Certainly, you know. You know, based on 5 what -- what happened and what did you feel 6 afterwards. 7 Q. And is that something that in your field is 8 known as the mechanism of injury? 9 A. Yes. 10 Q. Okay. And Doctor, when you reviewed Mr. 11 Aburadwan's medical records, did it come to your 12 attention that he had been involved in other 13 accidents besides the December 8th accident that we 14 are here for today? 15 A. Yes. He had a previous injury in 2021. 16 Q. Okay. And Doctor, when the patient comes 17 in -- I'm assuming that these medical records are 18 generated based on the treatment that is rendered 19 by colleagues of yours in Precision Pain & Spine. 20 A. Yes. 21 Q. And I think you had testified previously you 22 have access to those records? 23 A. Yes. 24 Q. Doctor, we're gonna mark Mr. Aburadwan's 25 medical records with Precision Pain & Spine as -- I

46 1 think we're up to Apazidis-5 for identification. 2 (Records of Mahmoud Aburadwan from Precision 3 Pain & Spine Institute were received and 4 marked Apazidis-5 for identification.) 5 BY MR. PARRA: 6 Q. Do you remember when it was that you first 7 saw Mr. Aburadwan? 8 A. Yes. In June of 2024. 9 Q. Okay. And what was your understanding at 10 that time as to why Mr. Aburadwan was coming to you 11 as an orthopaedic surgeon and as your patient? 12 A. For worsening mid and lower back pain. 13 Q. And Doctor, you gave us a report regarding 14 his treatment with you. I'm gonna be asking you 15 questions with respect to your medical opinion. 16 I'm gonna request that you provide those opinions 17 within a reasonable degree of medical certainty, 18 and if you -- if you can't, then just tell us that 19 you can't do so. 20 Okay? 21 A. Sure. 22 Q. Now, you indicated that at the time that you 23 had seen him you had already reviewed his prior 24 medical history, right? 25 A. Yes.	48 1 object to this. 2 MR. PARRA: Sure. It's his medical 3 chart. It consists of 452 pages. They're 4 essentially Bates stamped in the sense that -- on 5 page 1 of 452 and there's 452 -- 452 pages. It's 6 just his entire medical chart. 7 MR. BROOKS: When you said -- when you 8 said chart, I was thinking of an Excel spreadsheet 9 or something like that. 10 MR. PARRA: No. No. Just his medical 11 chart. 12 MR. BROOKS: All right. You can -- all 13 right. We're not publishing to the jury his 14 medical records; is that correct? 15 MR. PARRA: Correct. Correct. No. 16 MR. BROOKS: I have no objection. 17 Let's -- 18 MR. PARRA: Okay. 19 THE VIDEOGRAPHER: I'll get us back on. 20 Back on the video record. The time is 21 2:15 p.m. 22 BY MR. PARRA: 23 Q. And Doctor, I'm gonna show you what's been 24 previously marked as Apazidis-5 for identification. 25 I'm gonna show you -- basically, it consists of
47 1 Q. Did you rely on those records in formulating 2 a diagnosis? 3 A. They contributed, yes. 4 Q. And as well as a treatment plan? 5 A. Yes. 6 Q. Do you remember when his first treatment date 7 was with Precision? 8 A. I'll tell ya. I'm just gonna refer to my 9 chart here. 10 Q. Well, Doctor, I prefer that we resort to the 11 chart that we have already marked. I could share 12 it with you. Let me just -- let me just pull that 13 up. 14 A. Okay. 15 MR. BROOKS: I'm gonna enter an 16 objection. Let's go off the record. 17 MR. PARRA: Okay. 18 THE VIDEOGRAPHER: Off the video record. 19 The time is 2:13 p.m. 20 MR. BROOKS: I don't know what you're 21 gonna show him, and there's nothing in your 22 pretrial exchange describing a chart, so -- 23 MR. PARRA: Well, let me show you. 24 MR. BROOKS: -- if I can take a look at 25 it to make a determination as to whether I need to	49 1 approximately, I think we discussed previously, 452 2 pages. Are you able to see that document? 3 A. Yes. 4 Q. Okay. And if you could note that on the 5 bottom of each page there, if you'll look there, is 6 a page number that shows what page we're on; is 7 that right? 8 A. Yes. 9 Q. And this particular -- this particular 10 document is on page 356 of 452 that I'm showing 11 you. And can you tell, based on that note, who was 12 the doctor at Precision that he first came to see? 13 A. This is Dr. Sakr. 14 Q. Okay. And can you tell based on your review 15 of this document whether this is an initial 16 evaluation? 17 A. Yes, it is. 18 Q. And was -- what date -- what was the date of 19 injury that occurred based on your review of this 20 document? 21 A. This is due to a motor vehicle accident on 22 August 12th of 2021, and this evaluation took place 23 on August 20th of 2021. 24 Q. And what were the complaints that he had at 25 the time of that evaluation in August of 2021?

50 1 A. Yeah. So he came in complaining of neck 2 pain, right shoulder pain, lower back pain, and 3 bilateral knee pain. 4 Q. Did he have any complaints of mid back pain? 5 A. No. 6 Q. Okay. And is it your understanding based on 7 your review of these medical records that he had 8 essentially no treatment for his mid back in -- 9 from the accident of 2021? 10 A. Yes. 11 Q. And so is the middle back -- what is the 12 technical term for the spine -- the area of the 13 spine that's affected there? 14 A. That would be the thoracic spine. 15 Q. So essentially, was there any treatment for 16 his thoracic spine based on his motor vehicle 17 accident of August 12th, 2021? 18 A. No. 19 Q. Okay. Now, directing your attention to 20 page 321 of that same record -- well, 21 fast-forwarding now to November -- excuse me -- 22 November 15th of 2021. Is that accurate with 23 respect to the treatment note you're -- you're 24 reviewing there? 25 A. Yes.	52 1 goal of decreasing inflammation and helping with 2 pain and nerve root irritation. 3 Q. Excellent. 4 And directing your attention now to page 303 5 of the same record, what is the date of the 6 treatment note there? 7 A. So this is Dr. Murray's note from 8 January 10th of 2022. 9 Q. And what was he -- what was he treating for 10 at that time? 11 A. For shoulder pain, knee pain, and right heel 12 pain. 13 Q. And does it say whether he had improved 14 regarding his injuries at Precision Pain & Spine at 15 that time? 16 A. Yes. He was reporting 90 percent improvement 17 in -- specifically with the knees. Pain scales had 18 all been reduced, you know, to 5 out of 10 with 19 regard to shoulder pain, so it looked like the 20 treatments have been effective at this point. 21 Q. And as far as your review of the medical 22 records, is that the last treatment date that he 23 had at Precision Pain & Spine before December 8th 24 of 2022? 25 A. Yes.
51 1 Q. And what was the name of the doctor that 2 treated him on November 15th, 2021? 3 A. This is Michael Murray. 4 Q. Now, November 15th of 2021 is about three 5 months after that first accident happened. What 6 was he treating with Dr. Murray for at that time? 7 A. Sure. Dr. Murray, who's also an orthopaedic 8 and a spine surgeon, was seeing the patient 9 primarily for shoulder pain, knee pain, and he had 10 developed some heel pain. 11 Q. Okay. So as far as we could tell from these 12 records, he was having pain in his neck, back, 13 shoulders, knees, and heel; is that accurate? 14 A. Yes. 15 Q. Okay. Directing your attention now to 16 page 316 of the same medical record, does that -- 17 well, what is that with that document you're 18 looking at? 19 A. So this is Dr. Sakr's operative report for a 20 cervical epidural steroid injection. 21 Q. What is an epidural steroid injection? 22 A. So that's a procedure where a needle is 23 placed into the spinal canal, close to nerve roots 24 and the disc, and medication called a 25 corticosteroid is injected into that area with the	53 1 Q. So then just following -- scrolling to the 2 very next page, 302 of 352, does it tell us what 3 treatment date the following note indicates he had? 4 A. Yeah. So the next time the patient was seen 5 by Dr. Sakr almost a year later, December 9th, 6 2022. 7 Q. And on the same medical record, just 8 directing your attention to page 299 of that 9 record, does that depict the treatment note for the 10 visit that he had immediately following his 11 December 8th, 2022 accident? 12 A. Yes. 13 Q. Okay. And who is the doctor that treated him 14 on that particular visit? 15 A. This is Dr. Sakr. 16 Q. At the time that he went to Dr. Sakr, does 17 this indicate as to whether this is initial -- an 18 initial visit following his December 8th, 2022 19 accident that we're here for trial today? 20 A. Yes. 21 Q. And what were the complaints that he was 22 having at the time that he arrived at Precision 23 Pain & Spine Institute on the very following day 24 from his accident, December 9th, 2022? 25 A. Sure. So he presented primarily for neck,

54 1 mid back, as well as lower back pain. He also 2 complained about shoulder, knee, and ankle pain at 3 this time. 4 Q. And based on your review of the patient's 5 chart, had he -- prior to December 9th of 2022 had 6 he ever received any treatment for his mid back 7 before? 8 A. No. 9 Q. Had he ever complained about his mid back 10 before? 11 A. No. 12 Q. Directing your attention to the series of 13 paragraphs under history of the present illness, 14 there's a number of little paragraphs there. One 15 of them describes his mid back pain. Can you tell 16 us what the patient was complaining of at that 17 time? 18 A. Sharp pain in the area of the mid back, rated 19 it at a 9 out of 10. Pain radiating around to the 20 flanks. Pain interfering with daily activities, as 21 well as sleep. 22 Q. Now, it says 9 -- 9 out of 10. Is that some 23 sort of a scale? 24 A. Yes. This is a visual analog scale. We ask 25 patients to rate their pain, with 1 being no pain	56 1 Q. That is also pain that he had treated for 2 regarding his 2021 accident; is that correct? 3 A. Yes. 4 Q. Okay. Now, Doctor, as a result of the 5 problems that he was having when he arrived at Dr. 6 Sakr's, did he have any diagnostic tests performed? 7 A. Yes. He -- at this time Dr. Sakr did order 8 imaging of the cervical, thoracic and lumbar spine, 9 as well as of the extremities that had pain 10 complaints. 11 Q. And when you say imaging, what sort of 12 imaging are you referring to? 13 A. X-rays and MRIs. 14 Q. What are MRIs? 15 A. So MRI is a magnetic resonance imaging. The 16 body is made up of water, and water molecules are 17 polarized. In other words, they have like a 18 positive and a negative poles, so they're basically 19 little tiny molecular magnets, and since every cell 20 in the body has different composition of water in 21 it, in other words, different concentrations of 22 water, the magnet basically spins the little water 23 molecules in our bodies and they emit energy and 24 that energy is picked up by the -- by the machine 25 and it will recreate an image. So if a -- if a
55 1 at all, 10 being among the worst pain imaginable, 2 and patients give us a number between 1 and 10. 3 Q. Thank you. 4 Now, he was also complaining of neck pain? 5 A. Yes. 6 Q. And what was his complaint regarding his neck 7 at that time? 8 A. He also rated the neck pain at 9 out of 10, 9 with pain radiating to the upper extremities, 10 feelings of numbness and weakness in the upper 11 extremities. Pain that was aggravated by any 12 motion of the neck, and again, interfering with 13 daily activities and sleep. 14 Q. Now, was neck pain something that he had 15 treated for for his previous accident in 2021? 16 A. Yes. 17 Q. And how about the bilateral shoulder pain, 18 could you describe for the jury what he was 19 complaining about on December 9th, 2022? 20 A. He was complaining of bilateral shoulder 21 pain, intermittent, but sharp in nature, also rated 22 it 9 out of 10 on the right side. On the left side 23 rated it 7 out of 10. Aggravated by motions, 24 movement of the extremity, and again, differing 25 with activity and sleep.	57 1 part of the body has a lot of water in it, it may 2 come off, depending on the sequence, as very 3 bright, and then if a part of the body has no water 4 in it, it will come off as pure black, and 5 basically, we have every shade of gray in between 6 depending on, you know, nerve tissue, muscle 7 tissue, vascular tissue, you know, cartilage 8 itself, a disc, you know, intervertebral discs, you 9 know, basically every, you know, every part of your 10 body has a different composition and we use those 11 shades of gray to, based on our knowledge of 12 anatomy, to be able to visualize structures. 13 Q. Were these MRI studies that were performed on 14 Mr. Aburadwan interpreted by a radiologist? 15 A. Yes. 16 Q. And do you know Dr. Losick, the radiologist? 17 A. I know the name. I don't know the person. 18 Q. Okay. Is he board certified, do you know? 19 A. I believe that's what's on the report. Yeah, 20 he's a board certified radiologist. 21 Q. Do you regularly rely on the opinions of 22 radiology -- of radiologists within your practice? 23 A. We -- we do take radiology accounts, you 24 know, reports into account. Obviously I look at 25 the images myself, as well.

58 1 Q. Okay. And why do you -- why do you rely on 2 the opinions of radiologists in interpreting the 3 films? 4 A. Well, the same way I -- 5 MR. BROOKS: Objection. Off the record. 6 THE VIDEOGRAPHER: Off the video record. 7 The time is 2:28 p.m. 8 MR. BROOKS: The objection is it's not 9 what he just testified to. He said he takes them 10 into consideration, not that he relies upon them. 11 MR. PARRA: Okay. 12 MR. BROOKS: Harry, you made a big deal 13 of this with Dr. Dryer, so I'm gonna do the same 14 thing for you. 15 MR. PARRA: That's fine. 16 MR. BROOKS: Unless you want to rephrase 17 it. 18 MR. PARRA: Yeah. I'll rephrase it. 19 MR. BROOKS: Okay. We can go back on. 20 THE VIDEOGRAPHER: Back on the video 21 record. The time is 2:29 p.m. 22 BY MR. PARRA: 23 Q. Why do you take -- why do you take into 24 consideration the opinions of radiologists when 25 you're reviewing these imaging?	60 1 in interpretation of MRI studies? 2 A. Yes. 3 Q. And can you describe for the jury a little 4 bit of what that training consisted of? 5 A. Sure. So in my residency program we worked 6 very closely with the radiology department. The 7 chief musculoskeletal radiologist attended morning 8 conference every morning, actually, in our 9 orthopaedic rounds. Every image that was 10 presented, we reviewed in her presence and she 11 offered additional training in that. We rotate 12 through the radiology department and worked very -- 13 for five years worked very closely with the 14 radiology department and their attendings and 15 professors, you know, to enhance our learning of 16 interpreting these studies. 17 Q. Do you review and interpret MRI imaging 18 throughout the course of your -- your practice? 19 A. Yes. 20 Q. How frequently would you say you do that? 21 A. Every day. 22 Q. Okay. And would you say that's also every 23 time that one of your patients has an MRI done, you 24 personally review those films? 25 A. Yes.
59 1 A. Well, the same way I have a specialty in 2 orthopaedic and spine surgery, they have a 3 specialty in, you know, viewing these images. A 4 lot of times they have done fellowships, and 5 particularly musculoskeletal radiology, where they 6 spend extra time looking at, you know, spine images 7 or images of, you know, muscular and joint tissues, 8 so we, you know, we do value what they're able to 9 see on the images. Sometimes, also, their imaging, 10 in terms of the screen that they're using, where 11 they have direct access to the original, you know, 12 pixel study and they have more high definition 13 screens, so they're able to see things a little bit 14 more clarity. We rely on portals or CDs and then 15 those images we view on our, you know, regular 16 computer screens, so it really comes down to, you 17 know, how high definition of an image you have. So 18 of course we, you know, we value their opinion 19 because they -- they may have a sharper image and 20 larger image or more pixelated image and we rely on 21 what they see in addition to what I see. 22 Q. Now, I think you also testified that you 23 review those images yourself. 24 A. Yes. Always. 25 Q. And are you -- were you trained	61 1 Q. Okay. When did Mr. Aburadwan have the first 2 studies done following the December 8th, 2022 3 accident that we're here for? 4 A. The -- let's see. I saw him -- well, he had 5 those studies done in December, right, shortly 6 after the -- shortly after the accident. 7 Q. Okay. Doctor, based on your narrative report 8 that you provided to us it appears that he may have 9 had studies done on December 12th, 2022 of the 10 cervical spine; is that correct? 11 A. Yes. That sounds fair. 12 MR. BROOKS: Objection. 13 THE VIDEOGRAPHER: Off the video record. 14 The time is 2:33 p.m. 15 MR. BROOKS: The nature of the objection 16 is that that is definitely leading. I've given you 17 quite a lot of leeway on that, but that's a leading 18 question. 19 MR. PARRA: Okay. Let's go back on. 20 THE VIDEOGRAPHER: Back on the video 21 record. The time is 2:33 p.m. 22 BY MR. PARRA: 23 Q. Doctor, what is the date of the first imaging 24 studies that Mr. Aburadwan had following the 25 December 8th, 2022 accident?

62 1 A. December 12th of 2022. 2 Q. And what was the imaging study done? 3 A. I mean, he had several studies. Can I refer 4 to the record? 5 Q. Yes. If you wish -- do you have your 6 narrative report? 7 A. I -- I could access it. 8 MR. PARRA: Okay. Let's go off the 9 record to give the doctor some time to access that. 10 THE VIDEOGRAPHER: Off the video record. 11 The time is 2:34 p.m. 12 (A brief recess was taken.) 13 THE VIDEOGRAPHER: Back on the video 14 record. The time is 2:44 p.m. 15 BY MR. PARRA: 16 Q. Doctor, I believe we left off on Mr. 17 Aburadwan's cervical MRI that he had on 18 December 12th, 2022. Do you have those studies for 19 review with the jury? 20 A. Yes. 21 Q. Would you be so kind as to share your screen 22 regarding that? 23 A. Okay. 24 MR. PARRA: I believe we are on 25 Apazidis-6.	64 1 then this is the disc in between those, and that's 2 referred to as the C6-7 disc. 3 Q. And what did you find with respect to the 4 C6-7 herniation? 5 A. So what I and the radiologist both noticed 6 was that there appear to be a new herniation here, 7 at the 6-7 level. So what you see is -- so see, 8 like, the disc below it you could see is a flat 9 area here, and this is the posterior longitudinal 10 ligament, okay, and it's this black thing. The 11 ligaments have very little water, so it comes up as 12 black. That's the black line you see there. And 13 then here, at the C6-7 level, you can see that 14 ligament is lifted and part of the disc has been 15 pushed through that area and is entering into 16 what's called the spinal canal. So this dark line 17 that goes up to down, that's the spinal cord, and 18 we see that it's bathed in cerebral spinal fluid 19 and fat, both of which have a lot of water content 20 and you see it come up as bright, and the nerves 21 have much less water content and those come up as 22 dark. That's the dark line. So this is the spinal 23 canal through which the nerves and the spinal cord 24 travel, and then you have this area here that's a 25 new herniation. Again, you can see here at --
63 1 (Cervical spine MRI, 12-12-22, was 2 received and marked Apazidis-6 for 3 identification.) 4 BY MR. PARRA: 5 Q. Can you tell us, Doctor, orient us as to what 6 we're looking at there? 7 A. Sure. So this is a December 12th, 2022 8 cervical MRI. This is the patient's neck. At the 9 upper part of the picture is the patient's brain. 10 The lower part of the picture is the patient's, 11 like, shoulders and upper thoracic spine, and then 12 in between is what's called the cervical spine or 13 the neck. The way that it's oriented, we have 14 these gray areas here, our bone, and then this 15 little darker area in between is what's called an 16 intervertebral disc, and then we have bone again, 17 like a block of bone you see and then a disc, and 18 then another square block of bone, which is the 19 C3 -- sorry, C4 vertebra here and a disc, the C5 20 vertebra and then a disc, the C6 vertebra and then 21 the C6-7 vertebra here. 22 Q. I'm gonna direct your attention, Doctor, to 23 the C6-7 vertebra. If you could point that out for 24 the jury. 25 A. Sure. So this is the C6, this is the C7, and	65 1 like, for instance, C4-5, again, it's flat. Here 2 at C5-6 you have an area that's risen, and then at 3 C6-7 also you have an area that's risen there, as 4 well. 5 Q. Now, Doctor, when you say new, what are you 6 comparing it to? 7 A. This is compared to the August, 2021 cervical 8 spine MRI. 9 Q. Is that an MRI study that you had reviewed? 10 A. Yes. 11 Q. And is that an MRI study that you are aware 12 whether Dr. Losick had reviewed? 13 A. I believe he had, yes. 14 Q. Okay. And based on your review, you found 15 that that -- did you find that that is new with 16 respect to this particular accident, December 8th, 17 2022? 18 A. Yes. 19 MR. BROOKS: Objection. 20 THE VIDEOGRAPHER: Off the video record. 21 MR. BROOKS: I'm sorry. 22 THE VIDEOGRAPHER: The time is 2:48 p.m. 23 MR. BROOKS: The nature of the objection 24 is the as to this accident. 25 MR. PARRA: Okay.

66 1 MR. BROOKS: It's completely -- well, I 2 think you know what the -- 3 MR. PARRA: I'll withdraw the question. 4 That's fine. Let's go back on. 5 MR. BROOKS: Okay. Go back on. 6 THE VIDEOGRAPHER: Back on the video 7 record. The time is 2:49 p.m. 8 BY MR. PARRA: 9 Q. Doctor, when you call that herniation new, 10 what -- what accident are you referring to as new 11 from? 12 A. The -- 13 MR. BROOKS: Objection. Off the record. 14 THE VIDEOGRAPHER: Off the video record. 15 The time is 2:49 p.m. 16 MR. BROOKS: It's the same objection. 17 He can talk about comparisons and timing, but as to 18 problem and accident, that's not appropriate for 19 any doctor to testify as to. 20 MR. PARRA: That's what his opinion is. 21 His opinion is causation. It's caused by this 22 accident. 23 MR. BROOKS: Yeah, and it's wrong. I 24 mean -- 25 MR. PARRA: That's your opinion. I'm	68 1 (Thoracic spine MRI, 12-13-22, was received 2 and marked Apazidis-7 for identification.) 3 BY MR. PARRA: 4 Q. Now, Doctor, what is the date of this study? 5 A. So this is also December 13, 2022 image of 6 the thoracic spine. 7 Q. And so this is essentially five days after 8 his December 8th, 2022 motor vehicle accident? 9 A. Yes. 10 Q. Can you tell us, Doctor, what you found of 11 significance with respect to the thoracic spine? 12 A. Sure. So as I explained before in the 13 cervical spine, the vertebral bodies on these 14 images tend to have a square appearance. Okay. 15 They -- you see -- like this is the T8, has a 16 perfectly square. T7, again, has a square. As you 17 get down into T9, there is some kind of asymmetry 18 of the square. You see there's some compression, 19 in other words, the front here of the vertebral 20 spine is shorter than the back of the vertebral 21 body, and then here at T9 -- I'm sorry -- T10 you 22 see that, you know, really well-demonstrated. 23 Again, the front of the vertebral body is much 24 shorter than the back of the vertebral body. 25 T11 -- T10 and 11 are the two worst ones. To a
67 1 gonna ask the question. That could be an objection 2 for the judge to rule on. 3 MR. BROOKS: Like I said, he can say 4 timing, he can say comparisons, but to say it's 5 from this accident -- he already agreed he's not a 6 biomechanical expert. He's not an accident recon 7 guy. He's just -- he's just a treating physician. 8 That's fine. We can move on and let the judge 9 determine it. 10 THE VIDEOGRAPHER: Back on the video 11 record. The time is 2:50 p.m. 12 BY MR. PARRA: 13 Q. Doctor, this study that you reviewed, it post 14 dates the December 8th, 2022 accident? 15 A. Yes. 16 Q. And the August 17th, 2021 motor vehicle 17 accident -- strike that. 18 The August 17th, 2021 MRI study, that 19 predates this February 8th, 2022 accident? 20 A. Yes. On that study there -- there is no -- 21 there is no such herniation at the C6-7 level. 22 Q. Thank you, Doctor. 23 If you could now take us to the MRI study of 24 the thoracic spine, and I believe we're gonna mark 25 this as Apazidis-7.	69 1 lesser degree here at T12. You see some collapse 2 here, at the top of it. The bottom is pretty 3 squared off, but the top here has collapsed through 4 this, this area. 5 Q. So what's the -- what specific -- 6 A. Sorry. It's really the 8-9 -- I'm sorry -- 7 the 9, 10, 11 and 12 vertebral bodies are 8 demonstrating areas of fracture. 9 Q. So Doctor, how -- is there a way we can zoom 10 in and you can explain to the jury what it is that 11 you see with reference to a fracture? 12 A. Well, I'm sorry. I can open it up. Not sure 13 how much more I can zoom in there, but what I want 14 to try to demonstrate is that the vertebral bodies 15 should be a block, a square. In other words, the 16 back of the vertebral body should be the same 17 height as the front of the vertebral body, whereas 18 here, you know, here at T11 you see that the back 19 of the vertebral body goes from here to here, which 20 is almost double the height of the front of the 21 vertebral body, which is from here to here. So we 22 described this as approximately a 50 percent 23 collapse through this area. Also, here at T10, 24 again, almost a 50 percent collapse. You see the 25 back of the vertebral body. Here's the bottom.

70 1 Here's the top. So you see this length compared to 2 this length here, again, indicates a fracture and 3 collapse of the vertebral bodies. So the same way, 4 if you break your arm, you know, it's a long bone 5 and the bone will snap and it's in two different 6 pieces. One pattern of spine fracture is a 7 compression. So, in other words, it's a lot of 8 force placed on it and the bones compress down, 9 because it's more of a spongy bone in the middle 10 with kind of a thin cortical surface, so the bone 11 will just collapse down on itself, and we call that 12 a compression fracture. 13 Q. And is the term fracture carry significance 14 in the medical terminology of your field? 15 A. Yes. So a fracture is an acute event. It's 16 the bone -- the integrity of the structure has been 17 violated. 18 Q. Doctor, did you see any medical evidence that 19 these fractures existed prior to December 8th, 20 2022? 21 A. They did not. 22 Q. And were there any -- 23 MR. BROOKS: Objection. Objection. 24 THE VIDEOGRAPHER: Off the video record. 25 Time is 2:55 p.m.	72 1 accident and his December 23rd, '22 accident, 2 correct? 3 A. Yes. 4 Q. Okay. I think we discussed this previously, 5 but when you reviewed his records from his August, 6 2021 accident, what complaints did he make, if any, 7 regarding his mid back or thoracic spine during 8 that course of treatment? 9 A. None. 10 Q. Thank you, Doctor. 11 Doctor, if you could take us now to his 12 lumbar MRI, which I believe we were marking as 13 T8 -- sorry -- Apazidis-8. 14 (Lumbar spine MRI, 12-12-22, was received and 15 marked Apazidis-8 for identification.) 16 BY MR. PARRA: 17 Q. Doctor, what is the date of that study? 18 A. This is December 12th of 2022. 19 Q. And Doctor, based on that MRI were you able 20 to see anything with respect to his lumbar spine? 21 A. Yes. So again, this is the lumbar spine. 22 This is numbered number one, two, three, four and 23 five of the lumbar spine. This area here starts 24 the sacral area, S1, and we can see the discs here 25 on the lumbar spine. You can appreciate, for
71 1 MR. BROOKS: Move to strike the response 2 as not responsive to your question. 3 MR. PARRA: What do you mean? 4 MR. BROOKS: It wasn't -- he answered 5 something totally different. 6 MR. PARRA: I don't know what you mean. 7 MR. BROOKS: You asked him for -- if he 8 saw evidence of preexisting fractures and he said 9 they did not, not that he didn't observe it. He 10 jumped right to the end conclusion. That is not 11 responsive to your question. The response should 12 be stricken. 13 MR. PARRA: Okay. Back on the record. 14 THE VIDEOGRAPHER: Back on the video 15 record. The time is 2:56 p.m. 16 BY MR. PARRA: 17 Q. Doctor, did you see any evidence of these 18 fractures that existed prior to December 8, 2022? 19 A. There is no evidence of these fractures 20 existing prior to the date of this image, December 21 13, and, you know, presumably after the accident of 22 December 8th, 2022. 23 Q. Thank you, Doctor. 24 Direct -- and, Doctor, these -- this MRI 25 study was taken in between his December 8, 2022	73 1 instance, the disc at L3-4, L4-5 -- I'm sorry -- 2 L2-3, L3-4 and L4-5 have a good bright signal, and 3 here at L5-S1 we see the signal is slightly darker, 4 indicating there's some injury to this disc. And 5 the -- there is a herniation here at the L5-S1. As 6 you can see, it's jetting out into the area again 7 of the spinal canal. You don't see any spinal cord 8 here at this level because the spinal cord ends 9 higher up at the level of T11-12 usually, or a 10 little slightly lower, but here what you do see is 11 some of the nerve roots. You see this dark signal 12 here, that's a nerve root. This is a nerve root. 13 And so you can see this herniation here is coming 14 out in the area most likely of the S1 nerve root. 15 Q. Now, Doctor, is it possible to see part of 16 the thoracic spine in this study? 17 A. This study is oriented for the lumbar spine. 18 Q. Okay. And are you -- is there -- is there an 19 image that shows part of the thoracic spine? 20 A. I mean, at the -- at the extreme of the image 21 we captured just the T12 vertebral body, but again, 22 this -- the lumbar image is more oriented for the 23 lumbar spine. We tend not to use areas of the 24 image that are, you know, at the edges, because 25 again, it's -- the test is -- when they -- when

74 1 they capture these images, the technician focuses 2 the MRI magnet towards an area of the spine to 3 bring out better detail in that area, so you -- 4 every image is used to capture that specific, you 5 know, part of the body that it's intended for. 6 Q. Thank you, Doctor. You could take those 7 studies down. 8 A. Okay. Should I stop sharing? 9 Q. Yes. Stop sharing. 10 Now, Doctor, we know that those studies were 11 done in between the February 8th and his 12 December 23rd motor vehicle accident. What 13 significance does that have to you? 14 A. So this was done after the December 8th 15 accident, so the fractures of T10-11 primarily, but 16 also 9 and 12 were presumably due to the accident 17 of December 8th. Patient did not have any prior 18 complaints of that area, did not have the deformity 19 in terms of the clinical presentation of being -- 20 you know, having pain and having -- you know, being 21 flexed forward prior to that accident. 22 Q. Now, Doctor, I'll represent to you that the 23 radiologist, Dr. Losick, was gonna be giving his 24 own testimony and interpretation on this case, but 25 based on your review of his reports, do you agree	76 1 MR. BROOKS: I'm sure you can fix it. 2 MR. PARRA: It's not really important 3 enough of a question for me to take him through all 4 of Dr. -- Dr. Losick's gonna be giving his own 5 opinion. It's gonna be very similar, if not 6 exactly like Dr. Apazidis's, so I'm gonna ask him 7 that question. By that time the judge will have 8 both and he'll decide whether this is a proper 9 question or not. 10 MR. BROOKS: All right. Let's go back 11 on. 12 THE VIDEOGRAPHER: Back on the video 13 record. The time is 3:03 p.m. 14 BY MR. PARRA: 15 Q. Dr. Apazidis, again, the radiologist will be 16 giving his own testimony, Dr. Losick, and his 17 testimony as to the interpretation of these 18 studies, and you reviewed his reports regarding 19 those studies and considered them in formulating 20 your own opinion. Do you agree or disagree with 21 Dr. Losick's interpretation of those conditions? 22 A. Again, I did review his report and looked at 23 the images myself. I -- I agree with everything 24 that he observed. I observed the same thing. 25 Q. Okay. And Doctor, my understanding is that
75 1 or disagree with Dr. Losick's interpretation of 2 those studies? 3 A. I do agree. 4 MR. BROOKS: Objection. Off the record. 5 THE VIDEOGRAPHER: Off the video record. 6 Time is 3:02 p.m. 7 MR. BROOKS: You can't just ask him does 8 he agree with an opinion without going through that 9 opinion. That's an improper foundation. There's 10 lack of foundation. It's an improperly formed 11 question. I mean, you got to -- you got to ask him 12 what the opinions are. 13 MR. PARRA: Well, I already -- I already 14 asked him -- 15 MR. BROOKS: If he knows. 16 MR. PARRA: I already asked him if he 17 considered Dr. Losick's interpretation, so I'm 18 gonna let the judge rule on that one. 19 MR. BROOKS: Again, you can't just say 20 do you agree with somebody without going through 21 what you ask him to agree with. That's kind of 22 foundational trial questioning. I mean, I'm only 23 arguing with you, Harry, so that it can be fixed 24 and we don't have to bicker in front of the judge. 25 MR. PARRA: Well, I mean, it's not --	77 1 you also reviewed some MRIs regarding the patient's 2 shoulders? 3 A. Yes. 4 Q. Okay. And if you need to reference your 5 report, please go ahead and do so to refresh your 6 recollection. However, what findings did you see 7 in terms of his right shoulder? 8 A. I do need to refer to my report. The right 9 shoulder MRI dated from December 15th, 2022 was 10 positive for a subscapularis partial tear, partial 11 tear or tendonitis of the biceps tendon, as well as 12 for an effusion and bursitis. 13 Q. Well, let me ask you, Doctor, did you compare 14 that study with the previous study, as well? 15 A. I would have to -- I don't remember if I 16 compared that to the pre -- to the 2021 MRIs. 17 Q. Okay. Well, let's go off the record and give 18 you an opportunity to look at those records. 19 THE VIDEOGRAPHER: Off the video record. 20 The time is 3:05 p.m. 21 MR. PARRA: So Doctor, if you could just 22 turn your attention to the page -- looks like -- 23 oh, they're not numbered. It's the fifth page of 24 your report. You render an opinion on that. 25 MR. BROOKS: And since we're already off

78 1 the record, I'm gonna enter an objection. I mean, 2 he doesn't have any prior commentary about shoulder 3 MRIs in this report. 4 MR. PARRA: It's on page 5. 5 MR. BROOKS: What's that? 6 MR. PARRA: It's on page 5. 7 MR. BROOKS: Yeah. There's no 8 comparison, though. 9 MR. PARRA: Sure, it is. 10 MR. BROOKS: That's from the report. 11 MR. PARRA: Unchanged -- so it says 12 certain things are unchanged from the prior study. 13 Some things are without significance and some 14 things are -- 15 MR. BROOKS: No. No. I hear you. I 16 hear you, Harry, but there's no discussion of prior 17 studies as there is with everything else. It's 18 just not here. It's outside of the bounds of this 19 report. 20 MR. PARRA: I disagree. He's referring 21 to the prior study. 22 MR. BROOKS: But he doesn't talk about 23 the prior study. That's my point. You can't just 24 say I looked at a prior study and then don't talk 25 about it. It's just like with his spinal MRIs,	80 1 MR. PARRA: But that -- but that is 2 the -- in other words, he wouldn't -- he wouldn't 3 say that without having reviewed the prior study. 4 MR. BROOKS: Well, that's -- that's what 5 you would hope, but I don't believe that to be 6 true. I want to know where the discussion of prior 7 study is, because I don't see it in this report, 8 so, you know, to -- 9 THE WITNESS: I finished -- 10 MR. BROOKS: You want to have him 11 testify -- you want to have him testify, my 12 motion -- excuse me -- my objection is as to the 13 propriety of the line of questioning as being 14 outside of the bounds of the -- of the report, and 15 I'm gonna move to strike any comparison of older 16 MRIs to prior studies because it's just not in this 17 report. 18 MR. PARRA: I don't understand what 19 your -- what your objection is. He's referring to 20 the prior -- the prior studies. I mean, that's 21 what he's -- what he's referring to. He's about 22 to -- he's about to explain that. 23 MR. BROOKS: But I think you do 24 understand what I'm saying, really. Harry -- 25 MR. PARRA: I really don't.
79 1 he's referring back and forth to prior and current 2 studies, as he likes to refer to them, but you 3 can't just say no change from prior study without 4 ever mentioning a prior study, when that was, what 5 the findings were. It's -- it's objectionable in 6 terms of testimony in front of a judge -- the jury. 7 MR. PARRA: I'll take him back to the 8 prior study, then. 9 MR. BROOKS: My point is if it's not in 10 his report, it's outside of his -- of what he's 11 gonna be testifying here. You brought him in as a 12 trial witness, as a testifying expert, and had him 13 create a report and it doesn't reference the prior 14 shoulder MRIs. 15 MR. PARRA: But it does, and it says -- 16 it refers to the -- not only does it refer to the 17 prior study, but also in his actual diagnosis he 18 indicates that -- 19 MR. BROOKS: Wait. I'm not that smart, 20 Harry. You met me a bunch of times. Just show me 21 in the report where he talks about the prior -- the 22 prior shoulder -- where he discusses either prior 23 shoulder MRI. Apart from saying no change from 24 prior study, where is the discussion of the prior 25 study?	81 1 MR. BROOKS: -- there's nothing in 2 this -- there's nothing in this report where he 3 discusses the prior MRI of either shoulder. All it 4 says is no significant change from prior study. 5 Compare that to -- 6 MR. PARRA: How -- in other words -- 7 MR. BROOKS: Compare it -- 8 MR. PARRA: -- how could he know that? 9 How could he know that without -- without having 10 reviewed the prior study? 11 MR. BROOKS: See, now you and me are on 12 the same page. It's a statement without meaning 13 because there's no comparison in the -- there's no 14 discussion of the prior MRIs of either shoulder in 15 this report. If he wants to compare findings, he 16 needs to discuss those findings. 17 MR. PARRA: I'm just gonna -- I'm just 18 gonna ask him -- I'm just gonna ask him the 19 question, if he reviewed the prior studies. 20 MR. BROOKS: Well, it's the same 21 objection. It's not in his report. He's got to 22 put it in his report if he wants to testify to it. 23 I don't think it's something -- 24 (Cross talk. Reporter clarification.) 25 MR. PARRA: It's an opinion that he's

82 1 given, that he has reviewed all the medical records 2 from the prior study, and he references the prior 3 study on these MRIs in this report. That's within 4 the bounds -- within the bounds of his report. You 5 can make your objection, but I mean, you know, 6 that's -- we're gonna have to let the judge make 7 that call. 8 MR. BROOKS: Sure. And that's what I 9 was about to say. We were talking over each other. 10 I've made objections that I think you could 11 rephrase and cure. I don't think this is a curable 12 one, because my point here is -- my argument is the 13 discussion of the prior shoulder MRIs is absent 14 from this report and he can't talk about them if 15 they're absent from this report. He can't just do 16 an ipse dixit, you know, without significant change 17 from prior study without discussing the prior 18 study. We'll see what the judge says. 19 MR. PARRA: Fine. 20 MR. BROOKS: I'm not gonna continue to 21 object on this line of questioning. It's a 22 standing objection as to the comparison of prior 23 MRIs of the shoulders. 24 MR. PARRA: I understand. 25 MR. BROOKS: Is that okay?	84 1 I just -- I don't have an exact recollection of the 2 specific findings of the shoulder from 2021, but 3 obviously when a patient presents, we review 4 everything, or I review, you know, everything in 5 the chart, you know, particularly previous studies. 6 I just, as I sit here, I cannot honestly remember 7 the findings on the 2021 shoulder MRIs. The knees 8 had surgery. I'm more familiar with that. I 9 reviewed the operative reports and he did have -- 10 he did have meniscal tear and undergo arthroscopic 11 surgery of the knee. 12 Q. Thank you, Doctor. 13 Doctor, as a result of your review of his MRI 14 studies, at some point you saw those at the time 15 that you first saw him, correct? 16 A. I'm sorry. Could you repeat the question? 17 Q. Sure. 18 The first time that you saw him was after 19 these MRI studies we're here for today, correct? 20 A. Yes. 21 Q. Okay. And you indicated that the first time 22 that you saw him was when? 23 A. June of 2024. 24 Q. And do you remember the exact date? 25 A. I'm just gonna refer to the encounters here.
83 1 MR. PARRA: Yeah. 2 MR. BROOKS: I think we can go back on. 3 THE VIDEOGRAPHER: Back on the video 4 record. The time is 3:12 p.m. 5 BY MR. PARRA: 6 Q. Dr. Apazidis, I believe you testified 7 earlier, when we were discussing the prior 8 treatment records from 2021 -- did you review MRI 9 studies from those treatment records? 10 A. Yes. 11 Q. And as a result of your review of those MRI 12 studies did you compare the MRI studies that post 13 dated the February 22nd, 2022 accident -- I'm 14 sorry -- the February 8th, 2022 accident? 15 A. I'm sorry. Could you -- 16 Q. Sure. Let me -- 17 A. I lost track of the question there. I'm 18 sorry. 19 Q. Let me rephrase the question. 20 Did you -- you reviewed MRI studies dating 21 from his 2021 accident, correct? 22 A. Yes. 23 Q. And did you also review MRI studies of his 24 shoulders dated February 15th, 2022? 25 A. I -- I reviewed all of the previous studies.	85 1 Q. Well, Doctor, again, we have already marked 2 as P-5 his treatment notes. Can I direct you -- 3 A. Yeah. I believe it was June 10th of 2024. 4 Q. Okay. Well, let me show you, Doctor, what's 5 page 119 -- 6 A. Oh, sorry. June -- 7 Q. -- treatment notes. 8 A. Sure. That's June 3rd. 9 MR. BROOKS: Is this Apazidis-5, Harry? 10 MR. PARRA: It's Apazidis-5 and it is 11 page 119. 12 Q. Does that refresh your recollection as to 13 when the first time that you saw the patient is? 14 A. Yes. June 3rd of 2024. 15 Q. Okay. And at that time could you describe 16 what condition you found the patient in? 17 A. The patient was in severe mid pain -- mid 18 back -- mid and lower back pain. The -- let's see 19 here. The patient described 9 out of 10 pain with 20 radiating pain again to his flank areas. The 21 patient had pain with sitting, standing, walking, 22 could not, you know, bend without pain, pain with 23 laying on his sides. He had difficulty with 24 driving, and he had been the driver, you know, 25 prior to this, to December 9th accident. He

86 1 described the pain as sharp, again, radiating to 2 the sides. He also had lower back pain with some 3 radiation down the legs, worse on the right side. 4 Q. Doctor, what kind of treatment had he 5 received for the injuries he had sustained in the 6 February 8th -- I'm sorry -- in the December 8, 7 2022 accident up until the time that he saw you? 8 A. He had been cared for by -- 9 MR. BROOKS: I'm gonna -- I'm gonna 10 object. If we can go off the record. 11 THE VIDEOGRAPHER: Off the video record. 12 The time is 3:18 p.m. 13 MR. BROOKS: So Harry, the nature of the 14 objection is your question was what kind of 15 treatment had he, plaintiff, received between the 16 12-8-2022 accident and when plaintiff first saw Dr. 17 Apazidis on 6-3-2024, but then you -- you asked him 18 as it relates to this accident, and Dr. Apazidis 19 did not see, by his own admission, this patient 20 until after he had been hit in the second accident, 21 which is the third. 22 MR. PARRA: I understand that, but, you 23 know, he's already testified that it's -- that he's 24 reviewed all of the medical records predating this 25 accident and predating when he saw -- he has access	88 1 with you, correct? 2 A. Yes. 3 Q. What sort of treatment did he have from 4 February 8, 20 -- I'm sorry -- December 8th, 2022 5 until the time that he saw you? 6 A. The patient had been treated by other doctors 7 in the practice for -- or using pain management 8 modalities. He had been placed on strong pain 9 medications, including narcotics, 10 antiinflammatories, muscle relaxers and neuroleptic 11 agents, like gabapentin. He had had a lumbar 12 discectomy procedure at the L5-S1 level. He had 13 also had epidural injections, both in the cervical 14 and lumbar spine. 15 Q. Did he have any chiropractic treatment, as 16 well? 17 A. Yeah. He had other non-interventional care, 18 including, you know, chiropractic and physical 19 therapy, in addition to the medications. 20 Q. Had he responded to those types of more 21 conservative treatments? 22 A. I mean, to some degree. I mean, some of the 23 neck pain improved. Really, the mid back pain 24 never got better, was only getting worse, and 25 the -- some of the radicular symptoms I think were
87 1 to all his medical records and he relied on these 2 medical records in formulating his diagnosis, 3 formulating his treatment plan, and so he is aware 4 and knows what treatment he has had up until this 5 point. That's all I'm asking. 6 MR. BROOKS: Yeah. Yeah, but if that's 7 how you ask it, that would have been fine, but you 8 added at the end or this accident. That's where 9 the objection lies, because Dr. Apazidis did not 10 see him until -- didn't see anything about him 11 until after he was in another accident. 12 MR. PARRA: Oh, I see what you're 13 saying. Okay. Yeah. I'll rephrase the question. 14 Yeah. 15 MR. BROOKS: This is one I think you can 16 fix, as well. It's a good thing we get along. 17 We can go back on. 18 THE VIDEOGRAPHER: Back on the video 19 record. The time is 3:19 p.m. 20 BY MR. PARRA: 21 Q. Dr. Apazidis, following his December 8th, 22 2022 accident he was involved in another motor 23 vehicle accident on December 23rd, 2022, correct? 24 A. Yes. 25 Q. And that was all prior to his first visit	89 1 helped by the injections and the discectomy, but 2 when I saw him he still had pain going down his 3 leg, again, worse on the right than on the left. 4 Q. As a result of his continued complaints that 5 you describe here in your June 3rd, 2024 note, 6 what -- what did you ultimately prescribe? 7 A. At that point I -- I wanted to get updated 8 imaging studies. I was -- on clinical exam the 9 patient had a severe thoracic kyphotic deformity 10 and I needed standing x-rays to assess the posture 11 of the spine and get updated MRIs, as well, because 12 again, he had had, you know, previous -- he had had 13 previous procedures and at this point those images 14 were also more than a year old. 15 Q. As a result of your review of those images 16 did you prescribe anything further? What was your 17 recommendation? 18 A. You mean after I got the new images? 19 Q. Yes. 20 A. Oh, the situation was a surgical -- it was -- 21 it became a surgical situation. 22 Q. And did you have a conversation with Mr. 23 Aburadwan about the surgical situation? 24 A. Yes. 25 Q. What was your recommendation?

90 1 A. The patient required a reconstruction of the 2 spine at that point. He had -- you know, the 3 fractures had collapsed into a severe kyphotic 4 deformity, and obviously that at this point, you 5 know, could not be remedied other than except 6 through surgery. I needed to, you know, 7 essentially break up the spine in order to correct 8 the posture of the spine and then maintain that 9 utilizing orthopaedic hardware, including, you 10 know, pedicle screws and rods, as well as perform 11 further decompression, because he continued to have 12 radicular symptoms, so perform further laminectomy 13 and discectomy at the lower areas of the spine. 14 Q. Now, Doctor, we've heard -- well, first of 15 all, did you go over the risks with the patient 16 associated with that surgery? 17 A. Yes. 18 Q. Can you tell the jury what some of those 19 risks are? 20 A. So these are among the biggest procedures 21 that we do in spine surgery, so those risks do 22 include death. Every surgery includes risks of 23 bleeding and infection. This -- this particular 24 surgery has increased risks of neurologic damage, 25 permanent or temporary; risks of hardware failure;	92 1 Scheuermann's kyphosis without having the need for 2 surgery. It's a -- it's a condition that gets 3 worse with the, particularly the late adolescent 4 growth spurt, so I -- you know, first of all, it's 5 very rare. I've operated on it three times, which 6 I think is, you know, maybe not as much as some but 7 more than most. And again, it's a genetic 8 developmental type of condition. Every time I've 9 seen it it's in adolescence or late adolescence, 10 and that's when the surgeries usually take place, 11 because -- and it's usually a more wide area of 12 deformity. In other words, it will not be just, 13 you know, three or four vertebral bodies. Many 14 times it's almost -- it can involve almost all the 15 vertebral segments to some degree, and you usually 16 see some kind of uniformity in the wedges 17 throughout the thoracic spine. 18 Q. Thank you, Doctor. 19 Now, Doctor, I'm gonna show you some photos 20 which have -- are gonna be marked Apazidis-9 21 through 11. I'm gonna represent to you that the 22 testimony will be that these photos were taken 23 before December 8th, 2022. Do you see that image? 24 A. Yes. 25 Q. Okay. And Dr. --
91 1 risk of the hardware just either breaking or 2 failing due to the large reconstructive area; the 3 risk of revision, need for additional surgery, and 4 that could really be at any time; risk for ongoing 5 disability, dysfunction and pain; risk of, you 6 know, permanent nerve damage, but that he may -- he 7 may already have, because at the point that I saw 8 him he had been, you know, going through this for a 9 long period of time, a year and a half, and, you 10 know, some of the nerve damage or problems may have 11 already been, you know, permanent even at that 12 point, whether we do the surgery or not, so some of 13 that was part of the warning. 14 Q. Doctor, I'm gonna represent to you that 15 defense doctor by the name of Dr. Dryer talked 16 about the patient's condition as something known as 17 Scheuermann's kyphosis. Have you ever heard of 18 that? 19 A. Yes. Of course. 20 Q. And can you tell the jury what Scheuermann's 21 kyphosis is? 22 A. Sure. So Scheuermann's kyphosis is a genetic 23 or developmental condition. We typically see it in 24 adolescence. Few people make it out of 25 adolescence, you know, with a -- with a	93 1 MR. BROOKS: I'm gonna enter an 2 objection, please. Off the record. 3 THE VIDEOGRAPHER: Off the video record. 4 The time is 3:28 p.m. 5 MR. BROOKS: Okay. It's the same 6 objection that he answered previously on Dr. 7 Marks's deposition, that none of these photographs 8 have been authenticated as to time, date, location. 9 We just don't know where they came from or when 10 they came from. To the extent that they are 11 authenticated, understanding that we're doing 12 things somewhat out of order as they would if it 13 were the old days and we did everything live and in 14 order, the objection would be withdrawn, but I'm 15 gonna object to any of these prior photos of 16 plaintiff based on lack of authentication at this 17 time. 18 MR. PARRA: I understand. Okay. You 19 know, obviously the plaintiff's gonna go over his 20 photos and he'll authenticate them probably prior 21 to us playing Dr. Apazidis's testimony and so that 22 I'm anticipating -- 23 MR. BROOKS: More than likely. 24 MR. PARRA: -- the objection will be 25 cured.

94 1 Okay. Fair enough. Just going back on 2 the record. 3 (Photograph was received and marked 4 Apazidis-9 for identification.) 5 (Photograph was received and marked 6 Apazidis-10 for identification.) 7 (Photograph was received and marked 8 Apazidis-11 for identification.) 9 THE VIDEOGRAPHER: Back on the video 10 record. The time is 3:29 p.m. 11 BY MR. PARRA: 12 Q. Doctor, does this appear to be a photo of 13 someone who had been suffering from Scheuermann's 14 kyphosis from early adolescence? 15 A. No. I mean, you can see, you know, he's, you 16 know, he's particularly upright, obviously has a 17 lot of coordination, able to stand on those 18 moss-covered wet rocks, and does not appear to be 19 in a hunched over position. 20 Q. How about Apazidis-9? 21 A. You know, again, you know, clearly patient 22 has full strength and coordination of the lower 23 extremities. You know, that's a sloped, wet, snowy 24 surface and, you know, again, someone with 25 Scheuermann's kyphosis, you know, wouldn't -- it's	96 1 or 28 years old. So the time of this, which would 2 be three years ago, so he'd be probably like 25 or 3 younger. 4 Q. Okay. And Doctor, just to perhaps refresh 5 your recollection, I believe your records indicate 6 that at the time of his examination of you in 7 June 3rd, 2024 you list his date of birth as being 8 1995. That would make him 29 years old at the time 9 that you examined him; is that right? 10 A. Yes. Okay. I'm sorry. On June 3rd he was 11 28 years old. Sorry. On June 3rd of 2024 he was 12 28 years old. 13 Q. Okay. Thank you, Doctor. 14 And would you -- would you tend to see this 15 level of degeneration that the defense doctors are 16 talking about at this time? 17 A. Well, I -- I'm not sure what you mean by 18 degeneration. I don't see any evidence of 19 degeneration. I see the discs, most of his discs 20 are in very good health, you know. He has some 21 collapse at the C5-6 level. The C6-7 disc actually 22 looks healthy, except for the -- there's an acute 23 rupture posteriorly. If you see overall the disc 24 health is relatively good, and when you see a 25 combination of a good signal in the middle of the
95 1 difficult for them to stand, let alone climb on top 2 of a truck like that. I don't even know how he 3 climbed on that. 4 MR. PARRA: I'm sorry. I believe for 5 the record this is Apazidis-10. 6 Q. And how about Apazidis-11? 7 A. This is a little bit more, like, in profile 8 view, so you can see that -- and actually, you 9 know, he has this straight, you know, box or -- I 10 don't know what that is, some kind of straight 11 object. So you have to kind of judge his back and 12 you can see his, you know, his chest is at the 13 same -- in other words, front to back level as his 14 abdomen. A lot of times with Scheuermann's 15 kyphosis, again, you know, you're bent forward so 16 your chest is forward of your abdomen. His seem to 17 be at least at the same level, if not, you know, 18 back from the belly, so again, this is an upright 19 spine without any evidence of deformity. 20 Q. Thank you, Doctor. 21 And we've also heard a lot from the defense 22 doctors that he had degeneration that preexisted 23 December 8th, 2022 accident. Do you know how old 24 Mr. Aburadwan was at the time that you saw him? 25 A. I mean, even now he's not 30. He's like 27	97 1 disc with a posterior herniation, that's more a 2 sign of an acute disc herniation or rupture. 3 There's really no evidence of any degeneration 4 anywhere else in the cervical, thoracic or lumbar 5 spine, other than the L5-S1 disc herniation, which, 6 again, you know, you still see some white signal in 7 the middle, there's just less of it, which 8 indicates, again, more of an acute injury, and the 9 other discs look, you know, what a perfectly 10 healthy 28-year-old, you know, disc, you know, 11 should look like. 12 Q. Thank you, Doctor. 13 Now, Doctor, I'm gonna show you another 14 photograph -- well, strike that. 15 First of all, you did ultimately perform the 16 surgery that you recommended, correct? 17 A. Yes. 18 Q. Do you remember what date you performed that 19 surgery? 20 A. May I refer to the record? 21 Q. Sure. Umm-hum. Doctor, I could also mark 22 your operative report -- 23 A. Okay. 24 Q. -- if you'd like and show it to you. 25 A. Yeah. That would be great.

98 1 Q. Okay. 2 A. Thank you. 3 Q. So let's mark your operative report as 4 Apazidis-12 for identification, and I'll just 5 project it for you. 6 (Operative report dated 8-29-24 was received 7 and marked Apazidis-12 for identification.) 8 BY MR. PARRA: 9 Q. Does that refresh your recollection as to the 10 date that you performed the procedure? 11 A. Yes. August 29th of 2024. 12 Q. Okay. And did you have anyone assisting you 13 in that procedure? 14 A. Yes. Dr. El-Shafy. 15 Q. And what is the procedure that you actually 16 performed? 17 A. I performed a thoracolumbar pelvic 18 laminectomy and fusion operation, with placement of 19 pedicle screws and rods and deformity correction. 20 Q. And where did you perform that surgery? 21 A. At Hudson Regional Hospital. 22 Q. Was that under general anesthesia? 23 A. Yes. 24 Q. Does general anesthesia carry with it its own 25 risks?	100 1 testing, you know, it's including EKG, chest x-ray, 2 labs, a medical review to make sure that he's 3 medically stable for a, you know, several hour high 4 risk, high blood loss type procedure, and once he 5 clears all of those hurdles, then he would have a 6 presurgical evaluation by the anesthesiologist and 7 be deemed stable to undergo, you know, prolonged 8 anesthesia with intubation, and then we -- he would 9 be -- from the night before, have nothing to eat 10 after midnight, although he went first thing in the 11 morning so it would be probably nothing to eat 12 after 10 p.m., and then he would come to the 13 hospital, be checked in, have the anesthesiology 14 again review him, have IVs placed, you know, would 15 have large caliber IVs bilaterally, and he would 16 then be put to sleep through intubation and then I 17 take over the surgery. 18 Q. Once you arrive into the operating room can 19 you describe for the jury what the layout is? 20 A. Sure. So in a surgery like this we use 21 computer-guided navigation. So there's a large 22 surgical table and with certain, you know, specific 23 padding to allow me to position him appropriately. 24 And then once he's put to sleep and I place a Foley 25 catheter, because obviously with a surgery like
99 1 A. Yes. 2 Q. Was the patient advised as to those risks, as 3 well? 4 A. Yes. It was part of my discussion, and the 5 anesthesiologist has their own discussion and gives 6 their own written consent from the patient, and 7 obviously, you know, general anesthesia does 8 include the risk of death, including blindness, 9 respiratory dysfunction and, you know, the risk of 10 having a prolonged intubation, in other words, 11 taking -- particularly with a surgery like this, 12 taking longer to extubate than would initially be 13 anticipated. 14 Q. And after having all those discussions with 15 the patient he still elected to proceed with that 16 operation? 17 A. Yes. Very much so. 18 Q. Doctor, where did the procedure take place? 19 A. It took place at Hudson Regional Hospital. 20 Q. What is the general preparation for that 21 procedure? 22 A. From -- you mean on my part or on the 23 patient's part? 24 Q. On the patient's part. 25 A. Well, again, he has to do presurgical	101 1 this we need to drain the bladder because it's 2 going to be a prolonged period of time, he's then 3 placed prone, in other words, face down. A lot of 4 special padding for that because he's completely 5 immo -- paralyzed, you know, through drug agents, 6 so we have to make sure there's no pressure points 7 on his -- on his skin. The anesthesiologist makes 8 sure that the eyes are safely padded, as well, 9 because again, it's a high risk of blindness with a 10 surgery like this. He's then -- we then want a CAT 11 scan intraoperatively, and the CAT scan is used to 12 orient the computer navigation system for this. 13 And then we, you know, prep -- prepare the patient 14 utilizing, you know, cleansers and so forth for the 15 skin, and then we prep and drape the patient and 16 then, again, begin the dissection portion of the 17 surgery, which includes incising through the skin, 18 moving all of the posterior muscles out of the way, 19 and then, again, utilizing the computer navigation 20 system, orient the appropriate levels and then, you 21 know, begin the actual, you know, surgical 22 procedure itself. 23 Q. Approximately how long does that does this 24 procedure take place? 25 A. I mean, this would be anywhere from six to

102 1 eight hour procedure. 2 Q. Okay. Doctor, do you make photographs as 3 part -- do you take photographs as part of your 4 medical record in these procedures? 5 A. Yes. 6 Q. And as well as some videographic imaging as a 7 result of this procedure? 8 A. Yes. 9 Q. Is that Precision Pain & Spine's practice, to 10 take photographs, as well as video of this? 11 A. Yes. 12 Q. And is that for the patient's medical record? 13 A. Yes. 14 Q. Is that part of the medical record? 15 A. Yes. 16 Q. Would showing the jury some of these 17 photographs and videos assist you in explaining to 18 the jury the details of the procedure? 19 A. Yes. 20 Q. Okay. 21 MR. BROOKS: Objection. Off the record. 22 THE VIDEOGRAPHER: Off the video record. 23 The time is 3:40 p.m. 24 MR. BROOKS: The nature of the objection 25 is that there is already a motion in limine pending	104 1 (Surgical photograph was received and marked 2 Apazidis-13 for identification.) 3 BY MR. PARRA: 4 Q. Doctor, can you tell us, what is it that 5 we're looking at here? 6 A. Sure. So this is the patient's back. Okay. 7 As I described, we cut through the skin. The kind 8 of orange-yellowish area, that's the skin. It's 9 cut, you know, from the upper thoracic all the way 10 down to the pelvis. The muscles are taken down off 11 of the bone and retracted out, because we need full 12 access to the spine. In order to reconstruct or 13 change the posture of the spine, I need to use -- 14 the instrument in my hand is a burr, so we use, you 15 know, burs and something equivalent to what's a 16 chisel to break the bone and remove the posterior 17 elements of the bone. So just like, if you could 18 imagine, if you break your arm and it's -- it heals 19 in a bad position. If you want to straighten that 20 arm, you have to recreate the fracture, break it 21 and then reset it in the right position, so that's 22 kind of what we're doing here with the spine. I 23 have to break up the posterior elements to allow me 24 to straighten the spine from the bent over 25 position.
103 1 regarding the showing of these videos and photos of 2 the actual surgical procedure itself. That stands. 3 I'm not going to continue to interrupt with 4 objection after objection as to each photo and each 5 commentary. Can we agree, Harry, that it's a 6 standing objection and that it is pending as a 7 motion in limine, and that way we don't add another 8 45 minutes to our testimony here today going off 9 and on and off and on? 10 MR. PARRA: Yeah. Yeah. That's why I'm 11 gonna try to keep him to the same, like, segment. 12 MR. BROOKS: Okay. All right. We can 13 go back on. 14 THE VIDEOGRAPHER: Back on the video 15 record. The time is 3:41 p.m. 16 BY MR. PARRA: 17 Q. Doctor, I believe what we were asking you is 18 whether you felt that the demonstration of these 19 photographs to the jury would assist the jury in 20 understanding your explanation as to the details of 21 the procedure? 22 A. Yes. 23 Q. Thank you, Doctor. 24 Doctor, I'm gonna show you what's been marked 25 now as Apazidis-13, I think what we're on now.	105 1 Q. Let me just interrupt you briefly here. You 2 indicated that you retract the muscles. How do you 3 do that? Is there -- is there something on this 4 photo that demonstrates how you do that? 5 A. Oh, sure. So the muscles are intimately 6 attached to the bony surface. So we use 7 something -- it's like a burning, like a laser 8 burning type device, and that is used to scrape the 9 muscle off of the bone in a way to try to prevent 10 too much bleeding. Obviously it's muscle tissue so 11 it's still gonna bleed extensively, but we use this 12 laser to try to control that. So as the muscles 13 are carefully removed off of the bone -- I'm gonna 14 use the arrow here to point. This is a retractor, 15 this silver thing here. 16 Q. Hold on, Doctor. Doctor, we can't really see 17 your -- your mouse there, but I can give you -- 18 A. Oh. 19 Q. -- I can give you control, or I guess our 20 videographer can give you control of that. 21 THE VIDEOGRAPHER: Yes. Doctor, I'll 22 give you control and then you'll just have to click 23 on your mouse. 24 THE WITNESS: Okay. So is it -- can you 25 see me moving the arrow or no?

106 1 THE VIDEOGRAPHER: Hang on one moment 2 for me. Off the video record. The time is 3 3:45 p.m. 4 Sorry, Counsel. Actually, because 5 you're the one sharing the document, you would 6 actually have to give the doctor remote control 7 access. 8 MR. PARRA: Oh, I gotcha. I gotcha. 9 Why don't we see if you can -- if we can see your 10 mouse. 11 THE WITNESS: Okay. Can you -- 12 MR. PARRA: Yeah. 13 THE WITNESS: -- see me moving it? Yes? 14 MR. PARRA: Yeah. 15 THE WITNESS: Okay. Great. 16 THE VIDEOGRAPHER: I'll get us back on. 17 Back on the video record. The time is 18 3:45 p.m. 19 BY MR. PARRA: 20 A. Okay. So as I was saying, this is the 21 retractor here. You see it looks like it has like 22 a scissor handle back here, and then these are the 23 long limbs of the retractor. So the muscle which 24 has been taken down off of the bony areas here is 25 being held back by this, this retractor here.	108 1 accordion type tube is for the -- that laser 2 device. It has like a suction system with it. And 3 then there's some other instruments. This yellow 4 tube -- there's something called Aquamantys. It 5 helps us -- it's another different, like, laser 6 type device. It helps us remove the muscle off of 7 the bone while, you know, trying to minimize 8 bleeding. 9 Q. Okay. I'm gonna show you, Doctor, 10 Apazidis-14. 11 A. Umm-hum. 12 (Surgical photograph was received and marked 13 Apazidis-14 for identification.) 14 BY MR. PARRA: 15 Q. Does this offer us any additional 16 information? 17 A. Sure. It's a little more close-up view of 18 the actual spine. So what we have, this is the -- 19 these are the spinous processes here, and then 20 these shiny metal areas here, these are the screws 21 that were placed into the vertebral bodies. This 22 is another instrument here that I'm using to place 23 another screw, and this is the suction device used 24 to suck in -- suction the blood that, you know, 25 unfortunately kind of continues to trickle through
107 1 Sometimes we put one or two or, you know, multiple 2 retractors and we move them around, depending on 3 what part of the spine we are working on, because 4 you don't want to keep the muscle, you know, 5 retracted for long periods of time because you 6 put -- retract the muscle, you're kind of cutting 7 off blood flow to it, so we do move this retractor 8 around, depending on what part of the spine we're 9 working on. I have in my hand here a burr. This 10 is a suction device in my assistant's hand, and the 11 burr is used to take down the facet joints and some 12 of the bony surfaces in the back in order to be 13 able to manipulate the spine. 14 Q. Can you tell us, is the patient's head 15 oriented towards the top of the photo or the bottom 16 of the photo? 17 A. The bottom of the photo. The head is here 18 and these are the extremities here, the lower 19 extremities. 20 Q. And around where your mouse is, what are all 21 those tubes? 22 A. These are the different instruments that we 23 have. I mean, this is a suction tube. There's 24 another suction tube here. This black cable is for 25 the burr, the high-speed burr. This kind of like	109 1 the wound because of the muscle tissue that, once 2 it's disrupted, kind of always gives off a little 3 bit of blood during the surgery. 4 Q. Okay. And in Apazidis-15. 5 (Surgical photograph was received and marked 6 Apazidis-15 for identification.) 7 BY MR. PARRA: 8 A. I think this is a more zoomed out view of the 9 similar from the previous. 10 Q. Okay. Thank you, Doctor. 11 I'm gonna show you now what's been previously 12 marked as Apazidis-16. 13 (Surgical video was received and marked 14 Apazidis-16 for identification.) 15 BY MR. PARRA: 16 Q. Doctor, do you still have control of the 17 mouse -- 18 A. Yeah. 19 Q. -- moving the mouse? 20 A. Sure. 21 Q. No, it does not appear that you do -- 22 A. No. 23 Q. -- so hold on. Let me see if I can -- well, 24 you know what, I'll just -- I'll just play it for 25 you.

110 1 A. Sure. 2 Q. Doctor, this is part of the video record in 3 the patient's medical file? 4 A. Yes. 5 Q. Okay. And is this a drawn back sort of view 6 from the photos that we were looking at before? 7 A. Yes. This is a picture of -- the patient is 8 laying, you know, laying on the table there. My 9 hands are close to the patient. You can see kind 10 of a metal object or -- that's just above my right 11 hand. That's the -- a system that assists with the 12 navigation. It orients the navigation guidance to 13 the patient's actual body. So when we run the CAT 14 scan, that telemetry system creates the image and 15 lets us know in space where the patient's bones 16 are, and then all the instruments that we use are 17 based off the telemetry with that -- with that 18 antenna. 19 Q. And that's you in the purple mask? 20 A. Yes. 21 Q. And what is the object -- is that your 22 assistant on the other side of the patient? 23 A. Yes. That's Dr. El-Shafy, and he has a 24 mallet in his right hand. 25 Q. Okay. I'm gonna play the video, and if you	112 1 the ribs, and then next -- then in the middle area 2 is the vertebral body. Coming off the vertebral 3 body you see the transverse processes, and then 4 heading straight up is the spinous process. So 5 where I'm placing the cannula is what's called the 6 pedicle. That's a thoracic level -- excuse me. 7 That's a thoracic vertebra, so I'm cannulating or 8 making a hole in the bone through which I can place 9 my screw safely, and the reason we use this 10 navigation where, you know, we used to use either 11 clumsy x-ray or just do it by feel, is you can see 12 obviously a lot of important structures here and 13 we're placing, you know, large metal implants, you 14 know, close to the lungs, close to the spinal cord, 15 the spinal canal, so we use this navigation so all 16 our screws are placed in the perfect position. 17 Q. Thank you, Doctor. 18 MR. PARRA: Off the video record. 19 THE VIDEOGRAPHER: Off the video record. 20 The time is 3:52 p.m. 21 MR. PARRA: Okay. I don't have much 22 more to go. I'd like to just take five minutes 23 here so that I could organize this last set of 24 exhibits and then we can finish up the direct. 25 Okay?
111 1 could just describe what we're looking at while the 2 video plays. 3 A. Sure. So what I'm using here is an 4 instrument to cannulate or get into the vertebral 5 body of the bone, of the vertebra. So you see I'm 6 not looking at the patient. I'm actually looking 7 at a screen, because the instrument I'm using is 8 oriented with the navigation and I'm placing it in 9 the correct place utilizing navigation system, and 10 then he is helping me by tapping it into place. 11 This way I can perfectly control the pedicle finder 12 and cannulator, and then he taps in place -- and 13 then you can see I'm -- what I'm looking at, 14 actually, with that, that image, where I'm, like, 15 seeing where the screw is being placed on the 16 vertebra itself, you know. 17 Q. I'm gonna take you -- not to interrupt, but 18 I'm gonna take you back to that last image, and if 19 you could describe what it is that we're looking at 20 there. 21 A. Sure. So this is an intraoperative CAT scan 22 of the vertebral body, and you can -- you can see 23 the bony part is the brighter areas. You see a lot 24 of, like, gray. The really black areas on the 25 right are the lungs. Right next to the lungs are	113 1 (A brief recess was taken.) 2 THE VIDEOGRAPHER: Back on the video 3 record. The time is 4:02 p.m. 4 (Photograph was received and marked 5 Apazidis-17 for identification.) 6 BY MR. PARRA: 7 Q. Okay. Doctor, I'm going to show you 8 Apazidis-17, which I'll represent to you is a photo 9 of the patient before you performed your surgery, 10 and he'll authenticate it, and I'm gonna ask you if 11 this is, in fact, the condition that you sought to 12 repair with your surgery? 13 MR. BROOKS: I'm gonna object. Off the 14 record. 15 THE VIDEOGRAPHER: Off the video record. 16 Time is 4:02 p.m. 17 MR. BROOKS: It's the same objection as 18 to lack of authentication. I suppose that's 19 probably gonna work itself out -- 20 MR. PARRA: Yeah. 21 MR. BROOKS: -- due to the order and how 22 we're taking these. I'm not gonna -- again, I'm 23 not gonna continue to object to this line of 24 photographs, assuming he had more than this. Can 25 we agree, Harry, that it's the same objection as to

114 1 all of them? 2 MR. PARRA: Of course. 3 MR. BROOKS: Okay. Back on the record, 4 please. 5 THE VIDEOGRAPHER: Back on the video 6 record. The time is 4:03 p.m. 7 BY MR. PARRA: 8 Q. Doctor, is that the condition that you sought 9 to repair with your surgery? 10 A. Yes. This is more or less how the patient 11 presented to me when I first saw him that caused me 12 to move further with the workup. 13 Q. And again, you saw him about a year and a 14 half after the December 8th, 2022 accident, 15 correct? 16 A. Yes. 17 (Photograph was received and marked 18 Apazidis-21 for identification.) 19 BY MR. PARRA: 20 Q. Okay. Doctor, I'm gonna show you -- I'm 21 sorry -- Apazidis-21. And Doctor, if you could, 22 what are we looking at here? 23 A. Okay. So this is the patient in the -- in 24 his hospital bed. This is his back. The -- this 25 is the dressing that's applied to the patient. You	116 1 it's an anti-bacterial, anti-infection dressing. 2 It's called Xeroform. 3 (Photograph was received and marked 4 Apazidis-19 for identification.) 5 BY MR. PARRA: 6 Q. And Apazidis-19? 7 A. Okay. So this is with the wound exposed. It 8 looks to be, you know, probably several days or a 9 week later. As you can see, he's still in the 10 hospital, which is pretty typical for a procedure 11 like this. You can see some of the deformity. 12 He's kind of, like, leaning forward but, you know, 13 we've kind of taken out some of the more acute 14 kyphosis or, you know, forward bending position. 15 The drain is still there. Usually the plastic 16 surgeon leaves it in place until he feels that the 17 drainage is down sufficiently, that he's 18 comfortable to take it out, or that the wound has 19 healed to the extent that he's not worried about 20 pressure or build-up of fluid inside. 21 Q. And Doctor, I'm noting here these -- what 22 appears to be these sutures, or how do you -- how 23 do you get the back back together, I guess is what 24 I'm -- 25 A. In a case like this, these are actually
115 1 see a gauze pad. It's held down with tape. The 2 tubes at the bottom, those are what's called 3 drains. So obviously after a surgery like this, 4 even after the plastic surgeon puts everything back 5 together again, the -- this -- you know, the 6 muscles continue to kind of drain and there's some 7 bleeding, as well as just the swelling, any fusion 8 that comes from the inflammation after a procedure 9 like this, especially after taking down the bone, 10 so we put in drains to kind of drain away some of 11 that excess fluid, to take pressure off of the 12 wound to allow the skin to heal, so those are the 13 drains at the bottom there, and this is his -- you 14 know, the white part is the dressing. 15 (Photograph was received and marked 16 Apazidis-18 for identification.) 17 BY MR. PARRA: 18 Q. Okay. Doctor, and how about Apazidis-18? 19 A. Okay. This is -- this is probably an 20 earlier, or a more postoperative, or more immediate 21 postoperative dressing. Again, you can clearly see 22 the drain tube at the bottom there. That special 23 silver dressing is something we put on early on. 24 It's like an anti-infection material. It's 25 Silvadene. The yellow part underneath is -- again,	117 1 staples. 2 Q. Okay. Is this multiple layers that you have 3 to put back together? 4 A. Oh, yeah. So, you know, deep inside we have 5 to bring the muscle back together, and then there's 6 the fascia on top of the muscle, the thoracolumbar 7 fascia, that's brought back together. Above the 8 fascia is a layer of fat called Camper's layer. 9 That's brought back together with a different layer 10 of suture, and then just beneath the skin is the 11 dermis and that's brought back together with a 12 finer suture, and then the skin itself. In a case 13 like this I would use -- I use staples. 14 Q. Thank you, Doctor. 15 (Photograph was received and marked 16 Apazidis-20 for identification.) 17 BY MR. PARRA: 18 Q. And finally, 20, is that just the same type 19 of photo? 20 A. Yeah. It looks pretty similar. 21 Q. Okay. Thank you, Doctor. 22 Doctor, after the surgery like this one what 23 is the patient's recovery period? 24 A. I mean, six months to a year. 25 Q. Okay. And what does the recovery look like?

118 1 What does he have to do, or what did he do in this 2 case? 3 A. So he followed a very typical course. 4 Usually hospitalization can be upwards of a week, 5 and he was right in there. He had a little longer 6 hospital stay because he had no -- he didn't really 7 have any help at home, so we really had to make 8 sure he was completely independent before sending 9 him home. That involves, you know, getting up with 10 a walker, being able to get out of bed by yourself, 11 being able to walk to the bathroom, being able to 12 take a few steps, and eventually you're doing some 13 stairs before being deemed safe for discharge home. 14 And once home, obviously there's, you know, a lot 15 of discussions with him about safety evaluation, 16 you know, is he still able to get out of bed, you 17 know, is there no trip hazards, things like that 18 that we worry about. Obviously his strength and 19 coordination are completely off. So he used a 20 walker. He used the walker for a better part of a 21 year during the recovery. 22 Q. Was he in pain after this? 23 A. Yes. There's a lot of pain. 24 Q. Did you prescribe pain medicine as a result 25 of that?	120 1 And I'm gonna take you back to your -- what 2 we've marked as Apazidis-12 but what is essentially 3 your operative report, and I'm gonna direct your 4 attention to page 2. First of all, you authored 5 this report, correct? 6 A. Yes. 7 Q. Okay. And on page 2, if you could see my 8 mouse, there's a particular notation here that -- I 9 wanted you to start right here, where it says he. 10 Could you read that off to the jury? 11 A. Sure. He demonstrated understanding, asked 12 appropriate questions, and consented to the 13 surgical procedure. I explained to the patient 14 that his fractures that occurred almost two years 15 ago previously had deteriorated to the point of his 16 current kyphotic posture. Obviously this 17 represents a possible permanent deformity that even 18 with surgical correction may not be correctible, 19 especially given that the neurologic status of the 20 cord may have accepted this position and not 21 tolerate significant correction at this point. He 22 demonstrated understanding of these issues and 23 wished to proceed with the attempted correction and 24 at least stabilization of the kyphotic fractures so 25 they would not deteriorate any further.
119 1 A. Yes. He was on narcotic medication at least 2 for six months. 3 Q. What are some of the side effects of narcotic 4 medication? 5 A. Obviously sedation, and as well as severe 6 constipation, which presents a whole other set of 7 complications in itself. There's respiratory 8 sedation, which we always worry about. You heard a 9 patient takes a pill and doesn't wake up. So the 10 narcotics themselves have a lot of risks, as well 11 as complications. 12 Q. What about dependency, is that one of the 13 risks associated with it? 14 A. There's a high risk of dependency in a 15 situation like this. We have to monitor very 16 closely and basically just give him enough that 17 he's, you know, not in pain but still having some 18 pain to kind of -- you know, you can never give 19 somebody zero pain with narcotics. We just try to 20 get him to a point where he's comfortable, and he 21 demonstrated understanding. He, you know, he 22 understood those risks and, you know, he was 23 himself concerned about, you know, about those 24 risks. 25 Q. Thank you, Doctor.	121 1 Q. Thank you, Doctor. 2 And so, Doctor, one of the questions I have, 3 is this a condition that is now permanent? 4 A. Yes. I mean, and it was, you know, possibly 5 permanent even, you know, when I saw him. One of 6 the things we didn't really discuss about the 7 surgery is that because the spine had been in that 8 bent over position and at the level of, you know, 9 T9, 10, 11 and 12, you're involving the actual 10 spinal cord. There is -- and with fractures, 11 inflammation and scar formation, so the cord can 12 get kind of stuck in that position. So even though 13 we release the bone and I use a straighter bar to 14 attach the screws to, as I'm doing the correction 15 we use what's called neural monitoring system, 16 where we kind of turn his body into a circuit board 17 and we plug into his nerves and then shoot 18 electrical stimulation down from the brain down to 19 the legs, and then as I'm doing the correction, we 20 actively monitor the spinal cord to see if it's 21 being upset by that correction. So, you know, the 22 idea that I can, you know, after almost two years 23 bring that back to 100 percent normal would be not 24 advisable, because even with the monitoring system, 25 you know, even if you get the signal saying, hey,

122 1 stop that, or release it, you know, you don't want 2 to cause a neurologic event, so I told him off the 3 bat, I'm gonna go for an acceptable level of 4 correction but I'm not gonna go for, you know, a 5 severe level of correction, because I didn't want 6 to risk, you know, any kind of neurological event. 7 Q. Thank you, Doctor. 8 Doctor, I'd like to refer you to your report 9 of November 5th, and in particular to what appears 10 to be the -- page 6. At the bottom of that page 11 you gave an opinion as to causation? 12 A. Yes. 13 Q. And can you tell us -- 14 MR. BROOKS: Objection. Off the record. 15 THE VIDEOGRAPHER: Off the video record. 16 The time is 4:15 p.m. 17 MR. BROOKS: You may have been about to 18 correct it, Harry, but I don't think there was 19 actually a question pending, so I made -- 20 MR. PARRA: I was about to correct it. 21 MR. BROOKS: All right. Let's go back 22 on. 23 THE VIDEOGRAPHER: Back on the video 24 record. The time is 4:15 p.m. 25 BY MR. PARRA:	124 1 and lower back pain, however, it completely 2 resolved, and I -- you know, his condition as of 3 when I first saw him up till now, I don't think 4 there's really any contributions from that -- from 5 that 2021 event. 6 Q. And with respect to the following paragraph, 7 on December 23rd, 2022 -- regarding the 8 December 23rd, 2022 accident, what was your 9 opinion? 10 A. I mean, certainly this accident did, you 11 know, aggravate, exacerbate, you know, and 12 contribute to his overall situation. He was 13 already vulnerable in terms of already having 14 suffered, you know, fractures. These fractures 15 were acute and what we refer to as, like, hot or 16 unstable. In other words, they were in an acute 17 inflammatory state. This was already an unstable 18 fracture. I'm, you know, not clear if he was even 19 being braced at that time in that interim period, 20 but, you know, obviously he's vulnerable, and then 21 he suffered a subsequent, you know, motor vehicle 22 accident. So certainly there's, you know, 23 contribution of that to the overall current 24 situation, as well as, you know, what I started 25 with in June of 2024.
123 1 Q. Doctor, with respect to your paragraph on 2 causation, do you indicate on there -- do you 3 indicate what motor vehicle accident injuries you 4 were evaluating him for? 5 A. Yes. I evaluated him for the accident of 6 December 8th, 2022, as well as the subsequent 7 December 23rd, '22 accident. 8 Q. And did you give an opinion as to what the -- 9 what injuries were caused by his first accident? 10 A. Sure. So as evidenced by his complaints on 11 presentation on December 9th of 2022, as well as 12 the imaging studies obtained on December 12th and 13 13th of 2022, all subsequent to the December 8th 14 accident but prior to the December 23rd accident. 15 The patient had MRI evidence, as well as clinical 16 complaint of thoracic vertebral compression 17 fractures, as well as, you know, increased pain to 18 the neck and the lower back, with, you know, MRI 19 evidence of injuries to these levels subsequent to 20 this December 8th accident. 21 Q. And if you turn to the following page, do you 22 indicate what injuries as of today he still had 23 from his previous August 12th, 2021 accident? 24 A. With respect to the August 12th, 2021 25 accident, the patient had had some, you know, neck	125 1 Q. And Doctor, I know we asked you to 2 numerically allocate the injuries stemming from 3 which particular accident. Is that something that 4 is really possible, though? Can anyone give an 5 exact figure? 6 A. I mean, obviously this is -- this would be 7 challenging, you know. These accidents occurred 8 within two weeks of each other, you know. The one 9 thing that helps here is that I do have the 10 pictures from in between these accidents, which is 11 fortunate, you know, allowing me to at least 12 attribute the fracture, the C6-7 disc herniation, 13 you know, to the December 8th accident, and, you 14 know, I was asked to try to ascribe a percentage. 15 Obviously, you know, it's not an exact thing but, 16 you know, my overall feeling and best estimate 17 would be, you know, anywhere from 30 to 40 percent, 18 let's say, would be attributable to the 19 December 8th accident and the remainder due to the 20 subsequent, you know, accident. Again, this was -- 21 you know, it's a very difficult thing, as we don't 22 have a big -- you know, certainly not a big 23 functional track record in between, but fortunately 24 we do have the imaging. 25 MR. BROOKS: Objection. Off the record.

126 1 THE VIDEOGRAPHER: Off the video record. 2 The time is 4:19 p.m. 3 MR. BROOKS: Harry, he can't just 4 randomly add 50 percent more injury to what he has 5 already attributed to this accident. 30 to 6 45 percent is way outside of this. I'm moving to 7 strike that entire response. If you want to reask 8 the question so that he doesn't change what he's 9 already reported on, I guess we could solve that. 10 Otherwise, that's gonna get stricken. 11 MR. PARRA: I mean -- 12 MR. BROOKS: You can't just change 13 percentage on the fly. 14 MR. PARRA: Listen, I disagree with you, 15 only because the case law does not require him to 16 even give a percentage, so he's only really doing 17 this trying to illustrate what he -- what he is 18 saying. 19 MR. BROOKS: But he did. He did give a 20 percentage. 21 MR. PARRA: So what. 22 MR. BROOKS: And now -- now, in front of 23 a jury, to change that percentage, that's nonsense. 24 It's absolute ridiculous. You can't do that. 25 THE WITNESS: I didn't say 40.	128 1 of the fracture had become permanent, and obviously 2 I performed a permanent surgery, placing permanent 3 implants into his back, including, you know, 4 pedicle screws bilaterally, as well as rods and, 5 you know, bone graft and so forth. I performed a 6 fusion procedure, which is also permanent, you 7 know. Once the bone and everything fuses together, 8 that's also permanent. 9 Q. Right. And Doctor, regarding -- regarding 10 that, we do have an image study here that I would 11 like to mark as -- it's gonna be Apazidis-22, I 12 believe we're on now. Let me show you. 13 (X-ray dated 10-7-25 was received and marked 14 Apazidis-22 for identification.) 15 BY MR. PARRA: 16 Q. Doctor, can you tell us what we're looking at 17 here? 18 A. Sure. So this is an x-ray. This is a front 19 to back x-ray. You can see the silhouette of the 20 heart, as well as the lungs. In the middle is the 21 spine. Okay. At the top you have just the bottom 22 of the cervical, or spine or the neck, and then we 23 have the -- one, two, three -- the first three 24 thoracic levels, and starting at T4 I've placed one 25 of my pedicle screws. So you can see the kind
127 1 MR. BROOKS: That's grounds for striking 2 the entirety. 3 MR. PARRA: He didn't say 45. 4 THE WITNESS: Yeah. I said 40. 5 MR. BROOKS: He said 30 to 45 percent. 6 We can read it back. 7 MR. PARRA: No, he did not. 8 THE WITNESS: No. I said 40. I'm 9 sorry. If I miss -- if I was misheard -- 10 MR. PARRA: You didn't, Doctor. 11 THE WITNESS: I did say 30 to 40. 12 MR. PARRA: Right. So, I mean, you can 13 make your objection and we'll handle it that way, 14 and you can ask him on cross, too. 15 MR. BROOKS: Oh, that's happening. 16 MR. PARRA: I know. 17 MR. BROOKS: You can go back on. 18 THE VIDEOGRAPHER: Back on the video 19 record. The time is 4:21 p.m. 20 BY MR. PARRA: 21 Q. Doctor, I believe you already answered this, 22 however, you believe that the injuries that he 23 sustained in the December 8, 2022 accident that 24 we're here for today are permanent in nature? 25 A. Yes. I mean, the fracture and the position	129 1 of -- the really white area is the screws and you 2 can see screws on both, you know, both sides, right 3 and left, starting in the upper thoracic area, and 4 then, you know, going all the way down, in this 5 case, you know to about the bottom of the thoracic, 6 but these -- this did extend into the sacrum. 7 Q. What is -- what is the date of this x-ray? 8 A. Sorry. Date of this x-ray is -- looks like 9 October 7, 2025. 10 Q. Thank you, Doctor. 11 And would it benefit the jury to see the side 12 view? 13 A. Sure. It would be helpful. 14 Q. Okay. Now, Doctor, we're looking at the side 15 view of the x-ray. Can you tell us what we're 16 looking at here? 17 A. So again, you can see the screws. In some 18 cases it only looks like it's one screw, because 19 they're perfectly overlying each other in 20 silhouette, but again, there's -- you can kind of 21 see the two, the two rods, left and right, and 22 then -- so the screws go into the bone and then 23 using the bilateral, you know, screws on both sides 24 I'm able to manipulate the spine and try to 25 straighten it out, and mostly it also helps just to

130 1 kind of hold it in place, to provide that added 2 support, because obviously the spine's in a bad 3 posture, so the rods here were bent to be 4 straighter, you know, than he was before, but 5 obviously there's still some curvature, and the 6 thoracic spine does have some degree of natural, 7 you know, curvature in this direction, so we use 8 this to hold things in place, and then the rods are 9 secured to the screws and then the screws thereby 10 hold the bones in place. 11 Q. Will the rods also gradually help to 12 straighten out a little more? 13 A. No. This is as straight as I could get him. 14 Based on the fact that, you know, the spine had 15 become fairly rigid, you know, it had been stuck in 16 this position for a year and a half and, you know, 17 it's unsafe to overly straighten it, and we can 18 accept, you know, some curvature here. You know, 19 we can accept even up to 60 degrees. This is much 20 less, probably closer to 40 degrees. You know, the 21 idea of trying to get closer to, you know, 20 to 22 25 degrees just would be unwise in a situation like 23 this. 24 Q. What is the length of the pedicle screw? 25 A. They're different lengths depending on the	132 1 and then of course closure, putting it all back 2 together again, that's almost two hours, just to 3 put everything back together again. 4 Q. Thank you, Doctor. 5 Doctor, as you sit here today would you be 6 able to say if he was not involved in this 7 December 8th accident whether he would be in the 8 same condition today? 9 A. Well, I don't think he would have the 10 fracture that caused the kyphotic deformity. 11 Q. Thank you, Doctor. 12 Would you be able to say if he was not 13 involved in his December 23rd accident whether he 14 would be in the same condition here today? 15 A. Well, it's -- I mean, it's difficult. I 16 can't say, you know, if that -- so that accident 17 may have contributed to certainly exacerbation of 18 the fractures, possibly to worsening of the 19 fractures. Obviously there was, you know, an 20 exacerbation. I mean, probably would have been 21 better off had he not got into a second accident. 22 Q. Right. Okay. So you wouldn't be able to say 23 that if he had not been involved in the 24 December 23rd accident he would be in the same 25 condition today?
131 1 vertebral body. Can be anywhere from 30 2 millimeters to 45 millimeters long. 3 Q. Do you have to drill holes into the bone 4 before inserting the screw? 5 A. Yes. So we have a sequence of instruments, 6 you know. They're -- each a little bit wider than 7 the last, and that's what I was using the 8 navigation system for. So we use what's called a 9 pedicle finder, which is about a 4 millimeter kind 10 of pick, and under the navigation guidance I make 11 the initial hole and then it's widened utilizing a 12 5 millimeter tap, and then ultimately the, you 13 know, 5 or 6.5 millimeter screws are placed into 14 the bone. 15 Q. And is this why the procedure takes between 16 six and eight hours, as you're testifying? 17 A. Yes. I mean, it takes almost two hours just 18 to expose the bony surfaces. Orienting the 19 navigation system itself can be almost an hour long 20 process. Then, you know, actually cannulating the 21 bone and the pedicles and taking off of the, you 22 know, the posterior elements, chiselling and 23 burring them off and then performing the correction 24 procedure before finally, you know, using -- you 25 know, securing the rod to the screws themselves,	133 1 A. I would -- 2 MR. BROOKS: I'll object. Off the 3 record. 4 MR. PARRA: I'll withdraw that question. 5 That's a -- it's not a good question. I'll 6 withdraw that question. 7 Q. And Doctor, finally, will the patient ever be 8 in the same condition he was before the accident of 9 December 8th, 2022, in your opinion? 10 A. No. 11 Q. No further questions. 12 CROSS EXAMINATION BY MR. BROOKS: 13 Q. Doctor, I have quite a lot of questions for 14 you. 15 A. Okay. 16 Q. Do you know what bias is? 17 A. I'm sorry. Could you say the word again? 18 Q. Bias, B-I-A-S. 19 A. Oh, bias, yes. 20 Q. Okay. It's a prejudice in favor or against 21 one thing or another generally accepted to be an 22 unfair prejudice. Is that an agreeable definition? 23 MR. PARRA: Objection. 24 THE VIDEOGRAPHER: Off the video record. 25 Time is 4:29 p.m.

134 1 MR. PARRA: Where you getting this 2 definition from? 3 MR. BROOKS: I'm asking him if he 4 agreed. He hasn't answered. 5 MR. PARRA: Okay. 6 MR. BROOKS: He could say yes. He 7 probably should. That's pretty much the standard 8 definition of it. 9 MR. PARRA: We'll see. 10 MR. BROOKS: Let's go back on. 11 THE VIDEOGRAPHER: On the video record. 12 The time is 4:30 p.m. 13 BY MR. BROOKS: 14 Q. Doctor, did you -- did you agree with my 15 generalized definition of what bias is? 16 A. I mean, I don't have a dictionary in front of 17 me, I'm not an English major, but it sounds like a 18 good definition, yes. 19 Q. Okay. You work for Precision Pain & Spine, 20 right? 21 A. Yes. That's my practice. 22 Q. And if we go on to the Precision Pain & Spine 23 website, we see you posing with all your other 24 worker guys, doctors there with the thumbs up so 25 that everyone can take a look at you, right?	136 1 THE VIDEOGRAPHER: Back on the video 2 record. The time is 4:31 p.m. 3 BY MR. BROOKS: 4 Q. So Doctor, that's sort of the model for how 5 Precision Pain & Spine, the practice you work for, 6 expects to be paid in a case like this one, you 7 treat them for a lawsuit accident and get paid out 8 of the settlement or the verdict that results; is 9 that fair? 10 A. I believe in this case the insurance did not 11 authorize the procedure and I did feel that he 12 needed it, so however the practice made the 13 arrangement for, you know, getting permission to do 14 it -- again, I'm not involved with the business 15 operations. I just, you know, treat the patients 16 and make, you know, my treatment decisions. 17 MR. BROOKS: I'm gonna object. Off the 18 record. 19 THE VIDEOGRAPHER: Off the video record 20 the time is 4:32 p.m. 21 MR. BROOKS: I'll move to strike the 22 response to the extent that it responded to 23 something other than what I asked and it made 24 mention of insurance, which we can't do here in 25 front of a jury. With that said, I'm gonna move on
135 1 A. Yes. 2 Q. And you know that Precision Pain & Spine 3 claims to be owed a significant amount of money for 4 the treatment that plaintiff received? You're 5 aware of that fact, right? 6 A. Yeah. I assume we do have a bill, yes. 7 Q. Okay. And just to be clear, Precision Pain & 8 Spine, the practice that you work for, they expect 9 to be paid out of this lawsuit if the jury makes an 10 award, right? 11 A. Yes. 12 Q. Okay. And that's sort of the business model, 13 right, is to treat for a lawsuit accident, get paid 14 out of the settlement or the verdict, right? 15 A. I mean, I don't know about a business model, 16 but, you know, I'm not involved in the business 17 operations. 18 MR. PARRA: Objection. 19 THE VIDEOGRAPHER: Off the video record. 20 The time is 4:31 p.m. 21 MR. PARRA: Sometimes that's not the 22 case, though, so -- 23 MR. BROOKS: I'll narrow it to this 24 case. I'll narrow it to this case. 25 Let's go back on.	137 1 to the next set of questions here. 2 Harry, any commentary? 3 MR. PARRA: Let me -- let me just put -- 4 my response to that is it's not always the case 5 that we can't mention insurance. When it comes to 6 PIP and things like that, you can mention 7 insurance. In this case, you know, they're not 8 even gonna know that there is insurance from this, 9 so -- right? I mean, presumably. 10 MR. BROOKS: Well, the doctor just said 11 the insurance wouldn't pay for it. 12 MR. PARRA: Well, if it's PIP insurance, 13 I mean, that is one of the reasons why he couldn't 14 have it done. He's gonna be able to talk about 15 that, so -- but I understand. 16 MR. BROOKS: It's a two-level objection, 17 as to non-responsiveness and to commentary as to 18 insurance. I'm gonna move on, because I think that 19 response is gonna be stricken and I suspect that it 20 will sort itself out at that point. 21 MR. PARRA: Fair enough. 22 MR. BROOKS: Okay. Let's go back on. 23 THE VIDEOGRAPHER: Back on the video 24 record. The time is 4:34 p.m. 25 BY MR. BROOKS:

138 1 Q. So Precision Pain & Spine, the practice you 2 work for, claims to be owed a significant amount of 3 money for this treatment, but they treated 4 plaintiff for both this accident, the 12-8-2022 5 accident, as well as the subsequent one that 6 happened 15 days later on 12-23-2022, right? 7 A. Yes. 8 Q. Okay. So Precision Pain & Spine, because 9 they expect to be paid out of whatever this jury 10 awards or does not award, has a direct financial 11 interest in the outcome of the trial; isn't that 12 true? 13 A. Yes. 14 Q. And you, as a member of Precision Pain & 15 Spine, are not only speaking on your behalf, but 16 you have already testified as to what other members 17 of the practice have found and observed and 18 concluded, right, because you're part of them? 19 A. Yes. 20 Q. Okay. Yet you'd have this jury believe that 21 you can -- you can set that aside, that bias, that 22 you're financially interested in the outcome of 23 this and that you can be completely objective in 24 your position in giving testimony here today? 25 That's your position; is that correct?	140 1 THE VIDEOGRAPHER: Back on the video 2 record. The time is 4:36 p.m. 3 BY MR. BROOKS: 4 Q. Sir, just to be clear, it's your position 5 that you are not being guided by the direct 6 financial interest that you just admitted to when 7 reaching your conclusions as to causation of 8 plaintiff's injuries; that's your position? 9 A. Yes. 10 Q. Okay. Let's talk about the nature of a 11 treating physician's relationship to the patient. 12 As a treating physician you develop a 13 doctor/patient relationship with your patients; is 14 that right? 15 A. Yes. 16 Q. Okay. And you did that with plaintiff here, 17 as well, right? 18 A. Yes. 19 Q. Okay. And you do that so that you can -- 20 supposedly so you can treat him more effectively, 21 right? 22 A. Well, I mean, it's good to have a 23 relationship in terms of open communication to 24 understand, you know, the issues in his life, when 25 making -- certainly when making decisions about
139 1 A. Yes. 2 Q. Okay. I sort of thought that you would take 3 that position, but I guess the jury will determine 4 whether they find that credible. 5 Let's talk about the -- 6 MR. PARRA: Objection. I'm just 7 gonna -- that's not even a question. 8 MR. BROOKS: It's an interlude into the 9 next set of questions, so what was the basis of the 10 question -- of the objection? 11 THE VIDEOGRAPHER: Off the video record. 12 Time is 4:36 p.m. 13 MR. BROOKS: Oops. We'll have to edit 14 our bickering out. 15 MR. PARRA: Yeah, but, I mean, you know, 16 the objection is that it's not a question. It's 17 not even a question. It's not in the form of a 18 question and it is not reasonably -- 19 MR. BROOKS: I'll rephrase it. How's 20 that? 21 MR. PARRA: It's not a reasonable 22 prelude to any question, so anyway -- 23 MR. BROOKS: I'm gonna rephrase it. 24 MR. PARRA: In the form of a question? 25 MR. BROOKS: Okay. Go back on.	141 1 significant surgeries, cuz a lot of times it 2 involves the home situation, involves a support 3 network, you know. There's times when people are 4 undomiciled. Like, they might need surgery but 5 they have no home to go home to, and that's -- 6 would be inappropriate to proceed with a surgery, 7 you know, versus someone who has, you know, a 8 better home network, so a lot of that does figure 9 into surgical decision-making, treatment 10 decision-making, you know, what -- realistically 11 what we can or cannot do for a patient, you know, 12 so yeah, that -- the relationship is very 13 important. 14 Q. Good. I think we agree on that point. 15 And in forming that doctor to patient 16 relationship there should be trust between the 17 doctor and the patient, right? 18 A. I mean, yeah. The patient has to be honest, 19 yeah. 20 Q. And the patient should be -- also be able to 21 trust the doctor, right? 22 A. Yeah. I hope so, yes. 23 Q. Okay. And we would hope that the doctor 24 should be able to trust the patient, as well. I 25 think that's part of that, right?

142 1 A. Sure. 2 Q. And did you have any reason at all not to 3 trust plaintiff in this case? 4 A. No. 5 Q. Okay. When he told you about himself, how he 6 felt, how he was doing, did you believe what he 7 told you? 8 A. Yes. 9 Q. Okay. And generally, as a treating 10 physician, can we agree that you should believe 11 what your patients say in general about how they 12 feel, what their progress is, and what the cause of 13 their injuries are? 14 A. Yeah. I mean, some of the questions are, you 15 know, like, what is your pain level, you know, what 16 are things you're able to do, things you cannot do, 17 so yeah. I mean, these are -- it's part of the 18 overall assessment, sure. 19 Q. It's questioning them and then digesting and 20 believing what they tell you; is that right? 21 A. Yes. I have to believe in my patient, sure. 22 Q. Okay. We're gonna come back to that a little 23 bit later in the cross examination here. I'd like 24 you to take a look at your litigation report that 25 we talked about before. I think that it was marked	144 1 report you have a section, history of present 2 illness. Did I get that correct, as well? 3 A. Yes. 4 Q. And you didn't evaluate plaintiff until -- 5 and you didn't personally evaluate him until 6 June 3rd, 2024; is that right? 7 A. Yes. 8 Q. This report says January 6th, 2023, though. 9 Do you see that, in the very first sentence? 10 A. Yes. 11 Q. That wasn't you who evaluated him on 12 January 6, 2023, was it, or somebody else? 13 A. Yeah. Correct. You see it says, we had the 14 pleasure, and the report is signed by both -- 15 Q. Sure. 16 A. -- Dr. Elkholy and myself. 17 Q. Okay. In that same sentence it says, after 18 the second motor vehicle accident of 12-23-2022, 19 right? You see that? 20 A. Yes. 21 Q. Okay. And you characterized the 12-23-2022 22 as the second motor vehicle accident, right? 23 A. Yes. 24 Q. Okay. And then a little bit further down you 25 refer to this accident as initiating, I think, the
143 1 as -- bear with me. A lot of them have been 2 marked. It's the one dated November 5th, 2024. Do 3 you have that handy? 4 A. Yes. 5 Q. Okay. I may have heard you say, and I don't 6 know if it made it into the record, that there was 7 another narrative report generated in September of 8 2024. Is there another report? 9 A. No. I think it was just another copy that 10 was in the chart. Like, sometimes they just scan 11 multiple things in here. It's the -- 12 Q. So this is the only -- sorry. I talked over 13 you there. 14 This is the only narrative report that you 15 generated for these two accidents, November 5, 16 2024's report; is that right? 17 A. Yes. 18 Q. Okay. And it's on Precision Pain & Spine 19 letterhead; is that right? 20 A. Yes. 21 Q. Again, it refers to the dates of accidents 22 here as 12-8-2022 and then 15 days later, 23 12-23-2022, right? 24 A. Yes. 25 Q. Okay. And at the very beginning of this	145 1 initial injury date. You see that? It's a couple 2 paragraphs down -- 3 A. Correct. 4 Q. -- following the initial injury date? 5 A. Yes. 6 Q. Okay. And refer to it as the first MVA. 7 That's motor vehicle accident, I assume? 8 A. Yes. 9 Q. Okay. And you date that 12-8-2022, correct? 10 A. Yes. 11 Q. But when you wrote this report, the one that 12 we're here talking about today, the accident of 13 12-8-2022, you knew that wasn't his first motor 14 vehicle accident or his initial date of injury, you 15 knew that was his -- actually his second motor 16 vehicle accident -- 17 MR. PARRA: I'm just gonna -- 18 Q. -- right? 19 MR. PARRA: Objection. 20 THE VIDEOGRAPHER: Off the video record. 21 The time is 4:44 p.m. 22 MR. PARRA: Counsel, that's a misleading 23 question to the jury. I mean, like two paragraphs 24 down he makes reference to the August 12th, 2021, 25 so, I mean --

146 1 MR. BROOKS: I'm gonna correct all that. 2 I'm gonna go through it with him, but he repeatedly 3 does this throughout the report and I'm entitled to 4 cross him on it. 5 MR. PARRA: Okay. I just wasn't aware 6 where you were going with that. 7 MR. BROOKS: Let's go back on. 8 THE VIDEOGRAPHER: Back on the video 9 record. The time is 4:44 p.m. 10 BY MR. BROOKS: 11 Q. I'm gonna reask the question, Doctor. 12 You've referred to the 12-8-2022 accident as 13 the first accident throughout this report, but you 14 knew when you wrote the report that wasn't his 15 first accident, it was actually his second 16 accident, right? 17 A. Well, in terms of relevance, you know, the 18 prior accident wasn't really relevant to the topic 19 at hand, but yes, he -- you know, we do have it in 20 the history that he did have a previous injury over 21 a year before. 22 Q. My question was, you knew that it wasn't his 23 first motor vehicle accident where he claimed 24 injuries, right? 25 A. Yes.	148 1 Q. And you noted in the label -- the section 2 labeled surgical history that plaintiff had a prior 3 surgery to his cervical spine, surgery to his left 4 knee, and that was from the prior accident that 5 happened before either of the ones that you 6 reported on in here, that's the 8-12-2021 accident, 7 right? 8 A. Yes. 9 Q. And by the way, that past surgical history 10 was also with Precision Pain & Spine, correct? 11 A. Yes. 12 Q. So to be clear, we're really talking about 13 three accidents, 8-12-2021, 12-8-2022, and 14 12-23-2022, span of more or less 16 months. That 15 sound right? 16 A. I mean, yeah. How far back you want to go? 17 I mean, there's a lifetime of, you know, events. I 18 mean, again, this report is, you know, relevant to 19 the -- particularly the thoracic compression 20 fractures that are due to the December, 2022 21 injuries, but yeah. 22 Q. Sir, I just asked you whether we were talking 23 about three accidents in the span of 16 months. 24 That's all I want to know. Is that what we're 25 talking about?
147 1 Q. Okay. And you do acknowledge in the past 2 medical history section prior MVAs, it's plural, 3 but on 8-12-2021. Do you see that? 4 A. Yes. 5 Q. Okay. And when you wrote this report you 6 referred to the 12-23-2022 accident, the one that 7 was 15 days after the one we're litigating here 8 today, you called that the second motor vehicle 9 accident when, in fact, it's actually the third, 10 correct? 11 A. I mean, again, this report is, you know, 12 regarding the injuries that, you know, I'm 13 treating, and the relevance, you know, I mean, you 14 know, the relevance to what I'm dealing with is the 15 12-8 and the 12-23, you know, dates of injury. You 16 know, prior things weren't really relevant to what 17 I'm discussing here, so that's why I'm referring to 18 it as -- 19 Q. We'll come -- 20 A. -- one and two. 21 Q. We'll come back to that, but when you wrote 22 this report you knew that the 12-23-2022 accident 23 was the third accident he had been in and it was 24 not the second; yes or no? 25 A. Yes.	149 1 A. No. I'm not talking about the 2021 accident, 2 because it has no relevance to what I'm -- what I'm 3 dealing with here. I'm discussing the December -- 4 Q. Okay. 5 A. -- 2022 accidents. 6 Q. Okay. And it's -- I mean, it's convenient 7 that the earlier one doesn't matter, but we're 8 gonna circle back on that a little bit further in 9 here. 10 Nowhere in your report does it state that you 11 reviewed photos from any of the accidents, does it? 12 A. Photographs? 13 Q. Yeah. 14 A. No. I mean, I have radiological records, but 15 no, I don't have any photographs, no. 16 Q. No. No. No. No. I'm talking about 17 accident scene photos. You didn't report that you 18 looked at any of that, did you? 19 A. No, I did not. 20 Q. Okay. And nowhere in your report does it 21 indicate that you reviewed any of plaintiff's four 22 separate sworn depositions concerning these three 23 motor vehicle accidents, does it? 24 A. No. I did not review any depositions, no. 25 Q. Okay. And as a treating physician it would

150 1 be helpful for you to know what plaintiff had to 2 say about his accidents and how he was feeling 3 after each one of them, just like we talked about, 4 the doctor/patient relationship, trust and 5 communication, right? 6 A. I mean, I asked him about those. 7 Q. Okay. You didn't even hear his trial 8 testimony here today, because we're videotaping you 9 before -- before he shows up in person, right, so 10 you don't know what he's gonna say at trial? 11 A. No. 12 Q. So I'm not sure, but I don't think you 13 reported anywhere that in the accident that we're 14 here litigating today, that plaintiff was driving a 15 big box truck and the opposing driver was driving a 16 Jeep. You didn't report that, did you? 17 A. No. I remember seeing something about a box 18 truck, but I don't know the details, no. 19 Q. Did you know that the latest of these three 20 accidents, the one that happened only 15 days after 21 the one we're here on trial about today, is the one 22 where plaintiff claimed to be hit by a trash truck? 23 Because I didn't see that in your report, either. 24 A. I'm not sure if I mentioned in the report, 25 but I do remember something about another truck in	152 1 Q. Did you know that in the prior accident he 2 gave sworn testimony that said he was gonna lose 3 his company from that accident, too? 4 A. No. I don't know anything about any kind of 5 testimony, no. 6 Q. Okay. So the accident that we're here 7 litigating on trial today is the 12-8-2022 8 accident. I just want to be clear, did you know 9 that 15 days later when he's hit by a trash truck 10 he's already back to work at that point? 11 A. I apologize. I don't have the exact work 12 history. I don't remember discussing it. Again, 13 by the time I saw him, he very much was not working 14 and not able to work and I -- again, I believe at 15 that point his company was lost. 16 Q. Okay. I just want to be very clear, because 17 I don't know that you've truly answered the 18 question, and I think it can just be a yes or a no, 19 but did you know that as of the 2nd -- excuse me -- 20 the 23rd, the 12-23-2022 accident, which was 15 21 days after this one we're trying here today, that 22 plaintiff was back to work? It's sort of a yes or 23 no. 24 A. No. 25 Q. Okay. And because you didn't review any of
151 1 the second accident, yes. 2 Q. Okay. Did you know that it was plaintiff 3 being rear-ended by a trash truck and another 4 passenger vehicle was smashed underneath his 5 vehicle and that it required heavy equipment to 6 separate the vehicles, that sort of thing? Did you 7 know any of that? 8 A. I -- again, no. I don't know the details. I 9 know it was a significant, you know, injury. 10 Q. Okay. And you didn't examine or evaluate 11 plaintiff until well after that, that 12-23 trash 12 truck accident? I think we've established that, 13 right? 14 A. Yeah. Year and a half, yeah. 15 Q. And you -- you know -- well, let me rephrase 16 that. 17 Did you know that after the 12-8-2022 18 accident, the one we're here to talk about today, 19 that plaintiff had gone back to work? 20 A. I mean, I don't remember the exact work 21 history. I know he -- he, I believe, had a 22 company, and I think he was trying to, you know, 23 maintain that company. I remember him talking 24 about losing his company. That's one of the main 25 complaints that he has with all this.	153 1 plaintiff's sworn deposition transcript, you don't 2 know that plaintiff gave sworn testimony that being 3 hit by that trash truck was really bad and "totally 4 destroyed him." You're not aware of that? 5 A. No. I mean, I remember discussing, you know, 6 that he -- that, you know, he had pain and problem 7 after the first accident, and that was further 8 exacerbated and worsened by the second accident, 9 and, you know, I think that's what, you know, went 10 towards my apportionment, as well. 11 Q. Okay. And you don't know that he was 12 actually on the job when he was hit by the trash 13 truck, right? 14 A. I -- I -- I don't know the employment 15 situation at that time, no. 16 Q. And sort of back to my question, because I'm 17 not sure that you answered it. You didn't review 18 his transcripts from the four depositions that he 19 gave for these three different accidents, so you 20 don't know that he said that it was the trash truck 21 accident that was really bad and totally destroyed 22 him, and that he hasn't been able to do anything 23 since being hit by the trash truck; you're unaware 24 of that because you didn't read that, nobody gave 25 it to you, right?

154 1 A. No. I -- I'm -- you know, I'm just his 2 doctor. I don't read his depositions. 3 Q. And because you did not report having 4 reviewed plaintiff's dep transcripts, you don't 5 know that when he gave sworn testimony in the trash 6 truck case, that he testified that between this 7 accident, 12-8-2022, and the trash truck accident 8 15 days later, that his neck was "fine." You're 9 not aware of that? 10 A. I'm not aware of that statement, no. 11 Q. Same question. Because you didn't review any 12 of his four deposition transcripts, you don't know 13 that when questioned under oath as to whether his 14 back was hurting between this accident, 12-8-2022, 15 and 15 days later when he's hit by the trash truck, 16 he said I don't remember? You're unaware of that? 17 A. I did not read any, you know, legal 18 depositions, no. 19 Q. Okay. And because you didn't read those 20 because nobody gave them to you to review, you're 21 unaware that he previously gave sworn testimony 22 that when -- that between 12-8-2022, this accident, 23 and the December 23rd accident, 15 days later, 24 after being hit by the trash truck, that he could 25 not recall whether any parts of his body were	156 1 A. I mean, I do not disbelieve that he had pain 2 and that he had dysfunction and disability and, 3 again, I have all my examination and MRIs, as well. 4 Q. Okay. Let's look at the physical examination 5 of 1-6, section of your narrative report towards 6 the bottom of the first page here. Okay. In fact, 7 let's go to the next page, where it says thoracic 8 spine, very top of that section -- top of that 9 page, same section. You there? 10 A. Yes. 11 Q. Okay. And there's no mention in this 12 paragraph here of an exaggerated kyphosis, which is 13 what we colloquial call the hunching of a person's 14 back, right? 15 A. Yes. 16 Q. Okay. And we look there, there's no mention 17 of exaggerated kyphosis, curvature of the cervical 18 spine either? That's the bottom of the previous 19 page, right? 20 A. Not -- no. Not on this report, no. 21 Q. Okay. Is that just something you left out or 22 is there a reason why that's not in there in either 23 the cervical or the thoracic? 24 A. I mean, I guess it was left out on that 25 report. In my actual progress note from that date
155 1 suffering from pains or whether he had complaints 2 of pain in any part of his body? You don't know 3 that? 4 A. I don't know what his complaints were on, you 5 know, December 24th of 2022. I only started seeing 6 him in, you know, June of 2024. 7 Q. Hopefully this is the last of my deposition 8 questions here. Because you didn't read any of the 9 four sworn depositions that he gave concerning 10 these three different accidents, you're unaware 11 that when he was questioned under oath he said he 12 did not develop the spinal deformity resulting in 13 the hunching of his back until after he was hit by 14 the trash truck? You're unaware of that? 15 A. Well, I'm not sure how he self-evaluated his 16 deformity, but if that's what he said, you know, 17 I'm not aware of that statement, no. 18 Q. Okay. And again, I asked you earlier if you 19 should believe your patients and you said that you 20 should and that you had no reason to disbelieve 21 Mr. Plaintiff, right? 22 A. I have no reason to disbelieve what I saw 23 with my own eyes, no. 24 Q. Or your own patients, which is what you 25 already said, right?	157 1 I do note that there is marked kyphosis to the 2 thoracic spine. I know that there's, you know, rib 3 humps and evidence of scoliosis, as well as 4 kyphosis. So it is on my June 3rd progress note, 5 but it is not on that summary report. 6 Q. Okay. And you -- you know that the curvature 7 of the spine, the sort of the hunching over of the 8 spine is a -- sort of a key problem that plaintiff 9 has been complaining of associated with this 10 lawsuit and the claims for the injuries in the 11 trash truck crash? You're aware of that, right? 12 A. Yes. 13 Q. Okay. And when you wrote this narrative 14 report, the lawsuit report of November 5, 2024, you 15 were aware that that was a significant issue, too, 16 the kyphosis or exaggerated curving or hunching of 17 the back? You were aware of that, right? 18 A. Yes. 19 Q. But when you discussed in this report, which 20 was specifically for litigation purposes, the 21 thoracic spine examination was totally absent from 22 that on this report, right? 23 A. Well, so the mention of kyphosis is absent 24 from the November 5th report. Again, it is in my 25 progress note from June 3rd.

158 1 Q. Sure. Let's look at the diagnostic studies 2 section of this lawsuit report, page 2 near the 3 bottom there. You reviewed x-ray of the right 4 ankle. You see that? 5 A. Yes. Sorry. Let me just bring it up again. 6 Q. Again, it's the bottom of the second page, 7 diagnostic studies. Do you need me to show you 8 the -- 9 A. No. I got it. Yeah. I got it. So starting 10 with right ankle x-ray. 11 Q. Yup. You found no acute fractures, mild 12 osteoarthritic changes. Do you see that? 13 A. Yes. 14 Q. Okay. Osteoarthritic changes, that's -- we 15 commonly call that arthritis, right? 16 A. Yes. 17 Q. Okay. You're not saying that he developed 18 arthritis in only four days after this accident, 19 are you? 20 A. No. 21 Q. Okay. Same questions about the x-ray of the 22 left ankle. We got to flip to the next page to get 23 to that one. 24 A. Yes. 25 Q. That x-ray is taken four days after the	160 1 A. Yeah, but I think the more important part is 2 there was some edema, you know, swelling around the 3 ankle joint. 4 Q. You're not claiming that he developed 5 arthritis in only four days, right? Same thing? 6 A. No. I mean, it's an x-ray finding. You see 7 some, you know, some mild -- again, it's mild 8 changes. 9 Q. Okay. And you also reviewed cervical, 10 thoracic and lumbar MRIs of 1-11-23. Do you see 11 that? 12 A. Yes. 13 Q. Those are all taken after he got hit by the 14 trash truck on 12-23-22, right? 15 A. Yes. 16 Q. And again, you refer to this as the second 17 MVA, but we've established that's actually the 18 third accident, right? 19 A. I mean, in the -- yeah. In the three things 20 you've described, yeah. 21 Q. Okay. In the thoracic MRI of 12-13-22 -- 22 let's look at that. That's on the next page, 23 page 4 of your lawsuit report. 24 A. Umm-hum. 25 Q. You talk about compression fractures in this
159 1 subject accident, too, right? 2 MR. PARRA: Could we go off the record 3 for a moment? 4 THE VIDEOGRAPHER: Off the video record. 5 The time is 5:02 p.m. 6 MR. PARRA: Oliver, we're not even 7 making any ankle claims on this. I mean, what -- 8 are you gonna take him through all the stuff that 9 he's not -- that we're not claiming he hurt in 10 this -- in this accident? 11 MR. BROOKS: Well, I'm gonna -- there's 12 only two questions about these ankles, so I'm gonna 13 run through them and -- 14 MR. PARRA: All right. 15 MR. BROOKS: Let's go back on. 16 THE VIDEOGRAPHER: Back on the video 17 record. The time is 5:03 p.m. 18 BY MR. BROOKS: 19 Q. Okay. Doc, I'm sorry, but we -- you did 20 review a left ankle x-ray taken four days after the 21 subject accident, right? 22 A. Yes. 23 Q. You didn't find any -- any acute fractures, 24 but you did find mild arthritis, same thing as the 25 left ankle?	161 1 section; is that right? 2 A. Yes. 3 Q. And when you were on direct examination you 4 described the compression fractures as acute, hot 5 and in an inflammatory state. Do you see -- do you 6 remember doing that? 7 A. Yes. 8 Q. Okay. Nowhere in this section does it talk 9 about acute, hot or inflammatory state at all, does 10 it? 11 A. No. 12 Q. Okay. That only came out in front of the 13 jury. That wasn't reported after you reviewed all 14 of his records, right? Not in the report? 15 A. It's not in this report, no. 16 Q. Okay. Normally when we see interpretations 17 of imaging reports like MRIs we see some kind of 18 notes as to whether the observed abnormality or 19 problem, so to speak, is new or old, acute or 20 chronic, but there's nothing in this paragraph that 21 denotes any of that. You just say mild compression 22 fractures, right? 23 A. Yes. 24 Q. Okay. But you did sort of give those 25 descriptions of whether injuries were new or old,

162 1 acute or chronic in other sections, such as in the 2 cervical spine section above, right? 3 A. Yeah. I mean, we described that this, you 4 know, is something different from before. I mean, 5 obviously he didn't have fractures before. 6 Actually, there wasn't any thoracic MRI, you know, 7 previous. 8 Q. And we'll get to that in just a moment. 9 There's nothing at all in your lawsuit report here 10 that at all dates these supposed compression 11 fractures, is there? You can take a moment and 12 flip through the entire seven pages, but unless I'm 13 really overlooking something, I don't see anything 14 that dates those compression fractures. 15 A. Well, I mean, they're present on the MRI from 16 December 13 and that's the date in which, you know, 17 we have evidence of them. 18 Q. Okay. And you just mentioned earlier that 19 there's -- just a moment ago, really, that there's 20 no prior MRI of the thoracic spine with which you 21 can compare this study post accident of 12-8-2022 22 with; is that right? 23 A. Yes. 24 Q. You simply don't have any imaging studies of 25 the thoracic spine before the accident we're here	164 1 A. We don't normally test, you know, 28-year-old 2 men for osteoporosis. 3 Q. Okay. The question really is, you just don't 4 know, right? 5 A. No. He was not tested. 6 Q. Okay. MRI is sort of the gold standard for 7 imaging of the spine; can we agree on that? 8 A. I mean, it's used for certain injuries, yes. 9 You know, but obviously we -- it's a -- you know, 10 x-rays are important. CTs can be important, 11 depending on the pathology you're looking for or 12 what you're trying to determine. 13 Q. Yeah, but the MRI can be used to see not just 14 bones, but you could see soft tissues, muscles, 15 sometimes fascia, sometimes even nerves, nerve 16 roots, that sort of stuff? You can see an awful 17 lot, right? 18 A. It's completely integral to evaluation of the 19 spine, yes. 20 Q. I think you and I agree on that issue, so I'm 21 going to ask you a few more questions about -- 22 about these MRIs. 23 You did not report anywhere in this 24 evaluation of the thoracic spine, taken only a 25 couple of days after the accident we're trying here
163 1 trying today, right? 2 A. Correct. 3 Q. So you don't know for sure that there weren't 4 "compression fractures" before this because you 5 don't have imaging to compare to, right? 6 A. Well, I think you would know if he had a 7 fracture. 8 Q. Well, that's -- that's a good point. That's 9 a good point. 10 Compression fractures of the spine are 11 actually very common even without being in an 12 accident, right? 13 A. I mean, in, like, you know, 80-year-old women 14 with osteoporosis. 15 Q. Okay. And you don't have to be in any kind 16 of an accident to have a compression fracture, you 17 can just have on a bum spine or a worn-out spine 18 and get a compression fracture, right? 19 A. I mean, you have to have osteoporosis, 20 typically, or some kind of, you know, bone disease 21 that makes your bone insufficient. 22 Q. Okay. And you don't know at all whether 23 plaintiff was ever tested for any of that sort of 24 stuff leading up to this, do you? You have none of 25 that information?	165 1 today, that there was bleeding or swelling inside 2 the bone marrow on this MRI image, did you? It's 3 not in this report? 4 A. It's not in the narrative, no. 5 Q. Okay. And, similarly, you did not report 6 that this MRI of the thoracic spine that was taken 7 only four days after the accident we're here trying 8 today had showed any bleeding or swelling in the 9 muscles of the back, either, right? It's not in 10 the report? 11 A. No. That's not mentioned in the report. 12 Q. Okay. And in the case of acute fractures, we 13 often see bleeding and swelling of the muscles in 14 the back, right? 15 A. I wouldn't say bleeding of the muscles. You 16 could see some effusion sometimes. It depends on 17 the degree of the fracture. It depends on how 18 maintained, you know, some of the cortical 19 integrity is. I wouldn't say it's, like, 20 100 percent of the time you're gonna see certain 21 things, but, you know, if they're seen, you know, 22 they're there, or if they're not, you know, we 23 really are looking at the actual posture of the 24 spine, as well as the integrity of the vertebral 25 bodies themselves.

166 1 Q. Okay. My question is, you didn't see any of 2 that or note any of that in the thoracic spine MRI 3 that was taken only four days after the accident 4 we're here trying before the jury today? 5 A. I mean, in my summary narrative report, yes, 6 that was not mentioned. 7 Q. Okay. Generally speaking, bones, including 8 vertebrae, don't just break in the absence of an 9 effect on the surrounding tissues, do they? 10 A. It depends on the mechanism, you know. 11 It's -- you know, if it's a compression type 12 mechanism where it's purely kind of pressure coming 13 through the spine, then -- you know, it depends on 14 the direction of the force, you know. If the force 15 is coming from a lateral, you know, and it's like 16 an outside to in, you know, type of force, then 17 yeah, you could see some disruption of the fascia 18 or the muscles or the ligaments. If it's purely, 19 you know, kind of compression down on the spine 20 itself, depending on where the force comes from, 21 you know, you could have a fracture without, you 22 know, significant soft tissue damage around it, so 23 it's not a hard and fast rule, I would say. 24 Q. Sure. Sure. But you didn't report seeing 25 any bleeding or swelling in the muscles, anyway,	168 1 not in your report at all, is it? 2 A. No, it is not. 3 Q. Okay. And you created this report fully 4 knowing it was going to be used in a lawsuit, 5 right, or two lawsuits, really? 6 A. Yeah. I mean, usually -- 7 MR. PARRA: Objection. 8 THE VIDEOGRAPHER: Off the video record. 9 Time is 5:15 p.m. 10 MR. PARRA: You can't make reference to 11 other lawsuits. 12 MR. BROOKS: I think I can, but I'm 13 gonna rephrase it in case I lose the motion that 14 you may or may not be filing in the future. We'll 15 go back on. 16 THE VIDEOGRAPHER: Back on the video 17 record. Time is 5:15 p.m. 18 BY MR. BROOKS: 19 Q. And when you created this report you were 20 aware that this report was going to be used for 21 lawsuit purposes, right? 22 A. Usually narratives are at the request of an 23 attorney. 24 Q. Let's flip to the sixth page of your lawsuit 25 report here, under the course of treatment section.
167 1 right? 2 A. Again, no. In my summary, no, it's not 3 there. 4 Q. Okay. In the case of an acute fracture, you 5 would often see bleeding and swelling of the bone 6 marrow itself, correct? 7 A. There's certain signal changes you can see on 8 the MRI, which he had. 9 Q. Where is that in your report here? It's not, 10 is it? 11 A. It's not there, no. 12 Q. Okay. And in the case of acute fractures, we 13 can often see it with an MRI, the epidural 14 hematoma -- an epidural hematoma; is that right? 15 A. I mean, I wouldn't -- some fractures you can 16 see epidural hematomas, but they're certainly not 17 present with every, you know, type of fracture, and 18 usually with a compression fracture you would not. 19 Q. You didn't note in your analysis of the 20 thoracic MRI taken four days after the accident 21 we're here trying today that you saw any of 22 these -- these features, swelling in the muscles of 23 the back, bleeding in the muscles of the back, 24 swelling or bleeding of the bone marrow, epidema 25 (phonetic) -- epidural hematoma, you just -- it's	169 1 It's about a third of the way down the page. You 2 see that? 3 A. Yes. 4 Q. You detail what I think you refer to as 5 conservative treatments, chiropractic therapy, 6 nonsteroidal antiinflammatory analgesia. Did I get 7 that right? 8 A. Yes. 9 Q. Okay. Are those nonsteroidal 10 antiinflammatory analgesia you're referring to, are 11 those the injections or is that something else? 12 A. That's something else. 13 Q. Okay. Okay. And if we look at 14 paragraph three of that section, it indicates that 15 plaintiff reported that those treatments gave him 16 significant pain relief, which helped managing his 17 pain. Do you see that? 18 A. Yes. 19 Q. Okay. And on page 7 -- and to be clear, 20 those are nonsurgical interventions you're 21 discussing when you refer to conservative 22 treatments, right? 23 A. Yeah. I mean, the injections in the -- in 24 the -- in bullet number two, you know, they're 25 nonsurgical. They're interventional.

170 1 Q. I understand what you're saying. 2 Interventional injections as opposed to 3 surgeries like what you performed on him at a later 4 date? The fusion we heard about earlier? 5 A. Yes. 6 Q. Let's look at the next page, where it says 7 prognosis and recommendations, sort of the bottom 8 third of the page there. Do you see that? 9 A. Yes. 10 Q. Okay. And partly through the first paragraph 11 it says, the patient has failed conservative 12 treatment, such as chiropractic therapy, 13 nonsteroidal antiinflammatory analgesia, and 14 required a more interventional pain management 15 modality to control the pain. Did I get that 16 right? 17 A. Yes. 18 Q. So one page before you reported that 19 conservative treatment was reported by the patient, 20 Mr. Plaintiff here, to produce significant pain 21 relief, and then a page later you characterized 22 that as a failure. Why did you -- why are you 23 saying that it failed if plaintiff is saying it 24 makes him feel better? 25 A. Well, I mean, there's certainly temporary or	172 1 He said yeah, I feel better, but it wears off, you 2 know. Just like you take a Motrin, it helps you 3 for six hours and then it wears off, you know, and 4 it's important to note, you know, if you didn't get 5 any relief from the procedures, then, you know, you 6 have to question where the source of the problem 7 is, so it's actually important information to say 8 like, well, I got the injection, I felt better, but 9 then the pain came back. That's important 10 information for us when making -- you know, when 11 developing, you know, further treatment and 12 recommendations. 13 Q. Let's look at your operative report, which 14 counsel previously marked as Apazidis-12. You have 15 that pulled up? 16 A. Let's see. I think you did. I don't know. 17 Did you guys -- I think you guys projected that. I 18 can find it. 19 Q. I can pull it up for you if it's -- 20 A. Okay. Yeah. That would be great. 21 MR. PARRA: I got it. You want me to 22 pull it up? 23 THE WITNESS: Thank you. 24 MR. BROOKS: No. I'll do it. I have 25 it.
171 1 short-term effects of these procedures. I think 2 what we're trying to convey is that, you know, he 3 did -- he did get some benefit from the procedures, 4 but ultimately -- ultimately the pain was not more 5 controlled and, you know, he went on to -- to need 6 the complete reconstruction. 7 Q. And earlier in this cross examination I asked 8 you whether you should believe your patients when 9 they tell you how they're feeling, and you said 10 yes, that you should, right, but in this case I 11 guess you don't. Is that -- am I getting that 12 right? 13 A. No. That's not right. 14 Q. Well, if he reports -- we can go back to 15 page 6. If he reports that the nonsurgical 16 procedures that you talk about, conservative 17 treatment and the interventional treatments, the 18 epidural steroid injections provided him with 19 self-reported significant pain relief, apparently 20 you do disagree with him, because you characterize 21 that as failed treatment -- 22 MR. PARRA: Objection. 23 Q. -- right? 24 A. Again, it's short-term. I mean, it help -- 25 you know, he got some benefit after the procedures.	173 1 MR. PARRA: You got it, okay. 2 Q. So we pulled up Apazidis-12 again, which is 3 your operative report for the fusion surgery. Did 4 I characterize that correctly? 5 A. Yes. 6 Q. And I wanted to talk a little bit about the 7 videotaping and photography of the surgical 8 procedure itself. Okay. Who took the actual 9 video? Who's the videographer? 10 A. Oh, I don't know. 11 Q. Well, we can see a reflection in the -- when 12 she turns her cell phone to the monitor that you 13 described for placement of the hardware and we can 14 see she's -- it's a female who's recording using a 15 cell phone. Does that sound like what you recall 16 happening? 17 A. Well, the -- I can't say I recall the -- you 18 said there was a reflection? 19 Q. Yeah. On the monitor that shows the 20 placement of your implement. You can see clearly 21 there's a female. I don't know what her role is, 22 but she's in scrubs and she's holding a cell phone 23 which is being used to record the video. 24 A. Oh, I think the -- I think I know the 25 reflection. That is the rep from the robot

174 1 company, the navigation company. So sometimes 2 they're in front of the screen. I don't know if -- 3 we actually have a -- they have, like, a 4 videographer, I think, like, you know, whose actual 5 job it is, but the -- obviously the monitor can 6 reflect anyone in the room. If I think I remember 7 the picture you're talking about, I think that's 8 the rep who assists, like, with the technological 9 aspects of the navigation system. 10 Q. So that rep's just in there with a cell phone 11 recording major surgeries like this? That's how it 12 works? 13 A. Again, that -- that -- I'm not sure if that 14 video is from her, but the reps from the company 15 do -- because, you know, they're constantly trying 16 to, like, you know, improve and monitor their 17 systems. Sometimes they do also take pictures of, 18 you know, either our navigation or the position of 19 the robot, things like that. 20 Q. You didn't need -- as a professional surgeon 21 you didn't need somebody to videotape what you were 22 doing in order for you to do the procedure 23 appropriately, did you? 24 A. Do I need it, no. It's a -- it has become 25 like a policy and practice at Precision.	176 1 question or do you understand it? 2 A. Sure. It's -- again, it's a -- it's a policy 3 and procedure at our practice to record, you know, 4 all these surgeries. 5 Q. Okay. You indicated that these compression 6 fractures that were discussed were between T9 and 7 T12. Did I get that right? 8 A. Yes. 9 Q. If we look at your operative report that's 10 here -- and I can scroll for you or enlarge or 11 shrink, whatever you need. 12 A. Umm-hum. 13 Q. It doesn't actually say where these alleged 14 compression fractures were located, does it? 15 A. You can scroll through here in the 16 presurgical discussion. 17 Q. Tell me when to stop. 18 A. Sure. Hold there. 19 No. I did not -- I did not on this surgical 20 report discuss the level of the fractures. 21 Q. Okay. So if these fractures that we're -- 22 you've been talking about here were acute, hot, as 23 you said, and in an inflammatory state, why would 24 you not report in your surgical report where they 25 were?
175 1 Q. Yeah, because you know that these videos are 2 going to be shown for lawsuit purposes, right? 3 MR. PARRA: Objection. 4 THE VIDEOGRAPHER: Off the video record. 5 Time is 5:24 p.m. 6 MR. PARRA: He testified that the 7 majority of his patients are -- that not all of his 8 patients are in lawsuits, and so if this is a 9 policy and practice, then it's misleading to ask 10 him whether the reason why this is done in all of 11 his patients is for lawsuit reasons. I mean, what 12 about the ones -- what about the ones that aren't 13 in lawsuits and have their video recordings? 14 That's why it's a misleading question. 15 MR. BROOKS: Well, now that he's heard 16 your objection, I think he can still answer the 17 question. He's allowed to answer my question. 18 MR. PARRA: He can answer the question 19 over my objection but, you know, that's -- 20 MR. BROOKS: Let's go back on. 21 MR. PARRA: -- how it works. 22 THE VIDEOGRAPHER: Back on the video 23 record. The time is 5:25 p.m. 24 BY MR. BROOKS: 25 Q. Doctor, do you need me to restate the	177 1 A. The -- I was referring to the MRI from 2 December 13th of '22. The surgery took place 3 August of '24. 4 Q. I see. 5 A. But by that time the fracture had collapsed, 6 had unfortunately collapsed into a -- into a 7 kyphotic deformity and had, you know, like all bone 8 fractures, eventually healed, you know. They kind 9 of consolidate in that position, so it's well past 10 the inflammatory state. 11 Q. I see. So when you were actually conducting 12 the operation, there's no more active fractures 13 that you can observe, they have -- in your opinion 14 they've healed into the position that they were 15 which required this, this surgery that you 16 conducted, right? 17 A. Yes. They had -- it collapsed and healed in 18 that position. 19 Q. So let's state this in a different way. You 20 used your own eyes to observe his vertebrae, right, 21 direct observation of his vertebrae? 22 A. Yes. 23 Q. And you didn't note in any report anywhere 24 that you observed active or actual cracks, 25 fractures, breaks in any of his vertebrae, did you?

178 1 A. During the surgery? 2 Q. Correct. 3 A. No. There were no -- the -- this is a 4 compression fracture, so unlike a bone that breaks 5 apart, this is a collapsing fracture, so there 6 wouldn't be like a -- and actually, I mean, at this 7 point there's -- the whole bone is consolidated so, 8 you know, so it wouldn't be necessarily a fracture 9 line. 10 Q. And just so I get it right, that's because 11 whenever these supposed compression fractures 12 occurred, was so long before your surgery that they 13 had, I think you said consolidated, which is just a 14 different way of saying healed, right? 15 A. Yes. 16 Q. Okay. Counsel asked you some questions about 17 the -- I guess this is the second page of your 18 operative report. Let me scroll to it for you 19 here. I think we're on it. 20 And you -- you indicated that plaintiff's 21 abnormal kyphosis may not be correctible because 22 the cord may have accepted this position and not 23 tolerate significant correction at this point; is 24 that -- is that right? 25 A. Yes.	180 1 off with that wasn't my question. That was your 2 question, was it there for a long time. 3 MR. BROOKS: He didn't -- 4 MR. PARRA: If a year and a half is -- 5 if a year and a half is a long time to him, that's 6 the answer to the question. 7 MR. BROOKS: We'll let the judge decide 8 that one, because I really don't think that's a 9 response to the question. 10 MR. PARRA: Okay. 11 MR. BROOKS: Anyway, let's go back on. 12 THE VIDEOGRAPHER: Back on the video 13 record. Time is 5:31 p.m. 14 BY MR. BROOKS: 15 Q. I'm gonna stop sharing here. Sir, I did a 16 little bit of research and I reviewed some 17 information about compression fractures from 18 sources, namely, the Cleveland Clinic. Are you 19 familiar with the Cleveland Clinic? 20 A. Of course. 21 Q. Pretty much a very well-known orthopaedic and 22 spinal institution, right? 23 A. Yes. 24 Q. Okay. And according to the Cleveland Clinic, 25 compression fractures are so common that an
179 1 Q. So if we rephrase that, you're saying in your 2 operative note that the abnormal curvature, the 3 sort of hunching of the back has been that way for 4 so long that you can only do so much to straighten 5 it out, right? 6 A. That's always a concern, yeah. 7 Q. That is what you were saying when you 8 reported this, right? 9 A. Yes. Of course. 10 Q. And just to be clear, whatever compression 11 fractures that you say were present had -- they had 12 been there for a long time before you did the 13 surgery of 8-29-24. Can we both agree on that? 14 A. Yeah. A year and a half. 15 Q. Well, that's not my question, sir. My 16 question was, they had been there for a long enough 17 time to where everything had healed -- 18 MR. PARRA: Objection. 19 Q. -- and/or consolidated, as you put it? 20 MR. PARRA: Objection. 21 THE VIDEOGRAPHER: Off the video -- off 22 the video record. The time is 5:30 p.m. 23 MR. PARRA: That was exactly your 24 question. He answered your question. You didn't 25 like the answer, I get it, but you can't just start	181 1 estimated one to one and a half million occur in 2 the United States every year. Do you have any 3 reason to disagree with that? 4 A. No. That number sounds about right. 5 Q. Okay. And you don't have to have a specific 6 incident that generates a compression fracture? 7 You don't have to be in a car crash or fall off a 8 ladder or something like that, it can happen over 9 time, correct? 10 A. Well, there's two reasons for a fracture, 11 either the bone is insufficient or the force is 12 sufficient enough to overcome healthy bone. So 13 either the -- you have normal forces going against 14 insufficient bone, a/k/a, 80-year-old lady with 15 osteoporosis, or you have sufficient force going 16 against normal strength bone, a/k/a, high energy 17 trauma. 18 Q. When you did the surgery on plaintiff, do you 19 know how much he weighed? 20 A. I'm sure it was recorded somewhere but -- you 21 know, probably around 200 pounds or so. 22 Q. Do you know that less than a year before he 23 weighed 320 pounds? 24 A. I believe, yeah, I remember him telling me 25 something about, you know, him going through weight

182 1 loss. 2 Q. Okay. According to the Cleveland Clinic, 3 compression fractures are "common as you age". Do 4 you have any reason to disagree with that? 5 A. You know, again, it's -- it's common in 6 elderly population, yes. 7 Q. And according to the Cleveland Clinic, the 8 most common area for compression fractures of the 9 spine is actually the thoracic spine. Do you have 10 any reason to disagree with that? 11 A. No. That's correct. 12 Q. And according to the Cleveland Clinic, the 13 most common form of compression fractures, 14 particularly non-traumatically induced compression 15 fractures, is actually a wedge-shaped fracture, 16 like what we see on Mr. Plaintiff. Do you have any 17 reason to disagree with the Cleveland Clinic on 18 that? 19 A. No. That's -- that's exactly correct. 20 Q. And it's the wedge shape of the vertebral 21 body that causes the exaggerated kyphosis we've 22 been talking about, right? 23 A. Yes. 24 Q. Okay. So when I, as a layman, think about 25 this, I think about when you go into an old	184 1 fracture, not just like, you know, a back pain 2 episode. 3 Q. And to that end, someone could have a 4 compression fracture, and as you said, not really 5 even be aware that they had it, right? 6 A. I think it would be nearly impossible to not 7 have pain. I don't know if they -- unless they 8 went to see a doctor, got an x-ray and got the 9 diagnosis. How would they know they had a 10 fracture? They might just think they have a back 11 pain. I have encountered that. 12 Q. Sure. Sure. Thank you. 13 So you would agree that not all compression 14 fractures need surgery to heal or consolidate? 15 A. That -- that's an area of active research and 16 very controversial. There's definitely people who 17 believe that all compression fractures should 18 undergo procedures to be restored. We do a 19 procedure called -- if you get to it in the first 20 six weeks, we do a procedure called the kyphoplasty 21 procedure to restore the contour, because the 22 number one reason for subsequent, particularly 23 osteoporotic compression fractures, is 24 malpositioning from the first compression fracture, 25 so there's a school of thought that believes that
183 1 building and there are wedge-shaped stones that 2 form an archway over a door or something like that. 3 That's sort of what we're talking about when we're 4 talking about a wedge deformity of the vertebral 5 bodies, right? 6 A. Yeah, I mean, but to be clear, it's 7 something -- you know, most compression fractures 8 occur with, you know, one, you know, you get one 9 compression fracture, whether it's due to 10 osteoporotic insufficiency, and then sometimes that 11 imbalance now with the spine and continued 12 insufficiency of the bone can lead to a subsequent 13 compression fracture and a sub -- so obviously, 14 like the little old ladies, they don't become a 15 hunchback right away. They get one, and then they 16 might get another one, and then they -- it kind of 17 builds and then eventually they, you know, they get 18 that kind of, like, bent over position. 19 Q. You would agree there are times when a person 20 can have a compression fracture of the spine and be 21 asymptomatic of that fracture? 22 A. Acutely, typically not, but I have 23 encountered patients who had gone through it, had 24 some pain, you know, took Tylenol and down the line 25 were surprised to find out that it had been a	185 1 they should all be restored immediately to maintain 2 the posture of the patient, maintain overall 3 balance and prevent subsequent compression 4 fractures. There are some recent papers, actually, 5 that have come out just from the last two years 6 that actually go the other way. Long term studies 7 are showing that whether you treat them or not, it 8 doesn't contribute that much to the overall 9 function and disability and pain of the patient. 10 So there's definitely controversy in whether they 11 should be treated, you know, or how aggressively 12 and so forth, but I think, you know, in the end it 13 still comes down to the individual patient and 14 evaluation of that patient and the overall 15 circumstance. 16 Q. Okay. And the term kyphosis refers to the 17 directional curvature of the spine, right? It's 18 from the -- from the person's back towards their 19 front; am I getting that right? 20 A. Yes. 21 Q. Okay. And the opposite of that is like in 22 the low back, where we have lordosis, where the 23 curve goes from their back towards their front, 24 right? 25 A. Yes.

186 1 Q. Okay. And to be clear, in your reports when 2 you refer to kyphosis, we're talking -- you were 3 talking about, rather, abnormal or exaggerated 4 kyphosis, right? 5 A. Yes. Inappropriate. Like, greater than 6 60 degrees. 7 Q. Okay. So if someone like plaintiff's lawyer 8 were to argue in front of the jury that everyone 9 has kyphosis and that's normal, not the type of 10 kyphosis you've been talking about throughout this 11 trial and in your reports, that's abnormal, 12 inappropriate kyphosis, right? 13 A. Yes. It's out of the normal range. 14 Q. I'm gonna show you my screen, if I can get it 15 to come up. Bear with me one moment. 16 MR. PARRA: Can we go off the record 17 while you pull it up -- 18 MR. BROOKS: That's fine. 19 MR. PARRA: -- just so I can see what 20 you're gonna -- 21 THE VIDEOGRAPHER: Off the video record. 22 Time is 5:40 p.m. 23 MR. BROOKS: Sure. I'll share my screen 24 with you now. And Harry, it's just that spinal 25 diagram and exemplar for questioning purposes, if	188 1 bear with me, my computer's somewhat slow at this 2 moment. We're gonna mark this one as Apazidis-24. 3 (Spinal diagram was received and marked 4 Apazidis-24 for identification.) 5 BY MR. BROOKS: 6 Q. Do you see my screen now? 7 A. Yes. 8 Q. Okay. It's the same spinal diagram but I've 9 added here a denotation of the -- a demarcation, 10 rather, of the area where you've claimed that there 11 were compression fractures, T9 to T12. Did I get 12 that area right on the diagram? 13 A. Yes. 14 Q. And just so I'm clear, you're not saying 15 there were fractures of the spine anywhere else 16 other than through T9 to T12, right? 17 A. Yes. 18 Q. Okay. And I think you did testify already 19 that it was really T10 and T11 that you were 20 focused on; is that right? 21 A. They had the most severe, you know, collapse, 22 and then to a lesser degree 9 and 12. 23 Q. Okay. I'm going to show you the next slide 24 of this. I'm gonna call this Apazidis-24 -- 25. 25 Excuse me.
187 1 you want to see that. 2 MR. PARRA: Okay. Yeah. That's fine. 3 THE VIDEOGRAPHER: I'll get us back on. 4 Back on the video record. 5 MR. BROOKS: Let's go back on. 6 THE VIDEOGRAPHER: The time is 5:40 p.m. 7 BY MR. BROOKS: 8 Q. Okay. Doctor, I'm going to mark this as 9 Apazidis, I think we're on 23 at this point. Can 10 you see my screen? 11 A. Yes. 12 (Spinal diagram was received and marked 13 Apazidis-23 for identification.) 14 BY MR. BROOKS: 15 Q. Okay. I've shown you sort of a generalized 16 spinal diagram. Do you agree with that 17 characterization of what I've shown you? 18 A. Yes. 19 Q. Okay. And obviously human spines are not in 20 this color, but the colors are set forth to denote 21 the various sections, cervical, thoracic, lumbar, 22 sacrum and coccyx. Do you see that? 23 A. Yes. 24 Q. Okay. And I'm gonna stop sharing my screen 25 here and show you another page of that. If you	189 1 (Spinal diagram was received and marked 2 Apazidis-25 for identification.) 3 BY MR. BROOKS: 4 Q. Before I share my screen, I want to be clear, 5 you fused a far greater portion of plaintiff's 6 spine than the T9 through T12 we were just looking 7 at. Can we agree on that? 8 A. Yes. 9 Q. Okay. So I'm going to share my screen with 10 you now. Again, this is Apazidis-25. I've added 11 to what we looked at before, a demarcation of the 12 length of the spine that you fused, right, it's T4 13 through L3. Did I get that right? 14 A. Yes. 15 Q. Okay. And again, your surgery did not just 16 focus on this area of T9 through T12 that you say 17 has compression fractures, you did -- you went how 18 many vertebrae above? You went -- one, two, three, 19 four -- five above and -- one, two -- three below; 20 did I get that right? 21 A. Yes. 22 Q. Okay. And you did that in order to correct 23 this abnormal kyphotic curvature, the abnormal 24 curvature of the spine. You needed to do that to 25 address the curve, right?

190 1 A. Well, there's two things. As I describe in 2 my report, the area of the osteotomies were focused 3 on the area of the fracture, you know, T, like, 9, 4 10, 11 -- really, 8, 9, 10, 11, as I describe in 5 the report, was the area of the osteotomies to 6 loosen up that area in order to try to correct the 7 deformity through that area, and the placement of 8 the pedicle screws and anchor points well above and 9 below that area is because you need multiple anchor 10 points to -- to stand up to the forces of, you 11 know, someone standing up. The spine, you know, in 12 its natural state is perfectly balanced. It has 13 muscles in the front, in the back, ligaments and so 14 forth. When you disrupt that, particularly at a 15 transition level, you know, like the lower thoracic 16 spine going into the lumbar spine, that's a very 17 high stress point, so the reason we go several 18 levels above and several levels below, as I was 19 trained at Hospital for Joint Diseases, is to 20 provide multiple anchor points to prevent both 21 breakdown above, as well as breakdown below your 22 construct, because if you try to put too much 23 forces on too few screws, then those screws will 24 either -- will -- will break out and/or the levels 25 above will fall over, because of too high a stress.	192 1 February 13th of 2025, so about 11 months ago. 2 Does that sound right? 3 A. That might be the last record you have, but 4 I've seen him -- I've seen him at least once or 5 twice since then. I think I've seen him maybe not 6 even a month ago. 7 Q. Okay. But you don't have those records here 8 today? 9 A. I mean, I have access to them in my chart. 10 Q. Let's switch gears. Let's talk about -- back 11 to your lawsuit report, the one dated November 5, 12 2024. 13 A. Umm-hum. 14 Q. Okay. Page 7 specifically -- I'm gonna take 15 this down. I don't need this anymore. 16 Page 7, where you talk about assigning a 17 percentage split between this accident and the 18 trash truck accident that happened 15 days later. 19 A. Umm-hum. 20 Q. You told us on direct examination that you're 21 able to somehow determine that 30 percent of 22 plaintiff's injuries, and then you said maybe 40, 23 and then I think you said 45 percent were due to 24 this accident and 70 percent were due to him being 25 hit by the trash truck 15 days later, right?
191 1 Some people would have gone -- some people -- some 2 people who trained me, even, you know, would have 3 argued to go to T2 and -- and argued to go to the 4 pelvis in the construct, you know. My own 5 judgment, you know, I took this measured approach 6 and that's why I used, you know, the levels above 7 and below the area of the fracture. 8 Q. Sorry. I talked over you. My apologies. 9 How many 10 level fusions have you done in 10 your career? 11 A. Probably about two to three a year. 12 Q. When is the last one you did? 13 A. I've done one in 2025. I think I did two in 14 2024, you know. I've -- I've been taking less 15 call, particularly at the trauma center, you know. 16 I can tell you in 2020 I must have done eight or 17 nine. Took a lot more call that year and, you 18 know, as I take less call, I have less -- less 19 reason to do these types of surgeries. 20 Q. Your post surgery records, I have indicated 21 here that you reported that you do not anticipate 22 any further surgeries for plaintiff; is that right? 23 A. Yes. 24 Q. Okay. And the last record that we have of 25 you actually, you personally seeing plaintiff was	193 1 MR. PARRA: Objection. 2 THE VIDEOGRAPHER: Off the video record. 3 The time is 5:50 p.m. 4 MR. PARRA: He never said 45 percent. I 5 don't know why you keep saying that. I mean, we 6 can have the transcript read back all the way back 7 to then, but he never -- 8 MR. BROOKS: I would like -- I'd like to 9 get through this, and the exact percentage he said 10 is really not material. It's that it's changed. 11 MR. PARRA: Well, that's fine, but you 12 could just change the question, then. 13 MR. BROOKS: Let's go back on. 14 THE VIDEOGRAPHER: Back on the video 15 record. The time is 5:50 p.m. 16 BY MR. BROOKS: 17 Q. Doctor, on direct examination by plaintiff's 18 lawyer you told us that you were somehow able to 19 determine that 30 percent or maybe 40 percent of 20 plaintiff's injuries were due to this accident and 21 70 percent were due to him being hit by a trash 22 truck 15 days later. Do you remember giving that 23 testimony? 24 A. Yes. 25 Q. Okay. Tell us, exactly what kind of units of

194 1 measurement are you using to come up with these 2 percentages? Feet? Inches? Gallons? What is it? 3 A. Well, obviously, you know, this is a 4 challenge, you know, in a patient that had 5 significant injuries two weeks apart, you know. 6 Some of it you've already reviewed in terms of, you 7 know, what exactly his complaints were at the time. 8 Obviously this report was written last September, 9 you know. We tried to make an assessment based on, 10 you know, complaints. I mean, obviously the 11 fractures themselves were evident after the 12 December 8th accident, you know, and again, I don't 13 remember my exact determination and how I came to 14 it, you know, a year ago, but it would have been 15 based off of trying to determine what exactly his 16 complaints were after the first accident, after the 17 second accident, what his level of function was, 18 how that developed. Part of it would have been 19 maybe some of the details of the accident 20 themselves. Again, I'm not saying I recall 21 everything right now, but at the time of putting 22 the report together, you know, getting input in 23 terms of magnitude of collision and so forth, 24 trying to put together some of those details and, 25 you know, again, I was asked to try to apportion	196 1 superfluous commentary on the part of the defense 2 attorney who is just throwing his opinion around as 3 to slickness. 4 MR. BROOKS: You can't even say it 5 without laughing. Let's go back on. 6 MR. PARRA: I'm laughing because it's a 7 ridiculous word. 8 THE VIDEOGRAPHER: Back on the video 9 record. The time is 5:54 p.m. 10 BY MR. BROOKS: 11 Q. Doctor, again, I'm just asking you what units 12 of measurement you were using in order to come up 13 with this 70/30 split, where 30 percent is 14 attributed to this accident we're trying here today 15 and 70 percent is attributed to plaintiff being hit 16 by a trash truck 15 days later? 17 A. I use percentage units. 18 Q. Okay. But there's no -- again, there's no 19 inches, feet, dollars, ounces, grains, nothing like 20 that that you can point to that you used to 21 measure? Nothing? 22 A. Again, I used percentage units. 23 Q. And you said you were asked to try to 24 apportion between these two accidents. Who asked 25 you to do that?
195 1 how much of his overall problem is attributable to 2 one versus the other accident, so, you know, 3 putting all that together to the best of my 4 ability, you know, I determined it at, you know, 5 30/70, you know, determination. 6 Q. Doctor, that was a very slick pivot, but my 7 question was, what units of measurement were you 8 using when you came up with this 70/30 split? 9 MR. PARRA: Objection. 10 Q. It's a pretty simple question. 11 A. I used -- 12 MR. PARRA: I'm just gonna -- we got to 13 go off the record. 14 THE VIDEOGRAPHER: Sorry, Counsel. 15 Off the video record. The time is 16 5:53 p.m. 17 MR. PARRA: Obviously characterization 18 of slick is not appropriate and I'm going to object 19 to that. 20 MR. BROOKS: It's perfectly appropriate. 21 MR. PARRA: It's not. It's not. 22 MR. BROOKS: Give it credit where credit 23 is due. 24 (Cross talk. Reporter clarification.) 25 MR. PARRA: It's argumentative and	197 1 A. I think it was as part of the report. 2 Q. For litigation purposes, right? 3 A. Again, the narrative reports are usually 4 requested by attorneys. 5 Q. Okay. You didn't report in your lawsuit 6 report here where you come up with the 70/30 split 7 that you consulted with any peer-reviewed articles 8 in order to come to that distribution of blame 9 between the two accidents, did you? That's not in 10 the report? 11 A. I've never encountered articles on 12 apportionment. 13 Q. Okay. You didn't even look, and you didn't 14 report that either, right? 15 A. I mean, I read -- I read the yellow journal, 16 the white journal, the Spine Journal every month. 17 For the last 15 years I've never encountered an 18 article on apportionment. 19 Q. You didn't review any textbooks in order to 20 reach your 70/30 split here, did you? 21 A. I mean, I've read textbooks. Again, I've not 22 encountered any assistance with, you know, 23 something like that. 24 MR. PARRA: Off the record. 25 Q. That wasn't the question. The question

198 1 was -- 2 MR. PARRA: Off the record. 3 THE VIDEOGRAPHER: Off the video record. 4 The time is 5:56 p.m. 5 MR. PARRA: Just so the record reflects 6 my objection to this line of questioning, because 7 Oliver, you know that this is a legal requirement, 8 not a medical, like, standard of care or even a 9 medical -- a medical requirement in any way. They 10 don't really care, usually, about litigation. This 11 is a legal requirement. We have to do that. The 12 doctor doesn't have to do that. We're asking him 13 to do that, but it's a legal requirement, not a 14 medical requirement. 15 MR. BROOKS: That may or may not be the 16 case, but the point is -- 17 MR. PARRA: Well, it is the case. I 18 know it's the case. I'm sorry. Go ahead. 19 MR. BROOKS: He's assigned values to it 20 and I'm entitled to probe him on how he came to 21 those values. 22 MR. PARRA: I understand that, but 23 you're asking whether he read -- you're implying 24 that there's some medical journal that's making 25 commentary on the legal standard in New Jersey of	200 1 disability tables, but they were not applied to an 2 apportionment situation, and the other source -- 3 I'm sorry. What was the other source you were 4 asking me about? 5 Q. I asked you about disability tables and 6 actuarial tables and whether you reviewed any of 7 those in reaching this 70/30 split, and I think 8 you've already said no. 9 A. Again, I was -- disability tables would not 10 apply to an apportionment situation, but again, I'm 11 not aware of actuarial tables for this purpose. 12 Q. When you were reaching the 70/30 split did 13 you have to do any rounding or did it just -- the 14 calculations just land perfectly on 70/30? 15 A. Well, again, I, you know, had to weigh the 16 reports, what the patient told me, the information, 17 whatever it was that we had regarding the actual 18 accidents, the MRI evidence, and I used all of that 19 in coming up with the percentage. 20 Q. The question -- that didn't answer my 21 question. The question is, did you have to do any 22 rounding to reach the 70/30 split? 23 A. Well, I -- 24 Q. You can say I don't know if that's -- 25 A. I don't think it would be appropriate to use
199 1 apportionment and, you know, that's not really 2 fair, so -- 3 MR. BROOKS: Your objection is noted. 4 The judge can sort that one out. I'm gonna 5 continue this line of questioning. Let's go back 6 on. 7 (A brief recess was taken.) 8 THE VIDEOGRAPHER: Back on the video 9 record. The time is 6:09 p.m. 10 BY MR. BROOKS: 11 Q. Okay. Doctor, when we left off just a second 12 ago the question that was pending was, you did not 13 report anywhere in your lawsuit report here that 14 you reviewed any textbooks or learning treatises in 15 order to come up with this 30 percent/70 percent 16 split between this accident and the trash truck 17 accident; is that correct? 18 A. I'm not aware of any, so no, I did not refer 19 to any. 20 Q. And similarly, you did not report that you 21 consulted with any disability tables or actuarial 22 tables in determining that 30 percent is from this 23 crash and 70 percent is from being hit by a trash 24 truck? 25 A. When we -- the disability -- there are	201 1 smaller units than, you know, either -- either, you 2 know, five or zero in terms of coming up with a 3 percentage, only because it's not an exact science. 4 You know, the -- using a smaller percentage unit 5 will probably not be appropriate. 6 Q. Okay. So you remember when you were 7 presumably a kid back in grade school, in 8 arithmetic you have to go up to the board and show 9 your work in order to get credit? You remember 10 that back in the old days when they had 11 blackboards? 12 A. Sure. 13 Q. I don't consider myself old, but we still had 14 blackboards when I was a kid and we always had to 15 show our work. 16 You didn't show your work at all in reaching 17 this 70/30 split in your report here, you just 18 wrote it down, right, 70/30? 19 A. I wasn't asked. 20 Q. I understand. 21 I'm gonna turn your attention to prior report 22 from Precision Pain & Spine which we're going to 23 mark as Apazidis-26. I'm gonna share my screen 24 with you. You tell me when you can see my screen, 25 please. Are you able to see it now?

202 1 A. Yes. 2 (Report dated 1-7-22 by Wael Elkholy, MD was 3 received and marked Apazidis-26 for 4 identification.) 5 BY MR. BROOKS: 6 Q. Okay. And again, we're marking this as 7 Apazidis-26. This is a report from Precision Pain 8 & Spine. Can you see that? 9 A. Yes. 10 Q. Have you ever seen this report before? 11 MR. PARRA: I'm just gonna -- let me put 12 an objection on the record. 13 THE VIDEOGRAPHER: Off the video record. 14 The time is 6:13 p.m. 15 MR. PARRA: Okay. I don't have a 16 problem with you showing this exhibit to the 17 doctor. I do have a problem publishing it to the 18 jury, and I just want to make it clear for the 19 record, you should not ask about John Pisano, as it 20 references a potential other lawsuit that's not 21 associated with this one. 22 MR. BROOKS: Okay. Well, I'm not gonna 23 ask him about Pisano, but I do intend on publishing 24 this to the jury. It's a report from his own 25 practice group, predates his involvement with the	204 1 A. I'm sorry. Are you asking me if it should 2 be? 3 Q. Yeah. Should this be in the file for Mr. 4 Aburadwan from Precision Pain & Spine given that 5 they wrote a report about his prior condition? 6 A. I mean, this looks like a letter addressed to 7 an attorney, so I don't know. I have to ask the 8 manager what the policy is about if this is part of 9 the medical report or the medical chart. So, I 10 mean, I can't really speak to if it should or 11 should not be in the chart. 12 Q. Well, your lawsuit report that you generated 13 for this accident in the trash truck accident, the 14 one from November 5, 2024, that would be in 15 Precision Pain & Spine's records, right? 16 A. That -- that report is in the medical chart, 17 yes. 18 Q. Okay. So why would a prior report that's for 19 the same purpose not be? Is there a reason you 20 could think of why it would not be? 21 A. Well, again, this is a -- this is a letter. 22 It looks like it's a letter addressed to an 23 attorney. I don't -- you know, this is not titled 24 narrative report and I -- I don't know what the 25 policy and procedure was of the practice two years
203 1 practice group, but it's fair game to ask him about 2 his records. 3 MR. PARRA: Maybe with just the 4 appropriate redactions, but we'll still note my 5 objections. Just like any other medical reports, 6 it's a hearsay statement, and we'll let the judge 7 call that one. 8 MR. BROOKS: Sure. One second. 9 Okay. We can go back on. 10 THE VIDEOGRAPHER: Back on the video 11 record. The time is 6:14 p.m. 12 BY MR. BROOKS: 13 Q. Okay. I had asked you, Doctor, whether you 14 had ever seen this report before, and I'm not sure 15 whether you answered. Maybe you did. I didn't 16 catch it. 17 A. I -- I don't recollect this report. I mean, 18 if it's in the chart, I may have glanced at it, but 19 I don't -- I don't have intimate knowledge of this 20 report, no. 21 Q. Okay. Well, let's just go through a couple 22 of things here. Again, this is on Precision Pain & 23 Spine's letterhead, and we can -- can we agree that 24 this really should be in Precision Pain & Spine's 25 files concerning Mr. Aburadwan?	205 1 before I joined it, and I -- I have to -- I have to 2 say I'm not clear in New Jersey what the policy -- 3 what the regulations are regarding including all 4 letters, if they're part of the medical chart or 5 not. 6 Q. Okay. Well, let's go through it now. 7 Okay? 8 A. Sure. 9 Q. First let's look at -- I can make it a little 10 bigger for you, because it's probably pretty small. 11 A. It is. Yes. Thank you. 12 Q. How's that? Is that a little easier? 13 A. That's perfect. Yeah. Thank you. 14 Q. Okay. It says, "Thank you for contacting my 15 office regarding my 8-20-21, 9-17-21, 10-8-21, 16 10-18-21, 11-1-21, 11-29-21, and 12-17-21 17 evaluations of Mr. Aburadwan with respect to his 18 injuries sustained in an 8-12-2021 motor vehicle 19 accident." You see that? 20 A. Yes. 21 Q. Okay. And the subparagraph one talks about 22 complaints of shooting pain in the neck, radiating 23 into both shoulders and down into both upper 24 extremities with tingling and numbness. Did I get 25 that correct?

206 1 A. Yes. 2 Q. Okay. And it indicates in the very next 3 paragraph that Mr. Aburadwan, the plaintiff in this 4 case, indicated that the neck symptoms were severe 5 to the extent that they were increasingly 6 debilitating and affecting daily participation in 7 physical activities. Did I get that right? 8 A. Yes. 9 Q. Okay. Let's scroll down a little bit to the 10 second page of this report here. Okay. And the 11 report goes on to say, Mr. Aburadwan, the plaintiff 12 in this case, required a cervical discectomy at 13 C5-6, which he underwent on 1-6-2022. Did I also 14 get that right? 15 A. Yes. 16 Q. And the very next paragraph indicates that 17 while -- well, let's back up again. 18 He underwent the surgery on 1-6-2022, right? 19 I'll scroll up. That's only one day before this 20 report's generated. Do you see that? 21 A. Yes. 22 Q. Okay. So it indicates that while this 23 surgery, this cervical surgery will improve the 24 severe cervical radiculopathy, there will be a 25 degree of permanency to the cervical injury which	208 1 are related to L5-S1 disc herniation and L4-5 disc 2 bulge, which are present based upon my independent 3 evaluation of MRI films. Do you see that? 4 A. Yes. 5 Q. And if we scan to the very last sentence in 6 that paragraph, it ends in, the lumbar injuries are 7 directly related to the 8-12-2021 motor vehicle 8 accident, right? 9 A. Yes. 10 Q. And who signed this report at the bottom? 11 A. Dr. Elkholy. 12 Q. And he's the same guy who coauthored your 13 lawsuit report in this case and also addressing the 14 subsequent trash truck crash 15 days later, right? 15 A. Yes. 16 Q. Okay. And Dr. Elkholy's not here at trial, 17 right? Not expected to show up? 18 A. Are you asking me? 19 Q. Yeah. 20 A. Oh, I -- I have no idea. 21 Q. Okay. He is still in your practice group, 22 right, at Precision Pain & Spine? 23 A. Yeah. He's our president. 24 Q. And you didn't -- you didn't discuss this 25 trial appearance with him and whether he would be
207 1 required surgery, right? 2 A. Yes. 3 Q. Okay. This report from your practice group, 4 Precision Pain & Spine, from January 7, 2022 says 5 that he has permanent cervical injury. Did I get 6 that right? 7 A. Yes. 8 Q. Okay. In the next line, "It is my conclusion 9 that the cervical injuries are obviously related to 10 the 8-12-2021 motor vehicle accident." Did I get 11 that one right? 12 A. Yes. 13 Q. Okay. Nowhere in your litigation report, 14 your lawsuit report we talked about, the 15 November 5, 2024 report, one that's targeting the 16 accident we're here about, 12-8-22, and the 17 subsequent trash truck accident do you discuss this 18 report, do you? 19 A. No. 20 Q. And the final paragraph here, let's look at 21 that. It indicates that Mr. Aburadwan is also 22 experiencing significant lumbar symptoms with 23 radiating pain and numbness. He was anxious to 24 address the increasingly severe cervical symptoms, 25 but also concerned about the lumbar symptoms, which	209 1 here to testify? 2 A. No. 3 Q. And this -- this prior report that we're 4 talking about, it's dated about 11 months before 5 the case we're trying here today; is that about 6 right? 7 A. Yes. 8 Q. Okay. We just mentioned Dr. Elkholy 9 co-authoring the report that we've been talking 10 about dated November 5, 2024. That's the lawsuit 11 report for this accident and the trash truck 12 accident. As an expert surgeon you didn't need Dr. 13 Elkholy's help to write this report, did you? 14 A. No. I think just the report incorporated 15 elements of my treatment, as well as his treatment. 16 Q. Okay. And you've written other reports 17 without his help, right, other litigation-oriented 18 reports without Dr. Elkholy co-signing with you, 19 right? 20 A. Yes. I've written other narratives, yes. 21 Q. I want to circle back to your CV very 22 briefly. Again, we discussed earlier that your CV 23 is like a professional resume. I think we both 24 agreed as to that characterization; is that right? 25 A. Yes.

210 1 Q. Can we agree that when choosing a physician, 2 particularly one that's going to do surgery on you, 3 his or her credentials and experience are pretty 4 important in making that decision? 5 A. Sure. 6 Q. Okay. And let's look -- do you have your -- 7 I can put your CV back up for you, because that's 8 probably gonna be the fastest way to do this. Bear 9 with me one second. 10 MR. PARRA: Off the record while we're 11 pulling that up. 12 THE VIDEOGRAPHER: Off the video record. 13 The time is 6:25 p.m. 14 MR. PARRA: You did indicate you're not 15 gonna publish this one, right? 16 MR. BROOKS: Not being published. 17 MR. PARRA: Okay. Back on the record. 18 THE VIDEOGRAPHER: Back on the video 19 record. The time is 6:26 p.m. 20 BY MR. BROOKS: 21 Q. I've scanned down in your CV, and I'm happy 22 to scan up so you can see the beginning of it. We 23 looked at this, but we're on page 3 of your CV at 24 the section entitled societies. Do you see that? 25 A. Yes.	212 1 Q. Well, I looked on the AAOS website and in 2 order to be a member it's required that you 3 maintain a full unrestricted and unlimited license 4 to practice medicine or be full-time service in the 5 federal government. So did you know that when you 6 wrote this? 7 A. I know I've always been a member. I can't 8 say I read every bylaw, but I have always 9 maintained a full active and unrestricted license. 10 Q. Okay. Well, that's not true, sir. You were 11 suspended for 36 months, which would make you 12 ineligible to be a member of the American Academy 13 of Orthopaedic Surgeons during that period of time, 14 correct? 15 MR. PARRA: Objection. 16 THE VIDEOGRAPHER: Off the video record. 17 The time is 6:28 p.m. 18 MR. PARRA: You did read that the 19 suspension was stayed, right, and he was on 20 probation? 21 MR. BROOKS: Okay. It says unrestricted 22 and unlimited to be a member. 23 MR. PARRA: Okay. Well, unless you're 24 getting an expert to come in and tell us whether 25 the American Academy of Orthopaedic Surgeons took
211 1 Q. And maybe we can cut through this a little 2 bit. Would you agree that you included this 3 section for the purposes of showing your 4 involvement in ongoing professional groups? 5 A. Yes. 6 Q. Okay. And part of that is to show that you 7 are up to date and that you know what's going on 8 and that you -- that your opinions and education 9 and knowledge are relevant in the fields of 10 practice that you practice; is that a fair way to 11 say things? 12 A. Well, I mean, if you're saying why I included 13 it, you know, I've seen other resumes and they 14 indicate, you know, any society involvement, so I 15 included it as part -- as part of mine. I'm not 16 sure if I had a purpose in mind for it, but I 17 thought it was a standard element of a -- of a CV. 18 Q. Okay. And the first group under societies 19 listed here is the American Academy of Orthopaedic 20 Surgeons, also known as the AAOS. Do you see that? 21 A. Yes. 22 Q. And you list membership in the American 23 Academy of Orthopaedic Surgeons from 2004 to 24 present. Did I get that right? 25 A. Yes.	213 1 him off the Academy, I mean, I don't know, you 2 know, how that's even relevant. 3 MR. BROOKS: Okay. We can go back on. 4 I don't agree with you, but I think it's entirely 5 relevant to cross examination here. 6 MR. PARRA: Okay. 7 THE VIDEOGRAPHER: Back on the video 8 record. The time is 6:29 p.m. 9 BY MR. BROOKS: 10 Q. Okay. So during that 36-month period where 11 your license to practice medicine was suspended, 12 according to the AAOS itself, you can't be a member 13 during that. Is that the first time you're hearing 14 this? 15 A. I know I paid my dues every year, and again, 16 I have maintained a fully active and unrestricted 17 license since it was issued. I have never been 18 prevented from practicing whatsoever, from writing 19 prescriptions, seeing patients or doing surgery in 20 any hospital, institution or by any licensing 21 agency. As you refer to it, it was a stayed with 22 probation and the entire time I maintained my 23 practice, so I'm -- I'm unaware of any limitations, 24 and it was specifically actually explained to me 25 that my license remained fully active and

214 1 unrestricted the entire time by the Department of 2 Health. 3 Q. But not by the American Academy of 4 Orthopaedic Surgeons. Did you ever ask them? 5 A. Whenever I sent in my membership dues every 6 year, no, I did not ask if they had an issue with 7 that. No. 8 Q. Can I presume you didn't volunteer that 9 information when you paid your dues during your 10 suspension period? 11 A. Was never asked. 12 Q. Sure. Okay. I don't see anything in any of 13 your reports where you indicate that you ever 14 provided your CV to plaintiff. Did you ever give 15 him your CV? 16 A. Did I personally give it, no. I -- I guess I 17 provided this CV to Precision, obviously must have 18 been early on because this is a little out of date. 19 I have -- you know, I have updated my CV, and I'll 20 definitely make sure that the office has, you know, 21 my updated CV before they, you know, give it out to 22 any more people. 23 Q. Okay. But my question is a little bit 24 narrower than what you answered. Do you recall 25 ever giving a copy of your CV, whether it's this	216 1 these other surgeons were that he consulted with? 2 A. No. 3 Q. Did he indicate whether they were maybe in 4 this country or in his travels in the Middle East? 5 A. I -- I don't recall exactly. I -- my best 6 recollection is that they were surgeons here in, 7 you know, in the United States. 8 Q. And at no point did you communicate with any 9 of them to discuss the pros and cons, 10 appropriateness or not appropriateness of this 11 surgical procedure; is that a fair statement? 12 A. I don't need to consult with another surgeon 13 about my opinion. 14 Q. Okay. The answer is no, you didn't do 15 that -- 16 A. No, I didn't do that. 17 Q. -- correct? 18 A. Yeah. 19 Q. Did you ever tell -- let me back up a little 20 bit. 21 You're aware, are you not, that part of what 22 plaintiff is claiming as his damages is addiction 23 to pain control medications, right? 24 A. Yeah. As we said, that is a risk with, you 25 know, extended period of, you know, pain control.
215 1 one or a different version, to plaintiff? 2 A. No. I've never met Harold. 3 MR. PARRA: Well, are you referring to 4 plaintiff's attorney or plaintiff himself, like Mr. 5 Aburadwan? 6 MR. BROOKS: I'm referring to plaintiff, 7 not to counsel. I'm referring to plaintiff. 8 Q. When you were -- 9 MR. PARRA: Right. 10 Q. When you treated him or when you were 11 consulting with him, at any point did you ever give 12 him a copy of your CV? 13 A. No. 14 Q. Did you ever suggest to him that because it's 15 such a significant procedure that you were 16 contemplating, that maybe he get a second opinion? 17 A. He actually told me that he already had 18 spoken to at least two other surgeons, that I 19 recall, maybe more, but I believe I was the third 20 surgeon that he had consulted and he told me that 21 basically everybody told him the same thing and he 22 elected to move forward with me. 23 Q. That's interesting, because we don't have any 24 records of him consulting with anyone other than 25 you. Did he tell you anything more about where	217 1 He did suffer some complications of the 2 medications, for sure. 3 Q. But you -- my question's really narrower than 4 that. You know that he says because he was in this 5 accident, 12-8-2022, he was prescribed medications 6 that he is now addicted to. You're aware of that, 7 right? 8 A. I have not specifically discussed the 9 medication regimen with him. He has also been 10 seeing a pain management doctor outside our 11 practice, I believe, for medications, and I have to 12 be honest, I have not reviewed, you know, the other 13 doctor's, you know, pain control regimen. 14 Q. Okay. Who's that other doctor, because 15 again, we don't have records of that? 16 A. I don't know. I think it was, you know, in 17 like a, like a free clinic or something, because 18 I'm not sure what his resources are for insurance, 19 so I think he consulted, like, with a hospital, 20 free clinic type thing to get, you know, pain 21 management for -- I don't do long-term medication 22 management. I'm a surgeon. 23 Q. Okay. Earlier in this -- in this cross 24 examination I asked you about the -- sort of the 25 lack of review of his four prior depositions

218 1 concerning these three accidents. You recall that 2 line of questioning? It was pretty extensive line. 3 A. Yes. 4 Q. I'm gonna add another little bit to that. 5 Because you did not review any of his prior four 6 deposition testimonies for these three different 7 accidents, I assume you are unaware that he 8 testified that Precision Pain & Spine had 9 prescribed him morphine for the prior accident that 10 Dr. Elkholy wrote the report for we just went 11 through a few minutes ago. Are you unaware of 12 that? 13 A. I mean, I'm not aware of the specifics, but, 14 you know, yes, we do prescribe narcotic medications 15 for acute -- in acute situations, the first three 16 months, four months, things like that. After 17 surgery it might be a little bit more extended, but 18 if we get into a long-term, like, greater than six 19 month type situation, I think there is one doctor 20 in the practice that does do that on a limited 21 basis, but I certainly don't. I'm a surgeon. I 22 don't -- you know, that's not my thing, but I think 23 there's one person in the practice who does that, 24 again, on a limited basis. 25 Q. Okay. That was helpful, but my question is	220 1 remaining in December of 2022, how could I possibly 2 know about that? 3 Q. Those are my questions. You didn't know that 4 he previously testified that he still had a 5 prescription for morphine on the day of this 6 accident, 12-8-2022? You're -- you're just not 7 aware of it? 8 A. No. I don't -- I did not review any of his, 9 you know, legal depositions. 10 Q. So I presume that you never disclosed to 11 plaintiff that you had had your license suspended 12 for a period of 36 months concerning improper 13 prescription of narcotic and/or opioid medications, 14 that was at least part of the suspension? You 15 didn't tell him about that, did you? 16 A. No. We did not discuss it. 17 Q. Okay. And there's nothing on the Precision 18 Pain & Spine website that would let a curious 19 patient or potential patient know about your 20 history of medical discipline, is there? 21 A. I'm not required to do so, and after 10 22 years, which it's been over 10 years, even the 23 Department of Health takes it off their website. 24 Q. Okay. So the only way that a potential 25 patient, such as plaintiff, would know about this
219 1 really, because you didn't read his deposition 2 transcripts, maybe because you weren't provided 3 with them, you're unaware that his testimony, 4 plaintiff's testimony is that he had a prescription 5 for morphine to control pain associated with 6 injuries from the prior motor vehicle accident of 7 8-12-2021; is that a fair statement? 8 A. I would have to look back in our prescription 9 records to verify that, but I have no reason to 10 doubt that he would be prescribed, you know, strong 11 pain medication. He did undergo a cervical, you 12 know, discectomy based off the 2021 accident and it 13 would be normal practice to do so, but again, I 14 have not reviewed the prescription records from 15 2021. 16 Q. And because you didn't review his four 17 different depositions for these three different 18 accidents, you don't know that he testified that he 19 still had a prescription for morphine on the day of 20 this accident? You're unaware of that? 21 A. I would -- well, if the last time he was seen 22 by Dr. Elkholy was January 6th of 2022, I'm not 23 sure -- well, I know for a fact we would not have 24 issued any further prescriptions without an office 25 visit. That's the policy. If he still had pills	221 1 history is if he went digging into your history, 2 just like -- just like I did, right? 3 A. Well, I don't think it requires much digging, 4 but yeah, they could find out. 5 Q. And you know that plaintiff lives in New 6 Jersey and not in New York, right? 7 A. Yes. 8 Q. So if he wanted to check up on your 9 disciplinary history, he would not only have to go 10 digging for it, but he'd have to actually look in a 11 different state to find that, that history; is that 12 right? 13 A. I mean, I think Google doesn't respect state 14 boundaries, but it would not be on the New Jersey 15 Department of Health website, and actually, it's no 16 longer on the New York Department of Health website 17 either. 18 Q. And again, you didn't tell him about it? 19 A. No. 20 Q. I don't have any more questions. 21 REDIRECT EXAMINATION BY MR. PARRA: 22 Q. I just have a few. Gonna kind of start 23 backwards. 24 First of all, the January 7th, 2022 report 25 from Dr. Elkholy does not contain any reference to

222 1 the thoracic spine, right? 2 A. Correct. 3 Q. And there was no statement of any treatment 4 that he had received regarding his low -- his mid 5 back or any problems that he was having with his 6 mid back, right? 7 A. Correct. 8 Q. And from January 7th, 2022 until December 8th 9 of 2022, that's almost -- that's like an 11 month 10 span? 11 A. Yes. 12 Q. He had no treatment whatsoever -- 13 MR. BROOKS: Objection. 14 Q. -- for any parts of his -- 15 MR. PARRA: Excuse me. 16 MR. BROOKS: I'm entering an objection. 17 MR. PARRA: Okay. 18 THE VIDEOGRAPHER: Off the video record. 19 The time is 6:43 p.m. 20 MR. BROOKS: I let you get -- do it two, 21 three, four times in a row, but these are leading 22 questions, Harry. 23 MR. PARRA: It's redirect, but okay. 24 I'll alter the form. I'm just trying to get 25 through it quick.	224 1 on your opinion as to what his problems were 2 relating to this December 8th, 2022 accident? 3 A. No. I'm -- I speak directly to him. 4 Q. What -- I mean, what records did you consider 5 when coming to your opinion as to what happened in 6 December 8th, 2022 accident? 7 A. The images, MRIs, x-rays, obviously my 8 physical exam. That's not a record but, you know, 9 the records as -- what other treatment he's 10 received. You know, again, I saw him a year and a 11 half after his injury, so I looked at the records 12 of what other treatments, other procedures that he 13 had undergone, medication, prescriptions and, you 14 know, progress note reports. 15 Q. Do you really care what happens as a result 16 of this litigation? 17 A. I mean, I -- I'm his doctor. I take care of 18 him and, you know, my job is to report to you what, 19 you know, what I found and what was done. 20 Q. I mean, the reason why I'm asking is counsel, 21 on cross examination, asked you about some bias 22 that you may have regarding the medical bill that 23 Precision pain management may have generated. You 24 have any -- do you have any bias as a result of 25 that bill?
223 1 MR. BROOKS: I know. I know, but I got 2 to do what I got to do. Let's go back on. 3 THE VIDEOGRAPHER: Back on the video 4 record. The time is 6:43 p.m. 5 BY MR. PARRA: 6 Q. What treatment, according to his records, did 7 he have from January 7, 2022, or January of 2022 8 through December 8th, 2022? 9 A. None. 10 Q. Okay. Does that indicate to you whether he 11 was having any problems during that span of time? 12 A. I mean, typically, in my experience, when 13 patients don't come to see you, it means they're 14 doing well and they don't want to waste any more 15 time in your office. 16 Q. Right. Okay. And you're here giving 17 testimony regarding the treatment that you rendered 18 and your diagnosis and -- as a treating physician, 19 correct? 20 A. Yes. 21 Q. Do you really care what is contained in 22 deposition transcripts relating to court 23 proceedings? 24 A. I'm not a lawyer. No. 25 Q. Does that have -- does that have any effect	225 1 A. No, I do not. 2 Q. Because you understand that whether the jury 3 finds that this defendant was responsible for these 4 injuries and the treatment or not, Mr. Aburadwan is 5 still on the hook for that bill regardless, right? 6 A. Well, we provided the service and we provided 7 a bill, you know. This is medicine, so we're kind 8 of accustomed to, you know, providing service and 9 seeing what happens, but that's what doctors do. 10 Q. Counsel also asked you questions about 11 whether you were aware about the mechanism of 12 injury regarding his truck -- what he calls the -- 13 where he keeps referring to as the trash truck 14 accident of December 23rd, 2022 as opposed to the 15 accident that occurred in this case in December 8, 16 2022. If the testimony is that in this accident, 17 the December 8th, 2022 accident, the Jeep went 18 almost like across his truck and caused his truck 19 to bounce up and down in a motion that caused his 20 body to be thrown up and down in his seat and his 21 cab, is that mechanism of injury consistent with 22 compression fractures in his spine, such as the 23 ones that you saw on his August 13th, 2022 MRIs? 24 MR. BROOKS: Objection. Off the record. 25 THE VIDEOGRAPHER: Off the video record.

226 1 The time is 6:47 p.m. 2 MR. BROOKS: So a couple of things. 3 Number one, that's not what I asked him, so this is 4 beyond the scope of cross examination. I asked him 5 whether he was aware of how the accident happened, 6 not for an analysis of biomechanics. Number two, 7 on voir dire he admitted that he is not an accident 8 reconstruction expert, he is not a biomechanical 9 expert, he is not an engineer, nor is he a 10 physicist and a litany of other things that he is 11 not, so asking him to give an analysis of the 12 biomechanics of injury causation is inappropriate 13 in that respect. Secondly, that's nowhere in 14 any one of his documents, including the litigation 15 report of November 5, 2024 or any of the 16 400-and-some-odd pages of exhibits that you showed 17 him. That ain't in there, and it's out of bounds 18 for testimony here today. 19 MR. PARRA: Okay. Well, you opened the 20 door to that line of questioning. You did refer to 21 the mechanism of injury. You indicated that the 22 trash truck accident was a rear-end hit, and so I 23 would be entitled to ask him these questions, and 24 that's going to be for the judge. 25 MR. BROOKS: That's definitely for the	228 1 we tend to see compression fractures in that area. 2 Obviously it would depend on the level of the 3 injury -- oh, no. Sorry. -- the level of the 4 energy. You know, more energy would be like a 5 fracture dislocation. Less energy, like maybe 6 something like this, could give you just 7 compression without actual dislocation. So yeah, I 8 could see that mechanism causing the type of injury 9 that we see here, and again, we did see the MRI a 10 few days after this accident which did demonstrate 11 those fractures within days of this accident. 12 Q. Thank you, Doctor. 13 Also, counsel pointed out that Mr. Aburadwan 14 had gone back to work prior to his December 23rd, 15 2022 accident. Is that a factor that you had 16 considered when you apportioned the respective 17 causation of these current injuries? 18 MR. BROOKS: Objection. 19 THE VIDEOGRAPHER: Off the video record. 20 The time is 6:52 p.m. 21 MR. BROOKS: Two things. Number one, 22 beyond the scope of the direct questioning as to 23 the 30/70 split. Secondly, that's not in his 24 report either. You're asking him whether he 25 considered going back to work. It's just not in
227 1 judge, because I was very careful not to ask him 2 about the biomechanics of injury causation. 3 MR. PARRA: Michelle, can you read the 4 question back? 5 (The court reporter read back the 6 previous question.) 7 MR. BROOKS: Same objection. 8 MR. PARRA: Back on the record. 9 THE VIDEOGRAPHER: Back on the video 10 record. The time is 6:50 p.m. 11 BY MR. PARRA: 12 Q. You could answer that, Doctor. 13 A. Sure. If, you know, if you're thrown up and 14 you hit your head on the top of something and that 15 exerts a compressive or, you know, axillary force 16 and then you're -- then fall down again on your 17 butt and that can -- and that exerts another 18 compression force on the way down, that, you know, 19 could be consistent with compression, you know, 20 across the spine, and the -- the highest stress 21 point, again, would be at that thoracolumbar 22 junction, T -- you know, T10-T11. We tend to see 23 that in, for instance, you know, what we call 24 jumpers, you know, people who jump off a building 25 or something and they fall, they hit their butt and	229 1 there. 2 MR. PARRA: You opened the door when you 3 were asking him all of those questions, and so 4 we're going to leave that one to the judge, as 5 well. 6 MR. BROOKS: Those were very narrowly 7 tailored. Even though they were extensive, they 8 were -- they had walls on them to keep you from 9 doing this, Harry. We can go back on. A judge 10 will figure that one out. 11 THE VIDEOGRAPHER: Back on the video 12 record. The time is 6:53 p.m. 13 BY MR. PARRA: 14 A. Again, you know, this was done a year ago. I 15 can't tell you exactly what I reviewed at the time, 16 but typically we would -- I would look at the 17 images, the records, whatever information that we 18 have in there, you know. Again, I think despite 19 the fact that we have these fractures that occurred 20 within days of the December 8th accident, you know, 21 I have to think that some of that came into play 22 because we -- I attributed, you know, the majority 23 to the subsequent accident. So if there was 24 something like work records or, you know, pain 25 reports and so forth, that's the kind of stuff that

230 1 I use to try to come up with, you know, an 2 appropriate apportionment. 3 Q. Thank you, Doctor. 4 The spinal column itself, is that -- is it 5 only -- does it only stand erect from the bones, 6 the vertebrae and the discs or are there other 7 tissue that supports it? 8 A. So the spine has like a -- what we call the 9 cone of stability, so if there is a -- there's an 10 architecture to the spine itself. That's why it's 11 not just straight. That's why you have a cervical 12 lordosis, thoracic kyphosis, lumbar lordosis, and 13 then the curvature of the sacrum, you know, into 14 the hip joints. So the spine itself could actually 15 balance, you know, quite well and you could hang a 16 lot of weight on it, and there's studies that do 17 that. They take skeletonized, you know, spines and 18 subject them to forces, and it is a very 19 well-balanced structure, but of course, you know, 20 you do have to add things to it, like the ligaments 21 and the muscles, and that's why sometimes if you 22 have, like, a bad hip, it can give you back pain. 23 If you have a bad ankle, it can give you back pain. 24 If other things are off balance, then, you know, it 25 can throw off the spine, so that's why you need --	232 1 put up graphics of levels of where the compression 2 fracture is and where these things happen and made 3 a reference as to the fact that it happened after 4 December 23rd, and so once you open that door, I'm 5 entitled to ask him for an explanation as to why 6 that would be, so that's what I'm doing. 7 MR. BROOKS: I don't think so, because I 8 very narrowly asked him about plaintiff's reports 9 thereof, not about his diagnosis of some sort of 10 delayed onset kyphosis, which is not in the reports 11 and which you did not ask him on direct. 12 MR. PARRA: Same difference, and the 13 record will speak for itself. 14 So, Doctor, if you could answer that 15 question. 16 THE WITNESS: We on the record? 17 MR. BROOKS: We have to go back on. 18 THE VIDEOGRAPHER: Back on the video 19 record. The time is 6:57 p.m. 20 BY MR. PARRA: 21 A. You know, again, we -- there are compensatory 22 mechanisms, you know, around the spine, you know, 23 of the muscles. That's why a lot of times, you 24 know, you might get hurt, you don't feel it till 25 the next day. Again, it's not something we see all
231 1 you know, we have all the beautiful architecture of 2 the muscles and ligaments that, you know, do 3 surround and aid in stabilizing the spine. 4 Q. So would the exaggerated kyphosis resulting 5 from the collapse of his fractured vertebrae be 6 immediate, as soon as it happens, or would it tend 7 to be more gradual over the next few weeks or 8 months? 9 A. You know, you do have compensatory 10 mechanisms. 11 MR. BROOKS: Objection. Objection. Off 12 the record. 13 THE VIDEOGRAPHER: Off the video record. 14 The time is 6:55 p.m. 15 MR. BROOKS: Harry, this is not in any 16 of his reports. It's not in any of his -- it's 17 beyond the scope of cross examination. Now you're 18 just patching up holes in the story here. This is 19 not appropriate. You can't go ask him these 20 questions when they're not in the records and it 21 wasn't part of cross. 22 MR. PARRA: The purpose of redirect is 23 to patch up holes caused by the open doors that 24 defense counsel creates on cross, and so you asked 25 him about his extreme kyphosis. You put up -- you	233 1 the time, especially, you know, if you're like a 2 young, otherwise strong, active, you know, type 3 person, you know, you -- also, people tend to kind 4 of mentally compensate, you know. It's like, eh, 5 it hurts, but I could keep going, you know, until 6 you decompensate, you know, until the point where, 7 like possibly in this case with the subsequent 8 accident, you know. If things get past that 9 point -- we do see, you know, people struggling 10 through work despite having pain. We see that, as 11 well. You know, again, I believe this guy was 12 running his own business, so a lot of times, you 13 know, they don't really have a choice, you know, if 14 it hurts or not, you keep on going. So, you know, 15 again, it's difficult -- you know, you're asking me 16 to look back as to what could possibly have been 17 going on around that time and that's the best that 18 I can, you know, speculate on that. 19 Q. Thank you, Doctor. 20 MR. BROOKS: Objection. 21 THE VIDEOGRAPHER: Off the video record. 22 MR. BROOKS: I withdraw it. We can go 23 back. I'm withdrawing my objection. Go ahead. 24 MR. PARRA: Back on the record? 25 MR. BROOKS: Yeah. Sorry.

234 1 THE VIDEOGRAPHER: We're on, Counsel. 2 MR. PARRA: Thank you. 3 BY MR. PARRA: 4 Q. Now, Doctor, counsel also asked you about the 5 MRI being a gold standard, but is looking at it 6 with your own eyes better on your, for example, 7 surgical situation? 8 A. If you mean actually looking at the spine 9 during surgery, obviously that's, you know, that's 10 the actual, you know, the actual spine MRI, again, 11 is a -- is a magnetic effect of spinning water 12 cells and balancing that out, you know, to develop 13 an idea. The word gold standard just depends on 14 how you apply it, but again, the MRI is a tool, 15 same as CAT scan, same as x-ray, same as our 16 physical exam findings. These are all tools that 17 we use to put things together, to make diagnoses, 18 to make treatment plans and, you know, come up 19 with, you know, the proper strategy for a patient. 20 Q. Thank you, Doctor. 21 The video footage that we looked at 22 previously, was the video footage itself, was that 23 an accurate depiction of the events that were 24 occurring during that period of time during the 25 procedure?	236 1 construct that was necessary to address, you know, 2 someone where I had to do four levels of osteotomy, 3 so that creates a level of area of instability at 4 that -- at that transition level of the spine, 5 which is a high stress zone, in order to get some 6 correction, and then you need multiple anchor 7 points above and below to hold that, you know. As 8 powerful as those screws look on x-ray, you know, 9 in the human body over a period of time, even just 10 months with millions of cycling, they basically 11 turn into, you know, tin paper clips, so they -- 12 you need multiple anchor points to hold the spine 13 up above and below the area where the osteotomies 14 and the correction was done. 15 Q. Thank you, Doctor. 16 Are you aware of whether any doctor colleague 17 of yours in practice hands a copy of their CV to 18 their patients? 19 A. I've never seen that done, no. 20 Q. Thank you, Doctor. 21 I have no further questions. 22 RECROSS EXAMINATION BY MR. BROOKS: 23 Q. I have a couple on -- just on redirect here. 24 I just want to be sure I really heard what 25 you just said on redirect when Mr. Parra asked you
235 1 A. Yes. That was, you know, that was a brief 2 part of the video of me inserting one of the 3 screws. 4 Q. In other words, that was actually you, that 5 was actually the patient, that was actually your 6 assistant? 7 A. Yes. 8 Q. And again, that's part of the patient's 9 medical record? 10 A. Yes. 11 Q. And Doctor, I think you touched on this in 12 your answer to defense counsel's questions as to 13 why you would fuse more levels than were actually 14 affected in terms of compression fractures, but the 15 question is, does weakness in one or two or three 16 levels affect other levels of the spine? 17 A. So the spine is a -- descending, so any -- an 18 injury at one part of the spine will certainly 19 affect levels below that injury and can actually 20 affect levels even slightly above the level of the 21 injury, as well. 22 Q. And that knowledge, how did that affect your 23 decision as to what levels to fuse? 24 A. Well, the levels of fusion were strictly 25 related to the -- to the mechanical strength of the	237 1 about not caring about plaintiff's prior deposition 2 testimony. Did I hear you say that you really 3 didn't care what he said under oath in four 4 different depositions concerning these three 5 different accidents? Did I get that right? 6 A. I never said I don't care, but I'm not -- 7 it's not part of my process to review legal 8 records. 9 Q. Okay. Even though those deposition 10 transcripts talk extensively, or include extensive 11 testimony from plaintiff about how he was feeling 12 and when he was feeling it, you're saying that that 13 wouldn't be something that you should consider? 14 Did I get that right? 15 A. I mean, again, if you want to present me with 16 something, I'm happy to consider it. I don't 17 typically -- I don't even know if I'm allowed to 18 review legal records, but I just ask my patient. I 19 don't have to look at a deposition. I, you know, I 20 have the patient in front of me, and who I see 21 multiple, multiple times over a long period of 22 time. 23 Q. And you know that plaintiff claims that he 24 has memory problems because of the surgery, right? 25 You know that? You're aware of that?

238 1 A. He has complained to me about, you know, 2 memory, sleep, focus, concentration. Again, these 3 are all -- you know, we do see these kind of 4 complaints in patients after traumas, you know, 5 whether it's related to -- you know, you described 6 here that patient did hit his head in the 7 December 8th accident. Whether it's 8 post-concussion, whether it's post-anesthesia 9 effects, whether it's prolonged use of narcotics, 10 you know, insomnia, memory, focus, concentration, 11 you know, these things are things that patients do 12 complain about. 13 Q. I get that. I get that, sir, but none of 14 this post-concussion trauma to the head or brain 15 has ever been alleged in this case. Plaintiff's 16 allegation is that because of the surgery you 17 conducted he has memory problems. Are you aware of 18 that? 19 A. Well, again, the patient says because of the 20 surgery, but what I'm trying to elucidate is that 21 obviously surgery on the spine is not necessarily 22 gonna cause him to have memory problems, but 23 anesthesia, prolonged narcotic use, insomnia, these 24 are things that could lead to, you know, memory 25 problems, focus, concentration.	240 1 he felt it, wouldn't that be helpful information 2 for you to have, because you can't just ask him 3 because he says he has no memory? 4 A. Well, again, if he's claiming the memory 5 problems are after the surgery, then things he 6 reports to me before the surgery, you know, I don't 7 know that they would be relevant, but again, I'm 8 happy to look at any -- any evidence that I'm 9 presented with, you know, but again, I've never 10 looked at deposition records. 11 Q. Because nobody gave them to you, right? 12 A. Correct. 13 Q. Okay. Counsel just asked you on redirect 14 about my questions as to the financial bias that 15 you admitted to having. He asked you as to whether 16 you really care what happens at this trial 17 financially, and you went on to say that you do 18 not, because no matter what, Mr. Aburadwan, the 19 plaintiff in this case, is, quote, on the hook for 20 the bills. Do you recall that just a few minutes 21 ago? 22 A. I remember that line of questioning, yes. 23 Q. Let's just expound very briefly. You know 24 that if he doesn't get paid, you guys are not gonna 25 get paid? You know that, right?
239 1 Q. I just asked you whether you knew that's what 2 plaintiff was claiming, not whether you think that 3 that's credible or where that comes from. I just 4 want to know whether you know that's what plaintiff 5 says, is that he has memory problems because of the 6 surgery. 7 A. I -- I would -- I remember him saying that he 8 has problems with his memory. I don't remember him 9 saying the word, you know, quote-unquote, because 10 of the surgery, no. 11 Q. Okay. Well, I'll represent to you that he 12 said it multiple times under oath. So assuming 13 that he can't remember things anymore, whatever the 14 cause is, don't you think it would be important to 15 know his version of how things happened, how he 16 felt, and when he felt them as recorded in sworn 17 testimony? Wouldn't that be helpful? 18 A. Again, I -- I'm his doctor. I don't know. 19 I've never requested deposition records. I just 20 ask my patient. 21 Q. Sir, I didn't ask you whether you requested 22 deposition records. I asked you whether when you 23 have a patient who claims to have memory problems, 24 that getting to his recorded version of the facts 25 of how the accident happened, how he felt, and when	241 1 MR. PARRA: Objection. 2 THE VIDEOGRAPHER: Off the video record. 3 The time is 7:08 p.m. 4 MR. PARRA: You say he's not gonna -- 5 that -- are you suggesting that Mr. Aburadwan is 6 not gonna pay his medical bill? 7 MR. BROOKS: I am 100 percent stating 8 that if he doesn't win at trial, he is not going to 9 be paying his medical bill, and I can see the look 10 on your face and you know I'm right. 11 MR. PARRA: I mean, how can -- how 12 can -- how can you speculate as to that? Like, 13 what makes you -- what makes -- what are you basing 14 that on? That he's not gonna owe him money -- 15 MR. BROOKS: Harry, I asked the witness 16 if he knew that to be true and he just said yes. 17 It's common sense. Your objection can stand -- 18 MR. PARRA: If there's insurance. 19 MR. BROOKS: Did the court reporter get 20 the response to the question on the record, because 21 I don't want to have to reask it again? 22 (The court reporter read back the 23 previous question.) 24 MR. BROOKS: Let's go back on the 25 record.

242 1 THE VIDEOGRAPHER: Back on the video 2 record. The time is 7:09 p.m. 3 BY MR. BROOKS: 4 Q. So, Dr. Apazidis, you know that the reality 5 is that if plaintiff doesn't get paid, you are not 6 gonna get paid, you meaning Precision Pain & Spine, 7 the practice group that you work for? 8 A. Look, he asked me if I care. You know, I do 9 my work and we take care of patients, so, you know, 10 getting paid or not getting paid is irrelevant. 11 Q. Can you please answer my question? You know, 12 practically speaking, that if plaintiff doesn't get 13 paid, you and Precision Pain & Spine are not gonna 14 be paid? Yes or no? 15 A. I have no idea about financials. I don't 16 handle that part of the practice. If we get paid, 17 we get paid. If we don't get paid, we don't get 18 paid. I mean, this is medicine. We're -- we're 19 very much accustomed to this. 20 Q. Okay. And because you didn't review any of 21 plaintiff's deposition transcripts from the four 22 different sworn depositions he gave concerning 23 three different accidents, you're unaware that he 24 has already testified that he asked you and the 25 other members of Precision Pain & Spine for	244 1 wrong for me to suggest that all four depositions 2 were from this accident, though. That would be 3 untruthful. You know that. 4 MR. PARRA: That's true. 5 MR. BROOKS: So let's -- what was my 6 last question, Madam Court Reporter? I know it's 7 late and my brain is starting to become weak. 8 (The court reporter read back the 9 previous question.) 10 MR. BROOKS: Let's go back on the 11 record. 12 THE VIDEOGRAPHER: Back on the video 13 record. The time is 7:13 p.m. 14 BY MR. BROOKS: 15 Q. Doctor, will you please answer that? 16 A. Sure. He never asked me about leaving the 17 country. He did ask me for a letter to try to have 18 someone from his family come here to help him, and 19 we did give him a letter, but I think the travel 20 visa was denied, so it's -- but that's the only 21 travel-related thing that I remember. 22 Q. Okay. So you're unaware of that testimony 23 that I just mentioned to you? 24 A. Yeah. He never asked me about him traveling 25 anywhere.
243 1 permission to leave the country and that permission 2 was denied? 3 MR. PARRA: Objection. 4 Q. You're unaware of that, right? 5 THE VIDEOGRAPHER: Off the video record. 6 The time is 7:11 p.m. 7 MR. PARRA: Oliver, I know you keep 8 referring over and over to four different 9 depositions from three different accidents, but if 10 my -- you understand that if my motion in limine to 11 prohibit implications or references to any other 12 lawsuits, that's gonna be a problem for your 13 questioning. 14 MR. BROOKS: I disagree. Even if you 15 win, it will just prevent me from saying you know 16 he had another lawsuit, right. I can still ask him 17 about sworn testimony in the form of depositions. 18 There's absolutely no way that that can -- 19 MR. PARRA: Maybe. Maybe. Depositions 20 from four -- four different depositions may be from 21 this one accident, but four depositions spanning 22 from three different accidents, that's a 23 different -- that's a little -- that's a little 24 dicey. 25 MR. BROOKS: It would be -- it would be	245 1 Q. Okay. The other question -- hopefully this 2 is very brief, but plaintiff's counsel asked you 3 about comparison between the efficacy of surgical 4 observations versus MRI observations. Do you 5 recall that just a few minutes ago? 6 A. Yes. 7 Q. Okay. So can we agree that you can't have a 8 person under complete or general anesthesia and 9 conduct imaging of them with your eyes, meaning, 10 visualize with weight bearing in their spine? 11 A. No. That cannot be done. 12 Q. You can do that with an MRI, can't you? 13 A. Yeah. Some facilities have what's called 14 standing MRIs. 15 Q. Some facilities have dynamic MRIs, too, where 16 they can actually show how things change as you 17 move, right? 18 A. Yes. They take, like, in a flexed position, 19 in an extended position, yes. 20 Q. Can't do any of that under general anesthesia 21 when somebody is cut open to look at them, can you? 22 A. No, but you can manipulate the spine. 23 Q. Sure. But not in the way that the human body 24 would manipulate itself, right? 25 A. No.

1 Q. And also, the MRI has the -- you know what, I
2 think I'm done. I don't have any more questions
3 for you. It is quarter past seven. Let's call it.

4 MR. PARRA: I have zero questions.

5 THE VIDEOGRAPHER: That concludes the
6 deposition of Dr. Apazidis. The time is 7:15 p.m.
7 We are off the video record.

8 (At 7:15 p.m. proceedings were
9 concluded.)
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 CERTIFICATE

2
3 I, MICHELLE GRUENDEL, a Certified Court
4 Reporter and Notary Public of the State of New
5 Jersey, do hereby certify that the foregoing is a
6 true and accurate transcript of the testimony as
7 taken stenographically and digitally at the time,
8 place and on the date hereinbefore set forth, to
9 the best of my ability.

10 I DO FURTHER CERTIFY that I am neither a
11 relative nor employee nor attorney nor counsel of
12 any of the parties to this action, and that I am
13 neither a relative nor employee of such attorney or
14 counsel, and that I am not financially interested
15 in the action.
16
17
18
19



20 MICHELLE GRUENDEL, C.C.R.
21 C.C.R. License No. 30X100190500
22 Notary Public of the
23 State of New Jersey
24
25

A			
a/k/a 181:14	12:17 13:22	74:15,16,21	199:16,17
181:16	13:25 14:5	83:13,14,21	204:13,13
AAOS 211:20	43:4 46:2,7	85:25 86:7	205:19
212:1 213:12	46:10 57:14	86:16,18,20	207:10,16,17
abdomen 95:14	61:1,24	86:25 87:8	208:8 209:11
95:16	89:23 95:24	87:11,22,23	209:12 217:5
ability 195:4	203:25 204:4	95:23 114:14	218:9 219:6
247:9	205:17 206:3	123:3,5,7,9	219:12,20
able 12:6	206:11	123:14,14,20	220:6 224:2
14:20 16:22	207:21 215:5	123:23,25	224:6 225:14
34:25 43:11	225:4 228:13	124:8,10,22	225:15,16,17
49:2 57:12	240:18 241:5	125:3,13,19	226:5,7,22
59:8,13	Aburadwan's	125:20 126:5	228:10,11,15
72:19 94:17	45:11,24	127:23 132:7	229:20,23
107:13	62:17	132:13,16,21	233:8 238:7
118:10,11,11	abuse 21:12,16	132:24 133:8	239:25
118:16	21:20	135:13 136:7	243:21 244:2
129:24 132:6	Academy 211:19	138:4,5	accidents
132:12,22	211:23	144:18,22,25	45:13 125:7
137:14	212:12,25	145:7,12,14	125:10
141:20,24	213:1 214:3	145:16	143:15,21
142:16	accept 130:18	146:12,13,15	148:13,23
152:14	130:19	146:16,18,23	149:5,11,23
153:22	acceptable	147:6,9,22	150:2,20
192:21	122:3	147:23 148:4	153:19
193:18	accepted 10:17	148:6 149:1	155:10
201:25	120:20	149:17	196:24 197:9
abnormal	133:21	150:13 151:1	200:18 218:1
178:21 179:2	178:22	151:12,18	218:7 219:18
186:3,11	access 43:14	152:1,3,6,8	237:5 242:23
189:23,23	45:22 59:11	152:20 153:7	243:9,22
abnormality	62:7,9 86:25	153:8,21	accordion
161:18	104:12 106:7	154:7,7,14	108:1
above-ment...	192:9	154:22,23	account 57:24
1:9	accident 42:10	158:18 159:1	accounts 57:23
absence 166:8	45:13 49:21	159:10,21	accurate 35:17
absent 82:13	50:9,17 51:5	160:18	36:13 50:22
82:15 157:21	53:11,19,24	162:21,25	51:13 234:23
157:23	55:15 56:2	163:12,16	247:6
absolute	61:3,6,25	164:25 165:7	accustomed
126:24	65:16,24	166:3 167:20	225:8 242:19
absolutely 9:5	66:10,18,22	192:17,18,24	acknowledge
243:18	67:5,6,14,17	193:20	147:1
Aburadwan 1:3	67:19 68:8	194:12,16,17	action 27:19
2:5 5:7,19	71:21 72:1,1	194:19 195:2	247:12,15
	72:6 74:12	196:14	actions 9:5

active 8:1 16:10 33:3 33:24 177:12 177:24 184:15 212:9 213:16,25 233:2	addition 19:17 59:21 88:19 additional 60:11 91:3 108:15 address 15:22 189:25 207:24 236:1 addressed 204:6,22 addressing 208:13 adequate 22:3 22:8,9 admission 86:19 admit 14:9 27:12 admitted 27:7 42:22 140:6 226:7 240:15 adolescence 91:24,25 92:9,9 94:14 adolescent 10:5 92:3 adult 10:5,6 advisable 121:24 advised 99:2 affect 235:16 235:19,20,22 afternoon 5:1 age 182:3 agency 213:21 agent 21:11,21 22:15 23:6 23:16 agents 88:11 101:5 aggravate 124:11 aggravated 55:11,23 aggressively 185:11	ago 7:15 20:14 25:20 96:2 120:15 162:19 192:1 192:6 194:14 199:12 218:11 229:14 240:21 245:5 agree 17:11 31:21 36:25 39:4 42:1,2 42:5,8,10,13 42:16 74:25 75:3,8,20,21 76:20,23 103:5 113:25 134:14 141:14 142:10 164:7 164:20 179:13 183:19 184:13 187:16 189:7 203:23 210:1 211:2 213:4 245:7 agreeable 133:22 agreed 19:3 27:2 67:5 134:4 209:24 agreement 17:3 17:14 ahead 77:5 198:18 233:23 aid 231:3 ain't 226:17 AKINS 2:6 al 1:5 Alabama 22:23 Alexios 1:8 3:2,10,11 5:6,14 6:1	14:22 17:2,6 29:6 31:14 allegation 238:16 allegations 19:4 alleged 176:13 238:15 allergy 44:24 allocate 125:2 allow 13:4 18:11 100:23 104:23 115:12 allowed 175:17 237:17 allowing 125:11 alter 222:24 American 6:18 6:25 211:19 211:22 212:12,25 214:3 amount 19:12 39:18 135:3 138:2 analgesia 169:6,10 170:13 analog 54:24 analysis 167:19 226:6 226:11 anatomy 57:12 anchor 190:8,9 190:20 236:6 236:12 and/or 179:19 190:24 220:13 anesthesia 98:22,24 99:7 100:8 238:23 245:8 245:20
---	--	---	---

anesthesio... 42:14 99:5 100:6 101:7	169:6,10 170:13	Apazidis-16 4:4 109:12 109:14	Apazidis-8 3:18 72:13 72:15
anesthesio... 100:13	anxious 207:23	Apazidis-17 4:5 113:5,8	Apazidis-9 3:20 92:20 94:4,20
ankle 54:2 158:4,10,22 159:7,20,25 160:3 230:23	anymore 192:15 239:13	Apazidis-18 4:6 115:16 115:18	apologies 191:8
ankles 159:12	anyway 38:17 139:22 166:25 180:11	Apazidis-19 4:7 116:4,6	apologize 152:11
answer 7:7 18:6,17 37:10 175:16 175:17,18 179:25 180:6 200:20 216:14 227:12 232:14 235:12 242:11 244:15	apart 35:19 40:7 79:23 178:5 194:5	Apazidis-23:9 28:23 29:11	apparently 171:19
answered 7:9 71:4 93:6 127:21 134:4 152:17 153:17 179:24 203:15 214:24	Apazidis 1:9 3:3,10,11 5:6,14,23 6:1 14:9,22 17:2,6 31:14 76:15 83:6 86:17,18 87:9,21 187:9 242:4 246:6	Apazidis-20 4:8 117:16	appear 40:1 64:6 94:12 94:18 109:21
answering 7:12	Apazidis-21 4:9 114:18 114:21	Apazidis-22 4:10 128:11 128:14	appearance 68:14 208:25
answers 18:19	Apazidis-22 4:11 187:13	Apazidis-23 4:12 188:2,4 188:24	appearances 5:11
antenna 110:18	Apazidis's 29:6 76:6 93:21	Apazidis-24 4:13 189:2 189:10	appeared 39:11 40:9
anti-bacte... 116:1	Apazidis-13:7 14:18 15:1 16:13	Apazidis-25 4:14 201:23 202:3,7	appears 33:7 61:8 116:22 122:9
anti-infec... 115:24 116:1	Apazidis-10 3:21 94:6 95:5	Apazidis-26 4:11 187:13	applied 21:25 114:25 200:1
anticipate 191:21	Apazidis-11 3:22 94:8 95:6	Apazidis-3 3:11 31:13 31:15	apply 200:10 234:14
anticipated 99:13	Apazidis-12 3:23 98:4,7 120:2 172:14 173:2	Apazidis-4 3:12 34:22	apportion 194:25 196:24
anticipating 93:22	Apazidis-13 3:24 103:25 104:2	Apazidis-5 3:14 46:1,4 48:24 85:9 85:10	apportioned 228:16
antiinflam... 88:10	Apazidis-14 3:25 108:10 108:13	Apazidis-6 3:15 62:25 63:2	apportionment 153:10 197:12,18 199:1 200:2 200:10 230:2
antiinflam...	Apazidis-15 4:3 109:4,6	Apazidis-7 3:17 67:25 68:2	appreciate 72:25
			approach 191:5
			appropriate 9:14 26:1 66:18 101:20 120:12 195:18,20

200:25 201:5 203:4 230:2 231:19 appropriately 7:9 100:23 174:23 appropriat... 216:10,10 approximately 6:12 11:18 12:12 32:9 49:1 69:22 101:23 Aquamantys 108:4 architecture 230:10 231:1 archway 183:2 area 33:5 50:12 51:25 54:18 63:15 64:9,15,24 65:2,3 69:4 69:23 72:23 72:24 73:6 73:14 74:2,3 74:18 91:2 92:11 104:8 112:1 129:1 129:3 182:8 184:15 188:10,12 189:16 190:2 190:3,5,6,7 190:9 191:7 228:1 236:3 236:13 areas 9:15 63:14 69:8 73:23 85:20 90:13 106:24 108:20 111:23,24 argue 186:8 argued 191:3,3 arguing 75:23	argument 82:12 argumentative 195:25 arithmetic 201:8 arm 70:4 104:18,20 Army 6:8,8 arrange 25:10 arranged 25:16 arrangement 136:13 arrive 100:18 arrived 53:22 56:5 arrow 105:14 105:25 arthritis 158:15,18 159:24 160:5 arthroscopic 36:18 84:10 article 197:18 articles 10:23 11:1 197:7 197:11 ascribe 125:14 aside 138:21 asked 13:19 14:14 25:2 34:17 36:3 40:23 71:7 75:14,16 86:17 120:11 125:1,14 136:23 148:22 150:6 155:18 171:7 178:16 194:25 196:23,24 200:5 201:19 203:13 214:11 217:24 224:21	225:10 226:3 226:4 231:24 232:8 234:4 236:25 239:1 239:22 240:13,15 241:15 242:8 242:24 244:16,24 245:2 asking 36:10 46:14 87:5 103:17 134:3 196:11 198:12,23 200:4 204:1 208:18 224:20 226:11 228:24 229:3 233:15 aspects 174:9 assess 34:2 89:10 assessment 22:12 142:18 194:9 assigned 27:18 198:19 assigning 192:16 assist 102:17 103:19 assistance 197:22 assistant 110:22 235:6 assistant's 107:10 assisting 98:12 assists 110:11 174:8 associated 8:9 22:5 90:16 119:13 157:9	202:21 219:5 ASSOCIATES 1:22 assume 135:6 145:7 218:7 assuming 45:17 113:24 239:12 asymmetry 68:17 asymptomatic 183:21 attach 121:14 attached 105:6 attempted 120:23 attended 60:7 attendings 60:14 attention 14:17 21:5 45:12 50:19 51:15 52:4 53:8 54:12 63:22 77:22 120:4 201:21 attorney 5:18 168:23 196:2 204:7,23 215:4 247:11 247:13 attorneys 197:4 attributable 125:18 195:1 attribute 125:12 attributed 126:5 196:14 196:15 229:22 August 49:22 49:23,25 50:17 65:7 67:16,18 72:5 98:11
--	--	--	---

123:23,24	25:13,18,18	151:19	130:2 153:3
145:24 177:3	25:23 26:7	152:10,22	153:21
225:23	33:11,22	153:16	230:22,23
authenticate	36:23 38:24	154:14	balance 185:3
93:20 113:10	38:25 43:3	155:13	230:15,24
authenticated	46:12 48:19	156:14	balanced
93:8,11	48:20 50:2,4	157:17	190:12
authentica...	50:8,11	159:15,16	balancing
93:16 113:18	51:12 54:1,1	165:9,14	234:12
authored 120:4	54:6,9,15,18	167:23,23	Bank 28:14,21
Authorization	58:19,20	168:15,16	bar 121:13
29:7	61:19,20	171:14 172:9	based 11:12
authorize	62:13 66:4,5	175:20,22	45:4,18
136:11	66:6 67:10	179:3 180:11	49:11,14,19
average 9:22	68:20,24	180:12 184:1	50:6,16 54:4
36:11	69:16,18,25	184:10	57:11 61:7
award 135:10	71:13,14	185:18,22,23	65:14 72:19
138:10	72:7 76:10	187:3,4,5	74:25 93:16
awards 138:10	76:12 79:1,7	190:13	110:17
aware 21:18	83:2,3 85:18	192:10 193:6	130:14 194:9
65:11 87:3	85:18 86:2	193:6,13,14	194:15 208:2
135:5 146:5	87:17,18	196:5,8	219:12
153:4 154:9	88:23 94:1,9	199:5,8	basic 11:1
154:10	95:11,13,18	201:7,10	basically
155:17	103:13,14	203:9,10	25:12 33:5
157:11,15,17	104:6 106:16	206:17	33:12 48:25
168:20 184:5	106:17,22,25	209:21 210:7	56:18,22
199:18	107:12 110:5	210:17,18	57:5,9
200:11	111:18 113:2	213:3,7	119:16
216:21 217:6	114:3,5,24	216:19 219:8	215:21
218:13 220:7	115:4 116:23	222:5,6	236:10
225:11 226:5	116:23 117:3	223:2,3	basing 241:13
236:16	117:5,7,9,11	227:4,5,8,9	basis 139:9
237:25	120:1 121:23	228:14,25	218:21,24
238:17	122:21,23	229:9,11	bat 122:3
awful 164:16	123:18 124:1	230:22,23	Bates 48:4
axillary	127:6,17,18	232:17,18	bathed 64:18
227:15	128:3,19	233:16,23,24	bathroom
	132:1,3	241:22,24	118:11
	134:10	242:1 244:8	bear 143:1
	135:25 136:1	244:10,12	186:15 188:1
B 3:5 4:1	137:22,23	background 6:5	210:8
B-I-A-S 133:18	139:25 140:1	44:20	Beard 32:21
back 8:6 13:15	142:22 146:7	backwards	33:6
13:16 16:1,3	146:8 147:21	221:23	bearing 39:12
18:21,23	148:16 149:8	bad 104:19	245:10
21:1 25:10			

beautiful 231:1	195:3 216:5 233:17 247:9	22:1 30:12 41:12 59:13 60:4 95:7 109:3 131:6 142:23 144:24 149:8 173:6 180:16 206:9 211:2 214:23 216:20 218:4 218:17	92:13 108:21 165:25 183:5
bed 114:24 118:10,16	better 44:18 74:3 88:24 118:20 132:21 141:8 170:24 172:1 172:8 234:6	black 57:4 64:10,12,12 107:24 111:24	body 21:24 56:16,20 57:1,3,10 68:21,23,24 69:16,17,19 69:21,25 73:21 74:5 110:13 111:5 111:22 112:2 112:3 121:16 131:1 154:25 155:2 182:21 225:20 236:9 245:23
began 43:6	beyond 24:17 226:4 228:22 231:17	blackboards 201:11,14	bone 63:14,16 63:17,18 70:4,5,9,10 70:16 104:11 104:16,17 105:9,13 108:7 111:5 112:8 115:9 121:13 128:5 128:7 129:22 131:3,14,21 163:20,21 165:2 167:5 167:24 177:7 178:4,7 181:11,12,14 181:16 183:12
beginning 32:11 143:25 210:22	bias 133:16,18 133:19 134:15 138:21 224:21,24 240:14	bladder 101:1	bones 70:8 110:15 130:10 164:14 166:7 230:5
behalf 5:20 138:15	biceps 77:11	blame 197:8	bony 105:6 106:24 107:12 111:23 131:18
believe 12:7 57:19 62:16 62:24 65:13 67:24 72:12 80:5 83:6 85:3 95:4 96:5 103:17 127:21,22 128:12 136:10 138:20 142:6 142:10,21 151:21 152:14 155:19 171:8 181:24 184:17 215:19 217:11 233:11	bicker 75:24 bickering 139:14 big 38:21 58:12 125:22 125:22 150:15 bigger 16:23 205:10 biggest 90:20 bilateral 50:3 55:17,20 129:23	blank 38:13 bleed 105:11 bleeding 90:23 105:10 108:8 115:7 165:1 165:8,13,15 166:25 167:5 167:23,24 blindness 99:8 101:9 block 63:17,18 69:15 blood 100:4 107:7 108:24 109:3 board 3:8 6:17 6:18,19,20 6:25 7:6,10 14:24 16:17 29:23,25 39:22 57:18 57:20 121:16 201:8 Boards 28:19 bodies 56:23 68:13 69:7 69:14 70:3	bottom 27:1
believes 184:25	bilaterally 100:15 128:4		
believing 142:20	bill 135:6 224:22,25 225:5,7 241:6,9		
belly 95:18	bills 240:20		
bend 85:22	biomechanical 42:3 67:6 226:8		
bending 116:14	biomechanics 226:6,12 227:2		
bene 1:8 5:5	birth 96:7		
beneath 117:10	bit 19:10 20:9		
benefit 6:3 44:11 129:11 171:3,25			
bent 95:15 104:24 121:8 130:3 183:18			
best 125:16			

30:14 49:5	12:22,25	136:17,21	bulge 208:2
69:2,25	13:4,10,14	137:10,16,22	bullet 169:24
107:15,17	14:11,12	137:25 139:8	bum 163:17
115:2,13,22	15:2,12,16	139:13,19,23	bunch 79:20
122:10	15:20 16:1,5	139:25 140:3	burning 105:7
128:21 129:5	18:5,14,18	146:1,7,10	105:8
156:6,18	18:21,25	159:11,15,18	burr 104:14
158:3,6	29:4,12	168:12,18	107:9,11,25
170:7 208:10	31:11,17	172:24	107:25
bounce 225:19	38:5,10,15	175:15,20,24	burring 131:23
boundaries	38:23 39:2	180:3,7,11	burs 104:15
221:14	42:24 47:15	180:14	bursitis 77:12
bounds 78:18	47:20,24	186:18,23	burst 33:13
80:14 82:4,4	48:7,12,16	187:5,7,14	business 24:24
226:17	58:5,8,12,16	188:5 189:3	32:24 135:12
box 95:9	58:19 61:12	193:8,13,16	135:15,16
150:15,17	61:15 65:19	195:20,22	136:14
braced 124:19	65:21,23	196:4,10	233:12
brain 63:9	66:1,5,13,16	198:15,19	butt 227:17,25
121:18	66:23 67:3	199:3,10	bylaw 212:8
238:14 244:7	70:23 71:1,4	202:5,22	
break 70:4	71:7 75:4,7	203:8,12	C
90:7 104:16	75:15,19	210:16,20	C 2:1 31:23
104:18,20,23	76:1,10	212:21 213:3	C.C.R 247:19
166:8 190:24	77:25 78:5,7	213:9 215:6	247:20
breakdown	78:10,15,22	222:13,16,20	C3 63:19
190:21,21	79:9,19 80:4	223:1 225:24	C4 63:19
breaking 91:1	80:10,23	226:2,25	C4-5 65:1
breaks 177:25	81:1,7,11,20	227:7 228:18	C5 63:19
178:4	82:8,20,25	228:21 229:6	C5-6 65:2
brief 14:16	83:2 85:9	231:11,15	96:21 206:13
62:12 113:1	86:9,13 87:6	232:7,17	C6 63:20,25
199:7 235:1	87:15 93:1,5	233:20,22,25	C6-7 63:21,23
245:2	93:23 102:21	236:22 241:7	64:2,4,13
briefly 105:1	102:24	241:15,19,24	65:3 67:21
209:22	103:12	242:3 243:14	96:21 125:12
240:23	113:13,17,21	243:25 244:5	C7 63:25
bright 57:3	114:3 122:14	244:10,14	cab 225:21
64:20 73:2	122:17,21	brought 79:11	cable 107:24
brighter	125:25 126:3	117:7,9,11	CAHN 2:2
111:23	126:12,19,22	bubble 33:13	calculations
bring 74:3	127:1,5,15	build-up	200:14
117:5 121:23	127:17 133:2	116:20	caliber 100:15
158:5	133:12 134:3	building 2:7	call 10:7
Brooks 2:7 3:3	134:6,10,13	183:1 227:24	27:20 66:9
5:20,20	135:23 136:3	builds 183:17	70:11 82:7

156:13	191:10	cause 122:2	247:10
158:15	careful 227:1	142:12	cervical 3:15
188:24	carefully	238:22	10:3 51:20
191:15,17,18	105:13	239:14	56:8 61:10
203:7 227:23	caring 237:1	caused 44:2,15	62:17 63:1,8
230:8 246:3	carry 70:13	66:21 114:11	63:12 65:7
called 10:16	98:24	123:9 132:10	68:13 88:13
11:5 19:19	cartilage 57:7	225:18,19	97:4 128:22
24:22,25	case 5:6 13:8	231:23	148:3 156:17
34:8 51:24	39:8 74:24	causes 182:21	156:23 160:9
63:12,15	116:25	causing 228:8	162:2 187:21
64:16 108:4	117:12 118:2	CDs 59:14	206:12,23,24
112:5 115:2	126:15 129:5	cell 56:19	206:25 207:5
116:2 117:8	135:22,24,24	173:12,15,22	207:9,24
121:15 131:8	136:6,10	174:10	219:11
147:8 184:19	137:4,7	cells 234:12	230:11
184:20	142:3 154:6	center 10:8	challenge
245:13	165:12 167:4	191:15	194:4
calls 225:12	167:12	cerebral 64:18	challenging
Camper's 117:8	168:13	certain 44:3	125:7
canal 51:23	171:10	78:12 100:22	change 79:3,23
64:16,23	198:16,17,18	164:8 165:20	81:4 82:16
73:7 112:15	206:4,12	167:7	104:13 126:8
cancer 44:22	208:13 209:5	certainly 26:5	126:12,23
cannula 112:5	225:15 233:7	38:8 41:3,10	193:12
cannulate	238:15	45:4 124:10	245:16
111:4	240:19	124:22	changed 26:14
cannulating	cases 6:24 7:2	125:22	26:17 193:10
112:7 131:20	7:4,14 12:4	132:17	changes 15:17
cannulator	37:4 129:18	140:25	158:12,14
111:12	Castellvi	167:16	160:8 167:7
captioned 17:2	10:20	170:25	characteri...
capture 74:1,4	CAT 101:10,11	218:21	187:17
captured 73:21	110:13	235:18	195:17
car 181:7	111:21	certainty	209:24
care 25:19,23	234:15	46:17	characterize
25:25 26:23	catch 203:16	CERTIFICATE	171:20 173:4
88:17 198:8	catheter	247:1	characterized
198:10	100:25	certification	144:21
223:21	caught 36:5	6:17,20 7:10	170:21
224:15,17	causality 44:2	7:11	charge 39:18
237:3,6	causation	certified 1:10	39:20
240:16 242:8	66:21 122:11	1:22 6:19	charged 35:21
242:9	123:2 140:7	57:18,20	chart 8:19
cared 86:8	226:12 227:2	247:3	47:9,11,22
career 11:19	228:17	certify 247:5	48:3,6,8,11

54:5 84:5 143:10 192:9 203:18 204:9 204:11,16 205:4 charts 8:13,16 22:14 check 221:8 checked 100:13 chest 95:12,16 100:1 Chicago 7:1,5 chief 60:7 chiropractic 88:15,18 169:5 170:12 chisel 104:16 chiselling 131:22 choice 233:13 choosing 210:1 Chris 37:24,25 Christopher 2:12 5:4 chronic 161:20 162:1 chunks 8:15 circle 149:8 209:21 circuit 121:16 circumstance 26:16 185:15 civil 40:10,21 claimed 146:23 150:22 188:10 claiming 159:9 160:4 216:22 239:2 240:4 claims 135:3 138:2 157:10 159:7 237:23 239:23 clarification 38:11 81:24 195:24	clarity 59:14 cleansers 101:14 clear 124:18 135:7 140:4 148:12 152:8 152:16 169:19 179:10 183:6 186:1 188:14 189:4 202:18 205:2 clearly 16:22 16:25 35:1 94:21 115:21 173:20 clears 100:5 Cleveland 180:18,19,24 182:2,7,12 182:17 click 105:22 client 12:17 climb 95:1 climbed 95:3 clinic 180:18 180:19,24 182:2,7,12 182:17 217:17,20 clinical 74:19 89:8 123:15 clips 236:11 close 51:23 110:9 112:14 112:14 close-up 108:17 closed 33:12 34:14 closely 60:6 60:13 119:16 closer 130:20 130:21 closure 132:1 clumsy 112:11	co-authoring 209:9 co-signing 209:18 coauthored 208:12 coccyx 187:22 collapse 69:1 69:23,24 70:3,11 96:21 188:21 231:5 collapsed 69:3 90:3 177:5,6 177:17 collapsing 178:5 colleague 236:16 colleagues 45:19 collect 6:23 college 33:2 collision 194:23 colloquial 156:13 color 187:20 colors 187:20 column 230:4 combination 10:3 96:25 come 45:11 57:2,4 64:20 64:21 100:12 142:22 147:19,21 185:5 186:15 194:1 196:12 197:6,8 199:15 212:24 223:13 230:1 234:18 244:18 comes 45:16	59:16 64:11 115:8 137:5 166:20 185:13 239:3 comfortable 25:17,22 116:18 119:20 coming 34:24 46:10 73:13 112:2 166:12 166:15 200:19 201:2 224:5 commencing 1:13 commentary 78:2 103:5 137:2,17 196:1 198:25 commercial 22:19 commissioned 6:7 committed 27:3 committing 27:8 common 163:11 180:25 182:3 182:5,8,13 241:17 commonly 158:15 communicate 216:8 communication 140:23 150:5 company 1:5 2:10 32:5 33:7,11,15 34:8 151:22 151:23,24 152:3,15 174:1,1,14 compare 77:13 81:5,7,15
--	---	---	---

83:12 162:21 163:5 compared 65:7 70:1 77:16 comparing 65:6 comparison 78:8 80:15 81:13 82:22 245:3 comparisons 66:17 67:4 compensate 12:5 233:4 compensation 29:23,25 30:16,21,24 39:15,19,21 40:7 compensatory 231:9 232:21 complain 238:12 complained 54:2,9 238:1 complaining 50:1 54:16 55:4,19,20 157:9 complaint 55:6 123:16 complaints 49:24 50:4 53:21 56:10 72:6 74:18 89:4 123:10 151:25 155:1 155:4 194:7 194:10,16 205:22 238:4 complete 6:21 171:6 245:8 completed 34:12 completely 26:14,17 66:1 101:4	118:8,19 124:1 138:23 164:18 completing 6:8 complications 119:7,11 217:1 comply 27:13 composition 56:20 57:10 compounded 23:5 compress 70:8 compression 68:18 70:7 70:12 123:16 148:19 160:25 161:4 161:21 162:10,14 163:4,10,16 163:18 166:11,19 167:18 176:5 176:14 178:4 178:11 179:10 180:17,25 181:6 182:3 182:8,13,14 183:7,9,13 183:20 184:4 184:13,17,23 184:24 185:3 188:11 189:17 225:22 227:18,19 228:1,7 232:1 235:14 compressive 227:15 computer 59:16 101:12,19 computer's 188:1	computer-g... 100:21 con 17:11 concentration 238:2,10,25 concentrat... 56:21 concern 179:6 concerned 119:23 207:25 concerning 149:22 155:9 203:25 218:1 220:12 237:4 242:22 concluded 138:18 246:9 concludes 246:5 conclusion 71:10 207:8 conclusions 140:7 condition 10:16 85:16 91:16,23 92:2,8 113:11 114:8 121:3 124:2 132:8,14,25 133:8 204:5 conditions 44:21,22 76:21 conduct 3:8 14:25 16:18 28:8 245:9 conducted 177:16 238:17 conducting 30:3,6 177:11 cone 230:9 conference	60:8 confusing 36:9 cons 216:9 consent 17:3,7 17:13 28:5 99:6 consented 120:12 conservative 88:21 169:5 169:21 170:11,19 171:16 consider 201:13 224:4 237:13,16 consideration 58:10,24 considered 30:17 75:17 76:19 228:16 228:25 consisted 60:4 consistent 225:21 227:19 consists 48:3 48:25 consolidate 177:9 184:14 consolidated 178:7,13 179:19 constantly 174:15 constipation 119:6 construct 190:22 191:4 236:1 consult 216:12 consultant 32:25 consulted 197:7 199:21 215:20 216:1
--	---	---	---

217:19	111:11	84:15,19	172:14
consulting	170:15	87:23 88:1	178:16
33:5,15	216:23,25	90:7 97:16	195:14 215:7
215:11,24	217:13 219:5	111:9 114:15	224:20
contact 25:2	controlled	120:5 122:18	225:10
contacting	20:24 22:3,6	122:20	228:13
205:14	22:25 28:1	138:25 144:2	231:24 234:1
contain 221:25	171:5	144:13 145:3	234:4 240:13
contained 17:7	controversial	145:9 146:1	245:2 247:11
223:21	184:16	147:10	247:14
contemplating	controversy	148:10 163:2	counsel's
215:16	185:10	167:6 178:2	235:12
content 64:19	convenient	181:9 182:11	country 216:4
64:21	149:6	182:19	243:1 244:17
contest 17:12	conversation	189:22 190:6	COUNTY 1:1
19:4	89:22	199:17	couple 7:15
context 30:16	conversion	205:25	20:13 145:1
continually	8:14	212:14	164:25
16:7	converting	216:17 222:2	203:21 226:2
continue 82:20	8:13	222:7 223:19	236:23
103:3 113:23	convey 171:2	240:12	course 11:19
115:6 199:5	coordination	correctible	27:25 59:18
continued 43:2	94:17,22	120:18	60:18 72:8
89:4 90:11	118:19	178:21	91:19 114:2
183:11	copy 31:3,23	correction	118:3 132:1
continues	143:9 214:25	98:19 120:18	168:25 179:9
108:25	215:12	120:21,23	180:20
continuing	236:17	121:14,19,21	230:19
27:24	cord 10:9	122:4,5	court 1:1,10
continuously	64:17,23	131:23	1:22 11:15
8:1 16:10	73:7,8	178:23 236:6	223:22 227:5
contour 184:21	112:14	236:14	241:19,22
contribute	120:20	correctly 16:9	244:6,8
124:12 185:8	121:10,11,20	173:4	247:3
contributed	178:22	corroborated	cracks 177:24
23:1 47:3	Corona 41:4,5	44:4	crash 157:11
132:17	correct 16:18	cortical 70:10	181:7 199:23
contribution	17:3,14 24:1	165:18	208:14
124:23	24:12,20	corticoste...	create 8:21
contributions	26:24 27:20	51:25	79:13
124:4	28:12,12	counsel 3:16	created 168:3
control 22:17	33:8 35:22	3:17,19 5:10	168:19
22:18 25:16	43:6 48:14	14:14 34:17	creates 8:19
105:12,19,20	48:15,15	35:4 36:3	110:14
105:22 106:6	56:2 61:10	38:3 106:4	231:24 236:3
109:16	72:2 83:21	145:22	credentials

14:13 210:3	209:22 210:7	192:11 202:2	65:16 67:14
credible 139:4	210:21, 23	209:4, 10	68:5, 8 70:19
239:3	211:17	dates 67:14	71:18, 20, 22
credit 195:22	214:14, 15, 17	143:21	71:25 72:1
195:22 201:9	214:19, 21, 25	147:15	72:18 74:12
cross 13:5	215:12	162:10, 14	74:14, 17
38:11 81:24	236:17	dating 83:20	77:9 85:25
127:14	cycle 7:14, 16	day 11:23, 24	86:6 87:21
133:12	cycles 26:11	26:11, 11, 15	87:23 88:4
142:23 146:4	26:15	26:15 53:23	92:23 95:23
171:7 195:24	cycling 236:10	60:21 206:19	114:14 123:6
213:5 217:23		219:19 220:5	123:7, 11, 12
224:21 226:4		232:25	123:13, 14, 20
231:17, 21, 24		days 26:7 68:7	124:7, 8
CTs 164:10	D 3:1	93:13 116:8	125:13, 19
curable 82:11	daily 54:20	138:6 143:22	127:23 132:7
cure 82:11	55:13 206:6	147:7 150:20	132:13, 24
cured 93:25	damage 90:24	152:9, 21	133:9 148:20
curious 220:18	91:6, 10	154:8, 15, 23	149:3 154:23
current 79:1	166:22	158:18, 25	155:5 162:16
120:16	damages 216:22	159:20 160:5	177:2 194:12
124:23	dark 64:16, 22	164:25 165:7	220:1 222:8
228:17	64:22 73:11	166:3 167:20	223:8 224:2
currently 5:24	darker 63:15	192:18, 25	224:6 225:14
7:19 44:24	73:3	193:22	225:15, 17
curriculum	Data 28:14, 21	196:16	228:14
3:11 31:14	date 5:2 30:21	201:10	229:20 232:4
31:20	32:1, 2, 14	208:14	238:7
curvature	47:6 49:18	228:10, 11	decide 76:8
130:5, 7, 18	49:18 52:5	229:20	180:7
156:17 157:6	52:22 53:3	de 1:8 5:5	decision 210:4
179:2 185:17	61:23 68:4	deal 10:8	235:23
189:23, 24	71:20 72:17	58:12	decision-m...
230:13	84:24 93:8	dealing 10:10	141:9, 10
curve 185:23	96:7 97:18	147:14 149:3	decisions
189:25	98:10 129:7	death 90:22	136:16
curving 157:16	129:8 145:1	99:8	140:25
customized	145:4, 9, 14	debilitating	decompensate
23:14, 18	156:25	206:6	233:6
cut 104:7, 9	162:16 170:4	December 12:14	decompression
211:1 245:21	211:7 214:18	32:18 45:13	90:11
cutting 107:6	247:8	52:23 53:5	decreasing
cuz 141:1	dated 4:14	53:11, 18, 24	52:1
CV 31:2, 3, 12	14:22 35:5	54:5 55:19	deem 7:8
31:20, 23	77:9 83:13	61:2, 5, 9, 25	deemed 100:7
32:12 209:21	83:24 98:6	62:1, 18 63:7	118:13
	128:13 143:2		

defend 7:6	186:6	5:5 29:21	24:25
defendant 2:10	delayed 232:10	93:7 153:1	despite 229:18
5:7,21 40:10	demarcation	154:12 155:7	233:10
41:2,22	188:9 189:11	218:6 219:1	destroyed
225:3	demonstrate	223:22 237:1	153:4,21
defendants 1:6	22:14 69:14	237:9,19	detail 74:3
40:22	228:10	239:19,22	169:4
defense 40:24	demonstrated	240:10	detailed 37:4
41:14,23	119:21	242:21 246:6	details 102:18
91:15 95:21	120:11,22	depositions	103:20
96:15 196:1	demonstrates	39:11,14,19	150:18 151:8
231:24	105:4	39:22 149:22	194:19,24
235:12	demonstrating	149:24	deteriorate
definitely	69:8	153:18 154:2	120:25
61:16 184:16	demonstration	154:18 155:9	deteriorated
185:10	103:18	217:25	120:15
214:20	denied 243:2	219:17 220:9	determination
226:25	244:20	237:4 242:22	47:25 194:13
definition	denotation	243:9,17,19	195:5
59:12,17	188:9	243:20,21	determine
133:22 134:2	denote 187:20	244:1	18:15 44:16
134:8,15,18	denotes 161:21	derived 11:9	67:9 139:3
deformity	dep 154:4	dermis 117:11	164:12
74:18 89:9	department 3:7	descending	192:21
90:4 92:12	21:15 24:13	235:17	193:19
95:19 98:19	28:10 60:6	describe 55:18	194:15
116:11	60:12,14	60:3 85:15	determined
120:17	214:1 220:23	89:5 100:19	195:4
132:10	221:15,16	111:1,19	determining
155:12,16	depend 228:2	190:1,4	199:22
177:7 183:4	dependency	described	develop 140:12
190:7	119:12,14	69:22 85:19	155:12
degeneration	depending	86:1 104:7	234:12
95:22 96:15	26:16 57:2,6	160:20 161:4	developed 34:7
96:18,19	107:2,8	162:3 173:13	51:10 158:17
97:3	130:25	238:5	160:4 194:18
degenerative	164:11	describes	developing
10:6	166:20	54:15	44:8 172:11
degree 42:16	depends 165:16	describing	developmental
46:17 69:1	165:17	47:22	91:23 92:8
88:22 92:15	166:10,13	description	device 105:8
130:6 165:17	234:13	3:6 4:2	107:10 108:2
188:22	depict 53:9	22:13	108:6,23
206:25	depiction	descriptions	diagnoses
degrees 130:19	234:23	161:25	234:17
130:20,22	deposition 1:8	desperately	diagnosis

43:22 47:2 79:17 87:2 184:9 223:18 232:9 diagnostic 56:6 158:1,7 diagram 4:11 4:12,13 186:25 187:12,16 188:3,8,12 189:1 diagrams 8:18 dicey 243:24 dictionary 134:16 difference 232:12 different 22:24 23:17 56:20,21 57:10 70:5 71:5 107:22 108:5 117:9 130:25 153:19 155:10 162:4 177:19 178:14 215:1 218:6 219:17 219:17 221:11 237:4 237:5 242:22 242:23 243:8 243:9,20,22 243:23 differing 55:24 difficult 95:1 125:21 132:15 233:15 difficulty 85:23 diffuse 10:16 digesting	142:19 digging 221:1 221:3,10 digitally 247:7 dire 14:11 42:20 226:7 direct 5:22 13:1 43:2 59:11 63:22 71:24 85:2 112:24 120:3 138:10 140:5 161:3 177:21 192:20 193:17 228:22 232:11 directed 35:4 directing 50:19 51:15 52:4 53:8 54:12 direction 5:12 130:7 166:14 directional 185:17 directly 208:7 224:3 disability 91:5 156:2 185:9 199:21 199:25 200:1 200:5,9 disagree 75:1 76:20 78:20 126:14 171:20 181:3 182:4,10,17 243:14 disbelieve 155:20,22 156:1 disc 25:7 51:24 57:8 63:16,17,19	63:20 64:1,2 64:8,14 73:1 73:4 96:21 96:23 97:1,2 97:5,10 125:12 208:1 208:1 discectomy 88:12 89:1 90:13 206:12 219:12 discharge 118:13 disciplinary 27:19 221:9 discipline 28:2 30:2 220:20 disclosed 220:10 discovery 32:14 discs 57:8 72:24 96:19 96:19 97:9 230:6 discuss 81:16 121:6 176:20 207:17 208:24 216:9 220:16 discussed 14:4 49:1 72:4 157:19 176:6 209:22 217:8 discusses 79:22 81:3 discussing 43:4 82:17 83:7 147:17 149:3 152:12 153:5 169:21 discussion 78:16 79:24 80:6 81:14 82:13 99:4,5	176:16 discussions 99:14 118:15 disease 163:20 Diseases 6:14 9:18 190:19 dislocation 228:5,7 dislocations 10:9 disorders 10:20 disrupt 190:14 disrupted 109:2 disruption 166:17 dissection 101:16 dissimilar 21:17 dissolved 21:24 distribution 197:8 DIVISION:U... 1:1 dixit 82:16 Doc 159:19 DOCKET 1:2 doctor 5:9 7:18 9:7,19 11:15,21 12:5,11 13:19 14:9 14:12,20 16:6 17:21 19:1 24:6 29:13 38:14 39:3 43:3 45:10,16,24 46:13 47:10 48:23 49:12 51:1 53:13 56:4 61:7,23 62:9,16 63:5
---	---	---	--

66:9,19	198:12	39:20,24	115:14,21,23
67:13,22	199:11	196:19	116:1
68:4,10 69:9	202:17	door 183:2	drill 131:3
70:18 71:17	203:13	226:20 229:2	drive 25:13
71:23,24	217:10,14	232:4	driven 25:12
72:10,11,17	218:19	doors 231:23	driver 85:24
72:19 73:15	224:17	dot-com 33:13	150:15
74:6,10,22	227:12	double 69:20	driving 85:24
76:25 77:13	228:12 230:3	Double-Blind	150:14,15
77:21 84:12	232:14	34:2	drug 21:18
84:13 85:1,4	233:19 234:4	doubt 219:10	101:5
86:4 90:14	234:20	Dr 3:10 5:6,23	Dryer 58:13
91:14,15	235:11	14:9 29:6	91:15
92:18,19	236:15,16,20	49:13 51:6,7	due 8:6 49:21
94:12 95:20	239:18	51:19 52:7	74:16 91:2
96:4,13	244:15	53:5,15,16	113:21
97:12,13,21	doctor's 37:25	56:5,7 57:16	125:19
99:18 102:2	38:22 217:13	58:13 65:12	148:20 183:9
103:17,23,24	doctor/pat...	74:23 75:1	192:23,24
104:4 105:16	140:13 150:4	75:17 76:4,4	193:20,21
105:16,21	doctors 25:25	76:6,15,16	195:23
106:6 108:9	88:6 95:22	76:21 83:6	dues 213:15
109:10,16	96:15 134:24	86:16,18	214:5,9
110:2 112:17	225:9	87:9,21	duly 5:14
113:7 114:8	document 16:14	91:15 92:25	duration 44:18
114:20,21	16:21 17:6,8	93:6,21	Durham 2:3
115:18	19:2 20:4,10	98:14 110:23	duty 33:3
116:21	21:6 27:2	144:16	dynamic 245:15
117:14,21,22	28:22 29:15	208:11,16	dysfunction
119:25 121:1	34:20 49:2	209:8,12,18	91:5 99:9
121:2 122:7	49:10,15,20	218:10	156:2
122:8 123:1	51:17 106:5	219:22	
125:1 127:10	documents 8:14	221:25 242:4	E
127:21 128:9	226:14	246:6	E 2:1,1,11,11
128:16	doing 11:12,22	drain 101:1	3:1,5 4:1
129:10,14	30:23 34:11	115:6,10,22	E-MAIL 2:4,9
132:4,5,11	35:25 93:11	116:15	earlier 8:6
133:7,13	104:22	drainage	12:11 83:7
134:14 136:4	118:12	116:17	115:20 149:7
137:10	121:14,19	drains 115:3	155:18
141:15,17,21	126:16 142:6	115:10,13	162:18 170:4
141:23	161:6 174:22	drape 101:15	171:7 209:22
146:11 154:2	213:19	draw 21:5	217:23
175:25 184:8	223:14 229:9	drawn 110:5	early 34:16
187:8 193:17	232:6	dressing	94:14 115:23
195:6 196:11	dollars 35:19	114:25	214:18

easier 205:12	99:15 215:22	English 134:17	160:17
East 216:4	electrical	enhance 60:15	estimate
eat 100:9,11	121:18	enlarge 176:10	125:16
edema 160:2	electronic	enter 5:10	estimated
edges 73:24	8:14 20:16	7:10 47:15	181:1
Edison 2:3	20:17 43:15	78:1 93:1	et 1:5
edit 139:13	element 211:17	entering 64:15	evaluate 144:4
education 14:3	elements	222:16	144:5 151:10
27:25 211:8	104:17,23	entire 7:23	evaluated
educational	131:22	8:2 16:11	24:19 25:5
6:4	209:15	18:3 26:13	26:20 123:5
effect 166:9	Elkholy 4:14	38:2 48:6	144:11
223:25	144:16 202:2	126:7 162:12	evaluating
234:11	208:11 209:8	213:22 214:1	123:4
effective 29:9	209:18	entirely 213:4	evaluation
52:20	218:10	entirety 127:2	49:16,22,25
effectively	219:22	entitled 146:3	100:6 118:15
140:20	221:25	198:20	164:18,24
effects 21:17	Elkholy's	210:24	185:14 208:3
119:3 171:1	208:16	226:23 232:5	evaluations
238:9	209:13	epidema 167:24	30:24 205:17
efficacy 34:3	elucidate	epidemic 41:4	event 70:15
34:6 245:3	238:20	41:5	122:2,6
effusion 77:12	emergency 25:4	epidural 51:20	124:5
165:16	25:4	51:21 88:13	events 148:17
eh 233:4	emit 56:23	167:13,14,16	234:23
eight 23:17	employed 11:4	167:25	eventually
102:1 131:16	employee	171:18	118:12 177:8
191:16	247:11,13	episode 184:2	183:17
either 44:4	employer 8:23	equipment	everybody
79:22 81:3	employment	151:5	215:21
81:14 91:1	8:25 153:14	equivalent	evidence 70:18
112:10 148:5	encountered	104:15	71:8,17,19
150:23	183:23	ER 25:6,14	95:19 96:18
156:18,22	184:11	erect 230:5	97:3 123:15
165:9 174:18	197:11,17,22	especially	123:19 157:3
181:11,13	encounters	115:9 120:19	162:17
190:24	84:25	233:1	200:18 240:8
197:14 201:1	ended 33:21	ESQ 2:2,7	evidenced
201:1 221:17	ends 73:8	esse 1:8 5:5	123:10
228:24	208:6	essentially	evident 194:11
EKG 100:1	energy 56:23	30:23 48:4	exacerbate
El-Shafy 98:14	56:24 181:16	50:8,15 68:7	124:11
110:23	228:4,4,5	90:7 120:2	exacerbated
elderly 182:6	engineer 42:3	established	153:8
elected 8:24	42:5 226:9	151:12	exacerbation

132:17,20	Excel 48:8	explained	facet 107:11
exact 41:19	Excellent 52:3	68:12 120:13	facilities
84:1,24	excess 115:11	213:24	245:13,15
125:5,15	exchange 47:22	explaining	fact 17:21
151:20	excuse 17:12	102:17	20:21 113:11
152:11 193:9	32:20 50:21	explanation	130:14 135:5
194:13 201:3	80:12 112:6	103:20 232:5	147:9 156:6
exactly 76:6	152:19	expose 131:18	219:23
179:23	188:25	exposed 116:7	229:19 232:3
182:19	222:15	expound 240:23	factor 228:15
193:25 194:7	Executive	extend 129:6	factors 44:25
194:15 216:5	14:23 16:17	extended	facts 239:24
229:15	exemplar	216:25	failed 22:4
exaggerated	186:25	218:17	23:9 170:11
156:12,17	exerts 227:15	245:19	170:23
157:16	227:17	extends 7:16	171:21
182:21 186:3	exhibit 202:16	extensive	failing 27:13
231:4	exhibits	218:2 229:7	91:2
exam 7:3 37:12	112:24	237:10	failure 8:8,22
89:8 224:8	226:16	extensively	20:19 24:15
234:16	existed 70:19	105:11	90:25 170:22
examination	71:18	237:10	fair 61:11
3:2 5:12,22	existing 71:20	extent 93:10	94:1 136:9
14:11 43:2	expect 26:6	116:19	137:21 199:2
96:6 133:12	36:12 135:8	136:22 206:5	203:1 211:10
142:23 156:3	138:9	extra 59:6	216:11 219:7
156:4 157:21	expected	extraordinary	fairly 130:15
161:3 171:7	208:17	26:3	fall 8:23
192:20	expects 136:6	extreme 73:20	181:7 190:25
193:17 213:5	experience	231:25	227:16,25
217:24	9:19 14:3	extremities	familiar 84:8
221:21	32:11,21	25:2 55:9,11	180:19
224:21 226:4	210:3 223:12	56:9 94:23	familiarize
231:17	experiencing	107:18,19	43:18,18
236:22	25:1 207:22	205:24	family 24:22
examinations	expert 13:9	extremity	25:12 44:21
11:3,10 29:9	14:10 40:24	55:24	244:18
30:4	41:2,14,22	extubate 99:12	far 51:11
examine 25:24	41:24 42:11	eyes 101:8	52:21 148:16
151:10	42:22 67:6	155:23	189:5
examined 26:3	79:12 209:12	177:20 234:6	fascia 117:6,7
96:9	212:24 226:8	245:9	117:8 164:15
example 9:20	226:9		166:17
234:6	expertise 14:3	F	fast 166:23
exams 11:5,13	explain 69:10	face 101:3	fast-forwa...
30:7	80:22	241:10	50:21

fastest 210:8	141:8 229:10	first 5:14	25:13 26:7
fat 64:19	file 110:3	6:21,23 8:11	flow 107:7
117:8	204:3	13:8 15:5	fluid 64:18
favor 133:20	files 7:2	17:5 20:12	115:11
features	203:25	33:25 35:15	116:20
167:22	filing 168:14	38:7 46:6	fly 25:11
February 32:9	films 58:3	47:6 49:12	126:13
67:19 74:11	60:24 208:3	51:5 61:1,23	focus 189:16
83:13,14,24	final 207:20	84:15,18,21	238:2,10,25
86:6 88:4	finally 117:18	85:13 86:16	focused 188:20
192:1	131:24 133:7	87:25 90:14	190:2
federal 212:5	financial	92:4 97:15	focuses 74:1
Federation	138:10 140:6	100:10	Foley 100:24
28:18	240:14	114:11 120:4	followed 5:9
fee 34:17	financially	123:9 124:3	5:11 118:3
35:18 38:1	138:22	128:23 144:9	following 53:1
39:22	240:17	145:6,13	53:3,10,18
feel 45:5	247:14	146:13,15,23	53:23 61:2
112:11	financials	153:7 156:6	61:24 87:21
136:11	242:15	170:10	123:21 124:6
142:12	find 64:3	184:19,24	145:4
170:24 172:1	65:15 139:4	194:16 205:9	follows 5:15
232:24	159:23,24	211:18	7:14
feeling 125:16	172:18	213:13	footage 234:21
150:2 171:9	183:25 221:4	218:15	234:22
237:11,12	221:11	221:24	force 70:8
feelings 55:10	finder 111:11	five 6:12 9:9	166:14,14,16
feels 116:16	131:9	9:15 26:15	166:20
fees 35:20	finding 160:6	32:25 41:10	181:11,15
feet 194:2	findings 77:6	41:20 60:13	227:15,18
196:19	79:5 81:15	68:7 72:23	forces 181:13
fellowship	81:16 84:2,7	112:22	190:10,23
6:13 8:11	234:16	189:19 201:2	230:18
fellowships	finds 225:3	fix 76:1 87:16	foregoing
59:4	fine 13:10,10	fixed 75:23	247:5
felt 103:18	19:25 58:15	flank 85:20	form 139:17,24
142:6 172:8	66:4 67:8	flanks 54:20	182:13 183:2
239:16,16,25	82:19 87:7	flat 64:8 65:1	222:24
240:1	154:8 186:18	flexed 74:21	243:17
female 173:14	187:2 193:11	245:18	formation
173:21	fined 19:12	flip 158:22	121:11
field 42:22	finer 117:12	162:12	formed 75:10
45:7 70:14	finish 34:15	168:24	forming 141:15
fields 211:9	112:24	Florham 1:23	forms 8:17
fifth 77:23	finished 80:9	Florida 7:23	formulating
figure 125:5	firm 12:5	24:18,24	47:1 76:19

87:2,3	243:21 244:1	178:11	90:11,12
formulations	fracture 9:13	179:11	114:12
21:21	10:9,9 69:8	180:17,25	120:25
forth 9:14	69:11 70:2,6	182:3,8,13	133:11
44:8 79:1	70:12,13,15	182:15 183:7	144:24 149:8
101:14 128:5	104:20	184:14,17,23	153:7 172:11
185:12	124:18	185:4 188:11	191:22
187:20	125:12	188:15	219:24
190:14	127:25 128:1	189:17	236:21
194:23	132:10 163:7	194:11	247:10
229:25 247:8	163:16,18	225:22 228:1	fuse 235:13,23
fortunate	165:17	228:11	fused 189:5,12
125:11	166:21 167:4	229:19	fuses 128:7
fortunately	167:17,18	235:14	fusion 98:18
125:23	177:5 178:4	Franklin 22:19	115:7 128:6
forward 16:21	178:5,8	22:20	170:4 173:3
17:13,16	181:6,10	free 217:17,20	235:24
74:21 95:15	182:15 183:9	frequency	fusions 191:9
95:16 116:12	183:13,20,21	44:17	future 168:14
116:14	184:1,4,10	frequently	
215:22	184:24 190:3	60:20	G
found 24:17	191:7 228:5	front 7:5 31:3	gabapentin
65:14 68:10	232:2	68:19,23	88:11
85:16 138:17	fractured	69:17,20	Gallons 194:2
158:11	231:5	75:24 79:6	game 203:1
224:19	fractures	95:13 126:22	gauze 115:1
foundation	70:19 71:8	128:18	gears 192:10
75:9,10	71:18,19	134:16	gel 21:10,19
foundational	74:15 90:3	136:25	21:23,25
75:22	120:14,24	161:12 174:2	general 25:3
four 39:14	121:10	185:19,23	26:21 36:13
72:22 92:13	123:17	186:8 190:13	98:22,24
149:21	124:14,14	237:20	99:7,20
153:18	132:18,19	full 94:22	142:11 245:8
154:12 155:9	148:20	104:11 212:3	245:20
158:18,25	158:11	212:9	generalized
159:20 160:5	159:23	full-time	134:15
165:7 166:3	160:25 161:4	212:4	187:15
167:20	161:22 162:5	fully 168:3	generally
189:19	162:11,14	213:16,25	133:21 142:9
217:25 218:5	163:4,10	function 185:9	166:7
218:16	165:12	194:17	generate 36:11
219:16	167:12,15	functional	generated
222:21 236:2	176:6,14,20	125:23	45:18 143:7
237:3 242:21	176:21 177:8	further 22:2	143:15
243:8,20,20	177:12,25	23:8 89:16	204:12

206:20	glanced 203:18	goes 64:17	92:20, 21
224:23	go 7:5 13:15	69:19 185:23	93:1, 15, 19
generates	16:1 18:21	206:11	97:13 103:11
181:6	19:17 20:12	going 5:2 9:23	103:24
generating	23:7 27:11	10:2 14:17	105:11, 13
39:6	29:3 33:3	33:22 75:8	108:9 109:11
genetic 91:22	37:9, 19	75:20 89:2	110:25
92:7	38:24 47:16	91:8 94:1	111:17, 18
gentlemen 5:17	58:19 61:19	101:2 103:3	113:10, 13, 19
getting 6:10	62:8 66:4, 5	103:8 113:7	113:22, 23
88:24 118:9	76:10 77:5	129:4 146:6	114:20 120:1
134:1 136:13	77:17 83:2	164:21 168:4	120:3 122:3
171:11	86:10 87:17	168:20 175:2	122:4 126:10
185:19	90:15 93:19	181:13, 15, 25	128:11
194:22	103:13	187:8 188:23	136:17, 25
212:24	112:22 122:3	189:9 190:16	137:8, 14, 18
239:24	122:4, 21	195:18	137:19 139:7
242:10, 10	127:17	201:22 210:2	139:23
give 6:4 31:9	129:22	211:7 226:24	142:22
31:9 38:23	134:10, 22	228:25 229:4	145:17 146:1
40:1 55:2	135:25	233:5, 14, 17	146:2, 11
62:9 77:17	137:22	241:8	149:8 150:10
105:17, 19, 20	139:25 141:5	gold 164:6	152:2 159:8
105:22 106:6	146:2, 7	234:5, 13	159:11, 12
119:16, 18	148:16 156:7	gonna 13:6, 7, 7	165:20
123:8 125:4	159:2, 15	15:4 16:20	168:13
126:16, 19	168:15	16:20 18:8	180:15
161:24	171:14	19:1 20:3	186:14, 20
195:22	175:20	21:5 28:22	187:24 188:2
214:14, 16, 21	180:11	28:23, 25	188:24
215:11	182:25 185:6	34:19, 20, 21	192:14
226:11 228:6	186:16 187:5	38:9, 13, 20	195:12 199:4
230:22, 23	190:17 191:3	42:2 45:24	201:21, 23
244:19	191:3 193:13	46:14, 16	202:11, 22
given 7:10	195:13 196:5	47:8, 15, 21	210:8, 15
36:11 40:9	198:18 199:5	48:23, 25	218:4 221:22
61:16 82:1	201:8 203:9	58:13 63:22	238:22
120:19 204:4	203:21 205:6	67:1, 24	240:24 241:4
gives 25:14, 15	213:3 221:9	74:23 75:18	241:6, 14
99:5 109:2	223:2 229:9	76:4, 5, 6	242:6, 13
giving 37:25	231:19	78:1 79:11	243:12
74:23 76:4	232:17	80:15 81:17	good 5:1 7:22
76:16 138:24	233:22, 23	81:18, 18	7:24 15:25
193:22	241:24	82:6, 20	27:22 73:2
214:25	244:10	84:25 86:9, 9	87:16 96:20
223:16	goal 52:1	91:14 92:19	96:24, 25

133:5 134:18	171:11	173:16	97:10 181:12
140:22	178:17	happens 224:15	hear 16:9
141:14 163:8	214:16	225:9 231:6	78:15,16
163:9	guidance	240:16	150:7 237:2
Google 221:13	110:12	happy 210:21	heard 90:14
gotcha 106:8,8	131:10	237:16 240:8	91:17 95:21
governing	guided 140:5	hard 41:8	119:8 143:5
27:14	guy 67:7	166:23	170:4 175:15
government	208:12	hardware 90:9	236:24
212:5	233:11	90:25 91:1	hearing 213:13
grade 201:7	guys 134:24	173:13	hearsay 203:6
gradual 231:7	172:17,17	Harold 2:2	heart 128:20
gradually	240:24	5:18 215:2	heavy 151:5
130:11		Harry 12:25	heel 51:10,13
graduated 6:6	H	18:6 58:12	52:11
6:10,15 33:2	H 3:5 4:1	75:23 78:16	height 69:17
graft 128:5	half 18:11	79:20 80:24	69:20
Graham 2:7	91:9 114:14	85:9 86:13	held 106:25
grains 196:19	130:16	103:5 113:25	115:1
granted 38:12	151:14	122:18 126:3	help 10:14
graphics 232:1	179:14 180:4	137:2 186:24	118:7 130:11
gray 57:5,11	180:5 181:1	222:22 229:9	171:24
63:14 111:24	224:11	231:15	209:13,17
great 97:25	hand 104:14	241:15	244:18
106:15	107:9,10	Harvard 6:6	helped 89:1
172:20	110:11,24	Hawkins 14:23	169:16
greater 26:18	146:19	hazards 118:17	helpful 31:7
186:5 189:5	handle 106:22	he'll 76:8	129:13 150:1
218:18	127:13	93:20 113:10	218:25
gross 23:9	242:16	head 107:14,17	239:17 240:1
27:12	hands 110:9	227:14 238:6	helping 52:1
grounds 127:1	236:17	238:14	111:10
group 202:25	handy 143:3	heading 112:4	helps 108:5,6
203:1 207:3	hang 106:1	heal 115:12	125:9 129:25
208:21	230:15	184:14	172:2
211:18 242:7	happen 181:8	healed 116:19	hematoma
groups 211:4	232:2	177:8,14,17	167:14,14,25
growth 92:4	happened 26:4	178:14	hematomas
Gruendel 1:10	45:2,5 51:5	179:17	167:16
247:3,19	138:6 148:5	heals 104:18	hereinbefore
guess 15:23	150:20	health 3:8	247:8
18:14 22:19	192:18 224:5	24:13 28:10	herniation
23:3 36:7,14	226:5 232:3	96:20,24	25:7 64:4,6
105:19	239:15,25	214:2 220:23	64:25 66:9
116:23 126:9	happening	221:15,16	67:21 73:5
139:3 156:24	127:15	healthy 96:22	73:13 97:1,2

97:5 125:12 208:1 hey 121:25 high 59:12, 17 100:3, 4 101:9 119:14 181:16 190:17, 25 236:5 high-speed 107:25 higher 73:9 highest 227:20 hip 230:14, 22 history 43:19 43:21, 24 44:5, 9, 11, 13 44:21, 23, 24 45:1 46:24 54:13 144:1 146:20 147:2 148:2, 9 151:21 152:12 220:20 221:1 221:1, 9, 11 hit 86:20 150:22 152:9 153:3, 12, 23 154:15, 24 155:13 160:13 192:25 193:21 196:15 199:23 226:22 227:14, 25 238:6 hold 42:16 105:16 109:23 130:1 130:8, 10 176:18 236:7 236:12 holding 173:22	holds 32:5 hole 112:8 131:11 holes 131:3 231:18, 23 home 118:7, 9 118:13, 14 141:2, 5, 5, 8 honest 141:18 217:12 honestly 41:13 84:6 hook 225:5 240:19 hope 80:5 141:22, 23 hopefully 155:7 245:1 hospital 6:14 6:17 8:10 9:10, 18 11:4 11:25 26:21 26:21 98:21 99:19 100:13 114:24 116:10 118:6 190:19 213:20 217:19 hospital-b... 8:12 hospitaliz... 118:4 hospitals 10:7 hosted 28:7 hot 124:15 161:4, 9 176:22 hour 35:8, 19 39:20, 24, 25 100:3 102:1 131:19 hours 35:9 131:16, 17 132:2 172:3 How's 139:19	205:12 HParra@cah... 2:4 Hudson 98:21 99:19 huge 8:15 human 187:19 236:9 245:23 humps 157:3 hunchback 183:15 hunched 94:19 hunching 155:13 156:13 157:7 157:16 179:3 hurdles 100:5 hurt 159:9 232:24 hurting 154:14 hurts 233:5, 14 hyperostosis 10:17 I idea 121:22 130:21 208:20 234:13 242:15 identifica... 15:1 29:11 31:16 46:1, 4 48:24 63:3 68:2 72:15 94:4, 6, 8 98:4, 7 104:2 108:13 109:6 109:14 113:5 114:18 115:16 116:4 117:16 128:14 187:13 188:4 189:2 202:4 idiopathic	10:16 illness 54:13 144:2 illustrate 126:17 image 56:25 59:17, 19, 20 59:20 60:9 68:5 71:20 73:19, 20, 22 73:24 74:4 92:23 110:14 111:14, 18 128:10 165:2 images 57:25 59:3, 6, 7, 9 59:15, 23 68:14 74:1 76:23 89:13 89:15, 18 224:7 229:17 imaginable 55:1 imagine 104:18 imaging 10:21 56:8, 11, 12 56:15 58:25 59:9 60:17 61:23 62:2 89:8 102:6 123:12 125:24 161:17 162:24 163:5 164:7 245:9 imbalance 183:11 IMEs 30:24 immediate 115:20 231:6 immediately 53:10 185:1 immo 101:5 implants 112:13 128:3 implement
---	---	--	--

173:20	128:3 166:7	26:19 185:13	30:4,7
implications	205:3 226:14	induced 182:14	injuries 10:10
243:11	income 11:9	ineligible	52:14 86:5
implying	incompetent	212:12	123:3,9,19
198:23	27:8	infection	123:22 125:2
important	incorporated	90:23	127:22 140:8
43:21,25	209:14	infections	142:13
45:3 76:2	increased	10:18	146:24
112:12	90:24 123:17	inflammation	147:12
141:13 160:1	increasingly	52:1 115:8	148:21
164:10,10	206:5 207:24	121:11	157:10
172:4,7,9	independent	inflammatory	161:25 164:8
210:4 239:14	11:2,5,9,12	124:17 161:5	192:22
impossible	29:8 30:4,6	161:9 176:23	193:20 194:5
184:6	33:4 118:8	177:10	205:18 207:9
improper 20:23	208:2	information	208:6 219:6
75:9 220:12	indicate 53:17	108:16	225:4 228:17
improperly	96:5 123:2,3	163:25 172:7	injury 45:2,8
75:10	123:22	172:10	45:15 49:19
improve 174:16	149:21	180:17	73:4 97:8
206:23	210:14	200:16 214:9	126:4 145:1
improved 52:13	211:14	229:17 240:1	145:4,14
88:23	214:13 216:3	informed 24:23	146:20
improvement	223:10	infusions 34:7	147:15 151:9
52:16	indicated	ingested 21:23	206:25 207:5
inappropriate	12:11 34:18	ingestible	224:11
141:6 186:5	46:22 84:21	21:19	225:12,21
186:12	105:2 176:5	ingredients	226:12,21
226:12	178:20	23:15,17	227:2 228:3
inches 194:2	191:20 206:4	initial 49:15	228:8 235:18
196:19	226:21	53:17,18	235:19,21
incident 181:6	indicates 17:6	131:11 145:1	input 194:22
incising	17:11,17	145:4,14	inserting
101:17	21:7 22:2	initially 16:6	131:4 235:2
include 44:25	23:8 27:3,7	99:12	inside 116:20
90:22 99:8	27:12 35:7	initiating	117:4 165:1
237:10	53:3 70:2	144:25	insidious 44:6
included 23:16	79:18 97:8	injected 51:25	insomnia
32:20 211:2	169:14 206:2	injection	238:10,23
211:12,15	206:16,22	51:20,21	instability
includes 90:22	207:21	172:8	236:3
101:17	indicating	injections	instance 44:17
including 9:12	29:24 73:4	88:13 89:1	65:1 73:1
10:4,8,20,23	indication	169:11,23	227:23
88:9,18 90:9	22:4	170:2 171:18	Institute 3:13
99:8 100:1	individual	injured 29:7	6:2 46:3

institution 180:22 213:20	interlude 139:8	45:12 87:22 132:6,13,23	1:23 2:3 6:2 7:20,22
instrument 104:14 108:22 111:4 111:7	intermittent 55:21	135:16 136:14	198:25 205:2 221:6,14 247:5,21
instruments 107:22 108:3 110:16 131:5	interpret 60:17	involvement 202:25 211:4 211:14	jetting 73:6
insufficiency 183:10,12	interpreta... 60:1 74:24 75:1,17 76:17,21	involves 6:20 7:12 118:9 141:2,2	job 8:11 33:4 153:12 174:5 224:18
insufficient 163:21 181:11,14	interpreted 57:14	involving 121:9	John 202:19
insurance 1:5 2:10 136:10 136:24 137:5 137:7,8,11 137:12,18 217:18 241:18	interpreting 58:2 60:16	ipse 82:16	joined 12:12 205:1
intake 8:17	interrupt 103:3 105:1 111:17	irrelevant 242:10	joint 6:14 9:13,18 59:7 160:3 190:19
integral 164:18	interventi... 169:25 170:2 170:14 171:17	irritation 52:2	joints 107:11 230:14
integrity 70:16 165:19 165:24	interventions 169:20	Island 25:14 25:18,19	journal 197:15 197:16,16 198:24
intend 15:12 15:21 18:8 28:24 31:12 34:22 38:16 202:23	interverte... 57:8 63:16	issue 23:23,24 24:8,10,17 25:21 26:1 26:11 157:15 164:20 214:6	journey 25:18 25:22
intended 74:5	interview 37:16	issued 21:8 26:12 213:17 219:24	judge 15:14,23 18:14 67:2,8 75:18,24 76:7 79:6 82:6,18 95:11 180:7 199:4 203:6 226:24 227:1 229:4,9
intensity 44:17	intimate 203:19	issues 9:2,6 28:5 44:23 120:22 140:24	judgment 191:5
interest 138:11 140:6	intimately 105:5	IVs 100:14,15	July 21:7
interested 33:21 138:22 247:14	intraopera... 111:21	J	jump 227:24
interesting 215:23	intraopera... 101:11	JACKSON 2:6	jumped 71:10
interfering 54:20 55:12	intravenous 34:7	January 1:13 5:3 21:8 52:8 144:8 144:12 207:4 219:22 221:24 222:8 223:7,7	jumpers 227:24
interim 124:19	intubation 99:10 100:8 100:16	Jeep 150:16 225:17	junction 227:22
	invalid 30:17	Jer 23:23	June 13:23 30:17 43:9 46:8 84:23 85:3,6,8,14 89:5 96:7,10 96:11 124:25 144:6 155:6 157:4,25
	involve 92:14	Jersey 1:1,12	
	involved 34:1 37:1 39:4		

jury 5:17 6:4 6:4 9:7 15:9 15:13,22 18:9 20:14 28:24 31:12 34:23 38:8 38:17 43:24 44:12 48:13 55:18 60:3 62:19 63:24 69:10 79:6 90:18 91:20 100:19 102:16,18 103:19,19 120:10 126:23 129:11 135:9 136:25 138:9 138:20 139:3 145:23 161:13 166:4 186:8 202:18 202:24 225:2 jury's 11:8 Justice 21:15 justify 21:2	key 157:8 kid 201:7,14 kind 9:7,19 20:9 33:13 42:6 62:21 68:17 70:10 75:21 86:4 86:14 92:16 95:10,11 104:7,22 107:6,25 108:25 109:2 110:9 115:6 115:10 116:12,13 119:18 121:12,16 122:6 128:25 129:20 130:1 131:9 152:4 161:17 163:15,20 166:12,19 177:8 183:16 183:18 193:25 221:22 225:7 229:25 233:3 238:3 knee 50:3 51:9 52:11 54:2 84:11 148:4 knees 51:13 52:17 84:7 knew 24:22,24 25:5 145:13 145:15 146:14,22 147:22 239:1 241:16 know 8:17,20 9:22,24 10:1 10:3,4,10,22 10:25 12:8 12:10 14:19 15:10 21:14	22:9,16,21 23:16 25:22 26:10,11,12 28:20,20,25 30:13 33:13 33:18,22 34:19 36:16 36:19 37:10 37:12,13,15 38:20 39:5 41:8,9,10,11 41:12,15 44:1,2,4,6 44:14,16,19 44:24 45:4,4 47:20 52:18 57:6,7,8,9,9 57:16,17,17 57:18,24 59:3,6,7,8 59:11,15,17 59:18 60:15 66:2 68:22 69:18 70:4 71:6,21 73:24 74:5 74:10,20,20 80:6,8 81:8 81:9 82:5,16 84:4,5 85:22 85:24 86:23 88:18 89:12 90:2,5,6,10 91:6,8,10,11 91:25 92:4,6 92:13 93:9 93:19 94:15 94:16,21,21 94:23,24,25 95:2,9,9,10 95:12,15,17 95:23 96:20 97:6,9,10,10 99:7,9 100:1 100:3,7,14 100:22 101:5	101:13,14,21 101:21 104:9 104:15 107:1 107:4 108:7 108:24 109:24 110:8 110:15 111:16 112:10,13,14 115:5,14 116:8,12,14 117:4 118:9 118:14,16,17 119:17,18,21 119:22,23 121:4,5,8,21 121:22,25 122:1,4,6 123:17,18,25 124:2,11,11 124:14,18,20 124:21,22,24 125:1,7,8,11 125:13,14,15 125:16,17,20 125:21,22 127:16 128:3 128:5,7 129:2,4,5,23 130:4,7,14 130:15,16,18 130:18,20,21 131:6,13,20 131:22,24,25 132:16,19 133:16 135:2 135:15,16 136:13,15,16 137:7,8 139:15 140:24 141:3 141:7,7,10 141:11 142:15,15 143:6 146:17 146:19
K			
Katherine 14:23 Kauffmann 2:12 5:4 keep 8:18 39:9 41:9 103:11 107:4 193:5 229:8 233:5 233:14 243:7 keeping 20:15 37:17 keeps 225:13 kept 43:12 ketamine 20:25 21:10,15,24 22:6,25 23:11 24:17			

147:11,12,13	191:14,15,18	237:25 238:1	L 2:11
147:14,15,16	193:5 194:3	238:3,4,5,10	L2-3 73:2
148:17,18,24	194:4,5,7,9	238:11,24	L3 189:13
150:1,10,18	194:10,12,14	239:4,4,9,15	L3-4 73:1,2
150:19 151:2	194:22,25	239:18 240:6	L4-5 73:1,2
151:7,8,9,9	195:2,4,4,5	240:7,9,23	208:1
151:15,17,21	197:22 198:7	240:25	L5-S1 73:3,5
151:22 152:1	198:18 199:1	241:10 242:4	88:12 97:5
152:4,8,17	200:15,24	242:8,9,11	208:1
152:19 153:2	201:1,2,4	243:7,15	label 148:1
153:5,6,9,9	204:7,23,24	244:3,6	labeled 148:2
153:11,14,20	211:7,13,14	246:1	labs 100:2
154:1,5,12	212:5,7	knowing 37:6	lack 21:2
154:17 155:2	213:1,2,15	168:4	75:10 93:16
155:4,5,6,16	214:19,20,21	knowledge	113:18
157:2,2,6	216:7,25,25	57:11 203:19	217:25
160:2,7	217:4,12,13	211:9 235:22	ladder 181:8
162:4,6,16	217:16,16,20	known 45:8	ladies 5:17
163:3,6,13	218:14,22	91:16 211:20	183:14
163:20,22	219:10,12,18	knows 75:15	lady 181:14
164:1,4,9,9	219:23 220:2	87:4	laminectomy
165:18,21,21	220:3,9,19	kyphoplasty	90:12 98:18
165:22	220:25 221:5	184:20	land 200:14
166:10,11,13	223:1,1	kyphosis 91:17	large 91:2
166:14,15,16	224:8,10,14	91:21,22	100:15,21
166:19,21,22	224:18,19	92:1 94:14	112:13
167:17	225:7,8	94:25 95:15	larger 59:20
169:24 171:2	227:13,15,18	116:14	laser 105:7,12
171:5,25	227:19,22,23	156:12,17	108:1,5
172:2,3,4,5	227:24 228:4	157:1,4,16	lasting 9:15
172:10,11,16	229:14,18,20	157:23	late 34:16
173:10,21,24	229:22,24	178:21	92:3,9 244:7
174:2,4,15	230:1,13,15	182:21	lateral 166:15
174:16,18	230:17,19,24	185:16 186:2	latest 150:19
175:1,19	231:1,2,9	186:4,9,10	laughing 196:5
176:3 177:7	232:21,22,22	186:12	196:6
177:8 178:8	232:24 233:1	230:12 231:4	law 1:1 5:15
181:19,21,22	233:2,3,4,5	231:25	126:15
181:25 182:5	233:6,8,9,11	232:10	lawsuit 37:1
183:7,8,8,17	233:13,13,14	kyphotic 89:9	37:12,13,14
183:24 184:1	233:15,18	90:3 120:16	37:16 39:7
184:7,9	234:9,10,12	120:24	135:9,13
185:11,12	234:18,19	132:10 177:7	136:7 157:10
188:21 190:3	235:1 236:1	189:23	157:14 158:2
190:11,11,15	236:7,8,11		160:23 162:9
191:2,4,5,6	237:17,19,23		168:4,21,24
		L	

175:2,11	199:11	14:22 16:16	lifted 64:14
192:11 197:5	leg 89:3	204:6,21,22	ligament 64:10
199:13	legal 154:17	244:17,19	64:14
202:20	198:7,11,13	letterhead	ligaments
204:12	198:25 220:9	35:4 143:19	64:11 166:18
207:14	237:7,18	203:23	190:13
208:13	legs 86:3	letters 205:4	230:20 231:2
209:10	121:19	level 10:8	likes 79:2
243:16	length 70:1,2	64:7,13	limbs 106:23
lawsuit-re...	130:24	67:21 73:8,9	limine 102:25
36:5,10,14	189:12	88:12 95:13	103:7 243:10
lawsuits 36:23	lengths 130:25	95:17 96:15	limitations
37:5 39:4,6	lesser 69:1	96:21 112:6	213:23
168:5,11	188:22	121:8 122:3	limited 218:20
175:8,13	let's 16:1,12	122:5 142:15	218:24
243:12	17:16 18:21	176:20	line 17:5 18:5
lawyer 186:7	23:7 32:10	190:15 191:9	20:6 64:12
193:18	38:23 41:20	194:17 228:2	64:16,22
223:24	47:16 48:17	228:3 235:20	80:13 82:21
layer 117:8,8	61:4,19 62:8	236:3,4	113:23 178:9
117:9	66:4 76:10	levels 101:20	183:24 198:6
layers 117:2	77:17 85:18	123:19	199:5 207:8
laying 85:23	98:3 122:21	128:24	218:2,2
110:8,8	125:18	190:18,18,24	226:20
layman 182:24	134:10	191:6 232:1	240:22
layout 100:19	135:25	235:13,16,16	list 96:7
lead 183:12	137:22 139:5	235:19,20,23	211:22
238:24	140:10 146:7	235:24 236:2	listed 31:25
leading 61:16	156:4,7	license 7:21	33:25 211:19
61:17 163:24	158:1 159:15	7:22,25 8:3	Listen 126:14
222:21	160:22	14:15 16:7	lists 31:24
leaning 116:12	168:24 170:6	17:18,21	litany 226:10
learning 60:15	172:13,16	19:5 28:6	litigating
199:14	175:20	212:3,9	147:7 150:14
leave 8:25	177:19	213:11,17,25	152:7
229:4 243:1	180:11 187:5	220:11	litigation
leaves 116:16	192:10,10	247:20	35:24 39:7
leaving 244:16	193:13 196:5	licensed 7:19	40:21 142:24
leeway 61:17	199:5 203:21	27:21,22	157:20 197:2
left 38:17	205:6,9	licenses 7:23	198:10
55:22 62:16	206:9,17	licensing	207:13
89:3 129:3	207:20 210:6	213:20	224:16
129:21 148:3	223:2 240:23	lies 87:9	226:14
156:21,24	241:24 244:5	life 140:24	litigation...
158:22	244:10 246:3	lifetime	209:17
159:20,25	letter 3:7,12	148:17	little 16:23

19:10 20:9	look 16:12	loosen 190:6	10:3 56:8
22:1 23:7	22:1 32:10	lordosis	72:12, 14, 20
24:2 30:12	47:24 49:5	185:22	72:21, 23, 25
32:1 41:12	57:24 77:18	230:12, 12	73:17, 22, 23
54:14 56:19	97:9, 11	lose 152:2	88:11, 14
56:22 59:13	117:25	168:13	97:4 160:10
60:3 63:15	134:25	Losick 57:16	187:21
64:11 73:10	142:24 156:4	65:12 74:23	190:16
95:7 108:17	156:16 158:1	76:16	207:22, 25
109:2 118:5	160:22	Losick's 75:1	208:6 230:12
130:12 131:6	169:13 170:6	75:17 76:4	lung 44:22
142:22	172:13 176:9	76:21	lungs 111:25
144:24 149:8	197:13 205:9	losing 151:24	111:25
173:6 180:16	207:20 210:6	loss 8:7 100:4	112:14
183:14 205:9	219:8 221:10	182:1	128:20
205:12 206:9	229:16	lost 8:15	
211:1 214:18	233:16 236:8	20:16 83:17	M
214:23	237:19 240:8	152:15	machine 56:24
216:19 218:4	241:9 242:8	lot 8:15 10:10	Madam 244:6
218:17	245:21	39:23 57:1	magnet 56:22
243:23, 23	looked 33:6	59:4 61:17	74:2
live 93:13	52:19 76:22	64:19 70:7	magnetic 56:15
liver 44:22	78:24 149:18	94:17 95:14	234:11
lives 221:5	189:11	95:21 101:3	magnets 56:19
LLC 2:2, 6	210:23 212:1	111:23	magnitude
located 176:14	224:11	112:12	194:23
location 93:8	234:21	118:14, 23	Mahmoud 1:3
login 43:15	240:10	119:10	2:5 5:19
long 25:14, 18	looking 21:7	133:13 141:1	12:17 13:21
25:19 70:4	51:18 59:6	141:8 143:1	14:4 43:3
91:9 101:23	63:6 104:5	164:17	46:2
106:23 107:5	110:6 111:1	191:17	main 151:24
131:2, 19	111:6, 6, 13	230:16	maintain 8:8
178:12 179:4	111:19	232:23	8:22 20:19
179:12, 16	114:22	233:12	90:8 151:23
180:2, 5	128:16	low 185:22	185:1, 2
185:6 237:21	129:14, 16	222:4	212:3
long-term	164:11	lower 19:11	maintained 9:1
217:21	165:23 189:6	46:12 50:2	19:23 165:18
218:18	234:5, 8	54:1 63:10	212:9 213:16
longer 99:12	looks 29:22	73:10 85:18	213:22
118:5 221:16	77:22 96:22	86:2 90:13	maintenance
longevity	106:21 116:8	94:22 107:18	7:11
44:18	117:20 129:8	123:18 124:1	major 26:21
longitudinal	129:18 204:6	190:15	134:17
64:9	204:22	lumbar 3:18	174:11

majority 175:7 229:22	189:1 202:3	139:15	225:11,21
making 42:24 112:8 140:25 140:25 159:7 172:10 198:24 210:4	marketing 32:24 33:11 33:17	140:22	226:21 228:8
mallet 110:24	marking 72:12 202:6	141:18	mechanisms 231:10 232:22
malpositio... 184:24	Marks's 93:7	142:14,17	medical 3:8 6:9 8:7,8,19 9:1 11:2,5,9 11:13 13:24 14:2,24 16:18 19:23 20:19 21:2 22:7,10 27:19,25 28:2,8,19 29:8 30:2,4 30:7 33:22 36:6,10 37:12,16 43:15 44:9 44:20 45:11 45:17,25 46:15,17,24 48:2,6,10,14 50:7 51:16 52:21 53:7 70:14,18 82:1 86:24 87:1,2 100:2 102:4,12,14 110:3 147:2 198:8,9,9,14 198:24 203:5 204:9,9,16 205:4 220:20 224:22 235:9 241:6,9
man 24:18	marrow 165:2 167:6,24	145:23,25	
management 88:7 170:14 217:10,21,22 224:23	mask 110:19	147:11,13	
manager 204:8	material 115:24 193:10	148:16,17,18	
managing 169:16	matter 1:9 17:2 149:7 240:18	149:6,14	
manipulate 107:13 129:24 245:22,24	MD 1:9 3:3,11 4:14 5:14 6:11 14:22 14:23 17:2 31:15 202:2	150:6 151:20	
mark 14:17 28:23 31:13 34:21 45:24 67:24 97:21 98:3 128:11 187:8 188:2 201:23	mean 6:19 9:22 11:11,13 29:22 36:7,8 36:14,15 37:11 38:9 39:9 41:3 62:3 66:24 71:3,6 73:20 75:11,22,25 78:1 80:20 82:5 88:22 88:22 89:18 94:15 95:25 96:17 99:22 101:25 107:23 117:24 121:4 124:10 125:6 126:11 127:12,25 131:17 132:15,20 134:16 135:15 137:9 137:13	153:5 156:1 156:24 159:7 160:6,19 162:3,4,15 163:13,19 164:8 166:5 167:15 168:6 169:23 170:25 171:24 175:11 178:6 183:6 192:9 193:5 194:10 197:15,21 203:17 204:6 204:10 211:12 213:1 218:13 221:13 223:12 224:4 224:17,20 234:8 237:15 241:11 242:18	
marked 14:25 29:10 31:15 46:4 47:11 48:24 63:2 68:2 72:15 85:1 92:20 94:3,5,7 98:7 103:24 104:1 108:12 109:5,12,13 113:4 114:17 115:15 116:3 117:15 120:2 128:13 142:25 143:2 157:1 172:14 187:12 188:3		meaning 81:12 242:6 245:9 means 30:1 223:13 measure 196:21 measured 191:5 measurement 194:1 195:7 196:12 mechanical 235:25 mechanism 45:8 166:10,12	

224:13	79:4	misheard 127:9	236:10
medications	Mercy 11:24	misleading	MORGAN 2:6
24:10 25:21	met 79:20	145:22 175:9	morning 60:7,8
88:9,19	215:2	175:14	100:11
216:23 217:2	metal 108:20	Mitsubishi	morphine 218:9
217:5,11	110:10	34:8	219:5,19
218:14	112:13	modalities	220:5
220:13	Michael 51:3	88:8	moss-covered
medicine 6:10	Michelle 1:10	modality	94:18
6:15 7:20	5:13 227:3	170:15	motion 15:14
17:18,22	247:3,19	model 135:12	55:12 80:12
19:5 23:2,22	mid 46:12 50:4	135:15 136:4	102:25 103:7
25:17 27:15	50:8 54:1,6	molecular	168:13
28:6 42:23	54:9,15,18	56:19	225:19
118:24 212:4	72:7 85:17	molecules	243:10
213:11 225:7	85:17,18	56:16,23	motions 55:23
242:18	88:23 222:4	moment 29:18	motor 49:21
member 12:15	222:6	31:9 106:1	50:16 67:16
13:20 25:12	middle 50:11	159:3 162:8	68:8 74:12
43:5 138:14	70:9 96:25	162:11,19	87:22 123:3
212:2,7,12	97:7 112:1	186:15 188:2	124:21
212:22	128:20 216:4	money 135:3	144:18,22
213:12	midnight	138:3 241:14	145:7,13,15
members 138:16	100:10	monitor 19:22	146:23 147:8
242:25	mild 158:11	27:18,20	149:23
membership	159:24 160:7	119:15	205:18
211:22 214:5	160:7 161:21	121:20	207:10 208:7
memory 35:13	military 33:3	173:12,19	219:6
237:24 238:2	millimeter	174:5,16	Motrin 172:2
238:10,17,22	131:9,12,13	monitored	mouse 105:17
238:24 239:5	millimeters	19:22	105:23
239:8,23	131:2,2	monitoring	106:10
240:3,4	million 181:1	19:19,21,25	107:20
men 164:2	millions	121:15,24	109:17,19
meniscal 84:10	236:10	month 18:3	120:8
mentally 233:4	mind 39:12	36:19 192:6	move 14:9
mention 136:24	211:16	197:16	16:20 17:16
137:5,6	mine 211:15	218:19 222:9	67:8 71:1
156:11,16	minimize 108:7	months 17:19	80:15 107:2
157:23	minimum 35:9,9	19:6 51:5	107:7 114:12
mentioned	minutes 20:14	117:24 119:2	136:21,25
150:24	103:8 112:22	148:14,23	137:18
162:18	218:11	192:1 209:4	215:22
165:11 166:6	240:20 245:5	212:11	245:17
209:8 244:23	misconduct	218:16,16	movement 55:24
mentioning	17:13 19:12	220:12 231:8	moving 101:18

105:25	239:12	166:5 197:3	101:1 104:11
106:13	Murray 51:3, 6	204:24	104:13 141:4
109:19 126:6	51:7	narratives	158:7 171:5
MRI 3:15, 17, 18	Murray's 52:7	168:22	174:20, 21, 24
25:4 26:22	muscle 57:6	209:20	175:25
56:15 57:13	88:10 105:9	narrow 135:23	176:11
60:1, 17, 23	105:10	135:24	184:14 190:9
62:17 63:1, 8	106:23 107:4	narrower	192:15
65:8, 9, 11	107:6 108:6	214:24 217:3	209:12
67:18, 23	109:1 117:5	narrowly 229:6	216:12
68:1 71:24	117:6	232:8	230:25 236:6
72:12, 14, 19	muscles 101:18	National 28:14	236:12
74:2 77:9	104:10 105:2	28:20	needed 25:8
79:23 81:3	105:5, 12	natural 130:6	89:10 90:6
83:8, 11, 12	115:6 164:14	190:12	136:12
83:20, 23	165:9, 13, 15	nature 55:21	189:24
84:13, 19	166:18, 25	61:15 65:23	needle 51:22
123:15, 18	167:22, 23	86:13 102:24	needs 81:16
160:21 162:6	190:13	127:24	negative 56:18
162:15, 20	230:21 231:2	140:10	negligence
164:6, 13	232:23	navigation	27:4
165:2, 6	muscular 59:7	100:21	negligently
166:2 167:8	musculoske...	101:12, 19	23:9 27:13
167:13, 20	21:13 59:5	110:12, 12	neither 24:19
177:1 200:18	60:7	111:8, 9	247:10, 13
208:3 228:9	MVA 145:6	112:10, 15	nerve 51:23
234:5, 10, 14	160:17	131:8, 10, 19	52:2 57:6
245:4, 12	MVAs 147:2	174:1, 9, 18	73:11, 12, 12
246:1		near 158:2	73:14 91:6
MRIs 56:13, 14	N	nearly 184:6	91:10 164:15
77:1, 16 78:3	N 2:1, 11 3:1	necessarily	nerves 64:20
78:25 79:14	name 5:4, 18, 24	178:8 238:21	64:23 121:17
80:16 81:14	6:1 14:12	necessary	164:15
82:3, 13, 23	22:20 51:1	236:1	network 141:3
84:7 89:11	57:17 91:15	neck 50:1	141:8
156:3 160:10	narcotic 119:1	51:12 53:25	neural 121:15
161:17	119:3 218:14	55:4, 6, 8, 12	neuroleptic
164:22 224:7	220:13	55:14 63:8	88:10
225:23	238:23	63:13 88:23	neurologic
245:14, 15	narcotics 88:9	123:18, 25	90:24 120:19
MT-3921 34:3	119:10, 19	128:22 154:8	122:2
multiple 107:1	238:9	205:22 206:4	neurological
117:2 143:11	narrative 61:7	need 24:11	122:6
190:9, 20	62:6 143:7	29:3 36:11	never 9:2 26:4
236:6, 12	143:14 156:5	47:25 77:4, 8	88:24 119:18
237:21, 21	157:13 165:4	91:3 92:1	193:4, 7

197:11,17	169:20,25	226:6 228:21	102:21,24
213:17	171:15	numbered 72:22	103:4,4,6
214:11 215:2	normal 121:23	77:23	113:17,25
220:10	181:13,16	numbering 21:9	122:14
236:19 237:6	186:9,13	numbness 55:10	125:25
239:19 240:9	219:13	205:24	127:13
244:16,24	normally 8:18	207:23	133:23
new 1:1,11,23	161:16 164:1	numerically	135:18
2:3,3 6:2	Notary 1:11	125:2	137:16 139:6
7:20,21,25	247:4,20	NY 3:7	139:10,16
8:5 14:15	notation 120:8	NYCRR 24:13	145:19 168:7
16:7,17	note 49:4,11	nyspine.com	171:22 175:3
17:18,22	50:23 52:6,7	32:4	175:16,19
19:6 23:10	53:3,9 89:5	NYU 6:14	179:18,20
23:14,19,19	156:25 157:1		193:1 195:9
23:23,23,25	157:4,25	O	198:6 199:3
23:25 24:2,8	166:2 167:19	O 2:11	202:12
24:9,10,11	172:4 177:23	oath 5:9	212:15
24:12,15	179:2 203:4	154:13	222:13,16
25:10 28:7	224:14	155:11 237:3	225:24 227:7
29:23 30:5,8	noted 148:1	239:12	228:18
30:16 39:14	199:3	object 15:10	231:11,11
39:18,21	notes 36:16	38:6,7,7	233:20,23
64:6,25 65:5	85:2,7	48:1 82:21	241:1,17
65:15 66:9	161:18	86:10 93:15	243:3
66:10 89:18	notice 29:22	95:11 110:10	objectionable
161:19,25	noticed 32:19	110:21	79:5
198:25 205:2	32:20 64:5	113:13,23	objections
221:5,6,14	noting 116:21	133:2 136:17	82:10 203:5
221:16 247:4	November 40:18	195:18	objective
247:21	50:21,22	objection	138:23
Newark 9:10	51:2,4 122:9	12:22 15:4,8	OBrooks@mo...
night 100:9	143:2,15	17:24 29:2	2:9
nine 191:17	157:14,24	42:21,25	observation
non-interv...	192:11	47:16 48:16	177:21
88:17	204:14	58:5,8 61:12	observations
non-respon...	207:15	61:15 65:19	245:4,4
137:17	209:10	65:23 66:13	observe 71:9
non-trauma...	226:15	66:16 67:1	177:13,20
182:14	number 3:6,9	70:23,23	observed 76:24
nonsense	4:2 29:5	75:4 78:1	76:24 138:17
126:23	36:13 41:19	80:12,19	161:18
nonsteroidal	49:6 54:14	81:21 82:5	177:24
169:6,9	55:2 72:22	82:22 86:14	obtained
170:13	169:24 181:4	87:9 93:2,6	123:12
nonsurgical	184:22 226:3	93:14,24	obviously 8:16

25:14,20	106:8 117:4	60:22 61:1,7	149:6,20,25
26:17 57:24	127:15	61:19 62:8	150:7 151:2
84:3 90:4	133:19	62:23 64:10	151:10 152:6
93:19 94:16	173:10,24	65:14,25	152:16,25
99:7 100:25	208:20 228:3	66:5 68:14	153:11
105:10	okay 5:23 8:3	71:13 72:4	154:19
112:12 115:3	8:9 9:3	73:18 74:8	155:18 156:4
118:14,18	11:18,21	76:25 77:4	156:6,11,16
119:5 120:16	12:1,11,15	77:17 82:25	156:21 157:6
124:20 125:6	15:3,8,18	84:21 85:4	157:13
125:15 128:1	16:1,6,12,16	85:15 87:13	158:14,17,21
130:2,5	16:20 17:1,5	92:25 93:5	159:19 160:9
132:19 162:5	17:10,16,21	93:18 94:1	160:21 161:8
164:9 174:5	18:5,20	96:4,10,13	161:12,16,24
183:13	19:10,15,17	97:23 98:1	162:18
187:19 194:3	19:24 20:3,9	98:12 102:2	163:15,22
194:8,10	20:12,21	102:20	164:3,6
195:17 207:9	21:5,14,22	103:12 104:6	165:5,12
214:17 224:7	22:1,16,23	105:24	166:1,7
228:2 234:9	23:7,22 26:6	106:11,15,20	167:4,12
238:21	27:1,11,24	108:9 109:4	168:3 169:9
occasion 27:4	28:18,22	109:10 110:5	169:13,13,19
27:9	29:4,15,18	110:25	170:10
occur 181:1	30:1 31:6,20	112:21,25	172:20 173:1
183:8	31:23 32:2,4	113:7 114:3	173:8 176:5
occurred 49:19	32:7,10,16	114:20,23	176:21
120:14 125:7	32:19 33:6	115:18,19	178:16
178:12	34:11,15,17	116:7 117:2	180:10,24
225:15	34:25 35:3,7	117:21,25	181:5 182:2
229:19	35:12,18,24	120:7 128:21	182:24
occurring	36:3,21 37:3	129:14	185:16,21
234:24	37:8 38:15	132:22	186:1,7
October 129:9	38:23,24	133:15,20	187:2,8,15
offer 13:2	39:11,16	134:5,19	187:19,24
108:15	40:1,7,12	135:7,12	188:8,18,23
offered 60:11	41:1,17	137:22 138:8	189:9,15,22
office 12:8	42:19 43:1	138:20 139:2	191:24 192:7
205:15	43:21 45:10	139:25	192:14
214:20	45:16 46:9	140:10,16,19	193:25
219:24	46:20 47:14	141:23 142:5	196:18 197:5
223:15	47:17 48:18	142:9,22	197:13
official 23:10	49:4,14 50:6	143:5,18,25	199:11 201:6
oh 32:1 40:16	50:19 51:11	144:17,21,24	202:6,15,22
77:23 85:6	51:15 53:13	145:6,9	203:9,13,21
87:12 89:20	56:4 57:18	146:5 147:1	204:18 205:6
105:5,18	58:1,11,19	147:5 149:4	205:7,14,21

206:2,9,10 206:22 207:3 207:8,13 208:16,21 209:8,16 210:6,17 211:6,18 212:10,21,23 213:3,6,10 214:12,23 216:14 217:14,23 218:25 220:17,24 222:17,23 223:10,16 226:19 237:9 239:11 240:13 242:20 244:22 245:1 245:7 old 89:14 93:13 95:23 96:1,8,11,12 161:19,25 182:25 183:14 201:10,13 older 80:15 Oliver 2:7 5:20 14:12 159:6 198:7 243:7 once 7:3 40:6 40:12,16 41:4,13,14 41:23 100:4 100:18,24 109:1 118:14 128:7 192:4 232:4 ones 68:25 148:5 175:12 175:12 225:23	ongoing 91:4 211:4 onset 44:6 232:10 Oops 139:13 open 69:12 140:23 231:23 232:4 245:21 opened 226:19 229:2 operated 92:5 operating 100:18 operation 98:18 99:16 177:12 operations 135:17 136:15 operative 3:23 51:19 84:9 97:22 98:3,6 120:3 172:13 173:3 176:9 178:18 179:2 opinion 46:15 59:18 66:20 66:21,25 75:8,9 76:5 76:20 77:24 81:25 122:11 123:8 124:9 133:9 177:13 196:2 215:16 216:13 224:1 224:5 opinions 46:16 57:21 58:2 58:24 75:12 211:8 opioid 220:13 opportunity 77:18 opposed 170:2 225:14	opposing 150:15 opposite 185:21 Optimal 22:17 orange-yel... 104:8 order 12:2,6,9 13:24 28:5 56:7 90:7 93:12,14 104:12 107:12 113:21 174:22 189:22 190:6 196:12 197:8 197:19 199:15 201:9 212:2 236:5 organize 112:23 orient 63:5 101:12,20 oriented 63:13 73:17,22 107:15 111:8 Orienting 131:18 orients 110:12 original 59:11 orthopaedic 6:11,18 7:1 7:6 9:8,9 10:13 14:10 33:20 42:23 46:11 51:7 59:2 60:9 90:9 180:21 211:19,23 212:13,25 214:4 orthopaedics 9:12 10:23 33:21,23 osteoarthr...	158:12,14 osteoporosis 163:14,19 164:2 181:15 osteoporotic 183:10 184:23 osteotomies 190:2,5 236:13 osteotomy 236:2 ounces 196:19 out-of-state 24:4 outcome 138:11 138:22 outlines 28:5 outside 78:18 79:10 80:14 126:6 166:16 217:10 overall 96:23 124:12,23 125:16 142:18 185:2 185:8,14 195:1 overcome 181:12 overlooking 162:13 overly 130:17 overlying 129:19 overview 14:16 owe 241:14 owed 135:3 138:2 oxycodone 20:25 24:18
P			
P 2:1,1,11			
P-5 85:2			
p.m 1:13 5:3			

12:24 13:17	229:12	paid 12:8	135:2, 7
15:7 16:4	231:14	19:24 34:19	136:5 138:1
18:1, 24	232:19 241:3	35:8, 10, 13	138:8, 14
37:22 39:1	242:2 243:6	135:9, 13	142:15
47:19 48:21	244:13 246:6	136:6, 7	143:18
58:7, 21	246:8	138:9 213:15	148:10 153:6
61:14, 21	pad 23:14, 18	214:9 240:24	155:2 156:1
62:11, 14	23:20 24:3, 5	240:25 242:5	169:16, 17
65:22 66:7	24:9, 16	242:6, 10, 10	170:14, 15, 20
66:15 67:11	115:1	242:13, 14, 16	171:4, 19
70:25 71:15	padded 101:8	242:17, 17, 18	172:9 183:24
75:6 76:13	padding 100:23	pain 3:13, 14	184:1, 7, 11
77:20 83:4	101:4	6:2 8:17	185:9 201:22
86:12 87:19	page 3:2, 6 4:2	12:13, 15	202:7 203:22
93:4 94:10	16:21 17:10	13:20 21:13	203:24 204:4
100:12	17:16, 17	21:13 22:11	204:15
102:23	19:11, 18	22:17, 18	205:22 207:4
103:15 106:3	20:4 21:6	25:1, 16, 16	207:23
106:18	23:8 27:1, 11	26:13 32:13	208:22
112:20 113:3	30:14 48:5	32:17 35:3	216:23, 25
113:16 114:6	49:5, 6, 6, 10	35:20 43:5	217:10, 13, 20
122:16, 24	50:20 51:16	43:11 44:17	218:8 219:5
126:2 127:19	52:4 53:2, 8	45:19, 25	219:11
133:25	77:22, 23	46:3, 12 50:2	220:18
134:12	78:4, 6 81:12	50:2, 2, 3, 4	224:23
135:20 136:2	85:5, 11	51:9, 9, 10, 12	229:24
136:20	120:4, 7	52:2, 11, 11	230:22, 23
137:24	122:10, 10	52:12, 14, 17	233:10 242:6
139:12 140:2	123:21 156:6	52:19, 23	242:13, 25
145:21 146:9	156:7, 9, 19	53:23 54:1, 2	pains 155:1
159:5, 17	158:2, 6, 22	54:15, 18, 19	paper 8:13
168:9, 17	160:22, 23	54:20, 25, 25	10:15, 17
175:5, 23	168:24 169:1	55:1, 4, 8, 9	20:17 22:18
179:22	169:19 170:6	55:11, 14, 17	236:11
180:13	170:8, 18, 21	55:21 56:1, 9	papers 10:19
186:22 187:6	171:15	74:20 85:17	185:4
193:3, 15	178:17	85:18, 19, 20	paperwork 8:16
195:16 196:9	187:25	85:21, 22, 22	paragraph
198:4 199:9	192:14, 16	86:1, 2 88:7	19:11 123:1
202:14	206:10	88:8, 23, 23	124:6 156:12
203:11	210:23	89:2 91:5	161:20
210:13, 19	pages 3:8	102:9 118:22	169:14
212:17 213:8	14:25 29:10	118:23, 24	170:10 206:3
222:19 223:4	48:3, 5 49:2	119:17, 18, 19	206:16
226:1 227:10	162:12	123:17 124:1	207:20 208:6
228:20	226:16	134:19, 22	paragraphs

54:13,14	108:14 109:7	231:22	92:3 94:16
145:2,23	109:15	232:12,20	99:11 148:19
paralyzed	112:18,21	233:24 234:2	182:14
101:5	113:6,20	234:3 236:25	184:22
pardon 23:23	114:2,7,19	241:1,4,11	190:14
Park 1:23	115:17 116:5	241:18 243:3	191:15 210:2
Parra 2:2,2	117:17	243:7,19	parties 247:12
3:2 5:16,18	122:20,25	244:4 246:4	partly 170:10
5:22 13:3,6	126:11,14,21	part 6:1 8:19	parts 154:25
13:13,18	127:3,7,10	10:13 27:23	222:14
14:8 15:4,8	127:12,16,20	28:1 36:9	passed 7:3
15:15,18,25	128:15 133:4	37:11,15	passenger
17:24 18:2	133:23 134:1	43:10 57:1,3	151:4
18:10,16,20	134:5,9	57:9 63:9,10	patch 231:23
29:2 37:9,19	135:18,21	64:14 73:15	patching
37:23 38:4,6	137:3,12,21	73:19 74:5	231:18
38:12,19	139:6,15,21	91:13 99:4	pathology
42:21 43:1,2	139:24	99:22,23,24	164:11
46:5 47:17	145:17,19,22	102:3,3,14	patient 8:21
47:23 48:2	146:5 159:2	107:3,8	13:21 22:12
48:10,15,18	159:6,14	110:2 111:23	24:23 26:2
48:22 58:11	168:7,10	115:14,25	30:20 36:16
58:15,18,22	171:22	118:20	43:3,17 44:3
61:19,22	172:21 173:1	138:18	44:13 45:16
62:8,15,24	175:3,6,18	141:25	46:11 51:8
63:4 65:25	175:21	142:17 155:2	53:4 54:16
66:3,8,20,25	179:18,20,23	160:1 194:18	74:17 84:3
67:12 68:3	180:4,10	196:1 197:1	85:13,16,17
71:3,6,13,16	186:16,19	204:8 205:4	85:19,21
72:16 75:13	187:2 193:1	211:6,15,15	86:19 88:6
75:16,25	193:4,11	216:21	89:9 90:1,15
76:2,14	195:9,12,17	220:14	94:21 99:2,6
77:21 78:4,6	195:21,25	231:21 235:2	99:15 101:13
78:9,11,20	196:6 197:24	235:8,18	101:15 110:7
79:7,15 80:1	198:2,5,17	237:7 242:16	110:9,22
80:18,25	198:22	partial 77:10	111:6 113:9
81:6,8,17,25	202:11,15	77:10	114:10,23,25
82:19,24	203:3 210:10	participation	119:9 120:13
83:1,5 85:10	210:14,17	206:6	123:15,25
86:22 87:12	212:15,18,23	particular	133:7 140:11
87:20 93:18	213:6 215:3	8:10 49:9,9	141:11,15,17
93:24 94:11	215:9 221:21	53:14 65:16	141:18,20,24
95:4 98:8	222:15,17,23	90:23 120:8	142:21
103:10,16	223:5 226:19	122:9 125:3	170:11,19
104:3 106:8	227:3,8,11	particularly	185:2,9,13
106:12,14,19	229:2,13	59:5 84:5	185:14 194:4

220:19,19,25	190:8	perfect 112:16	permitted
234:19 235:5	pedicles	205:13	18:12
237:18,20	131:21	perfectly	person 24:10
238:6,19	peer-reviewed	68:16 97:9	57:17 150:9
239:20,23	197:7	111:11	183:19
patient's	pelvic 98:17	129:19	218:23 233:3
22:11,12	pelvis 104:10	190:12	245:8
43:19,21	191:4	195:20	person's
54:4 63:8,9	pending 102:25	200:14	156:13
63:10 77:1	103:6 122:19	perform 11:5	185:18
91:16 99:23	199:12	29:8 90:10	personally
99:24 102:12	Pennsylvania	90:12 97:15	26:2 60:24
104:6 107:14	2:8	98:20	144:5 191:25
110:3,13,15	people 25:5	performed 56:6	214:16
117:23 235:8	37:20 91:24	57:13 97:18	Pharma 34:9
patients 6:24	141:3 184:16	98:10,16,17	pharmaceut...
9:20,23 11:6	191:1,1,2	113:9 128:2	33:7,19 34:8
11:10,14	214:22	128:5 170:3	pharmaceut...
21:4,9 22:5	227:24 233:3	performing	33:17
23:3 36:15	233:9	131:23	pharmacies
39:3 40:25	percent 11:12	period 16:9	24:4
41:15,24	11:14 52:16	17:23 18:4	pharmacist
54:25 55:2	69:22,24	19:6 40:14	42:17
60:23 136:15	121:23	91:9 101:2	pharmacology
140:13	125:17 126:4	117:23	42:17
142:11	126:6 127:5	124:19	pharmacy 22:19
155:19,24	165:20	212:13	22:20,22,23
171:8 175:7	192:21,23,24	213:10	23:5,13,18
175:8,11	193:4,19,19	214:10	23:21 24:3
183:23	193:21	216:25	Phase 34:1
213:19	196:13,15	220:12	Philadelphia
223:13	199:15,22,23	234:24 236:9	2:8
236:18 238:4	241:7	237:21	phone 173:12
238:11 242:9	percent/70	periods 107:5	173:15,22
pattern 70:6	199:15	permanency	174:10
pay 19:15	percentage	206:25	phonetic
137:11 241:6	11:8 41:21	permanent	167:25
paying 241:9	125:14	90:25 91:6	photo 94:12
PCP 21:18	126:13,16,20	91:11 120:17	103:4 105:4
pediatrics	126:23	121:3,5	107:15,16,17
9:12	192:17 193:9	127:24 128:1	113:8 117:19
pedicle 90:10	196:17,22	128:2,2,6,8	photograph
98:19 111:11	200:19 201:3	207:5	3:20,21,22
112:6 128:4	201:4	permission	3:24,25 4:3
128:25	percentages	136:13 243:1	4:4,5,6,7,8
130:24 131:9	194:2	243:1	4:9 94:3,5,7

97:14 104:1	pills 219:25	155:21 157:8	242:11
108:12 109:5	pinpoint 36:12	163:23	244:15
113:4 114:17	PIP 137:6,12	169:15	pleasure
115:15 116:3	Pisano 202:19	170:20,23	144:14
117:15	202:23	181:18	plug 121:17
photographs	pivot 195:6	182:16	plural 147:2
93:7 102:2,3	pixel 59:12	191:22,25	point 8:24
102:10,17	pixelated	196:15 206:3	12:16 13:1
103:19	59:20	206:11	13:21 14:8
113:24	place 22:14	214:14 215:1	15:10 43:6
149:12,15	49:22 92:10	215:4,6,7	52:20 63:23
photography	99:18,19	216:22	78:23 79:9
173:7	100:24	220:11,25	82:12 84:14
photos 92:19	101:24	221:5 237:11	87:5 89:7,13
92:22 93:15	108:22 111:9	237:23 239:2	90:2,4 91:7
93:20 103:1	111:10,12	239:4 240:19	91:12 105:14
110:6 149:11	112:8 116:16	242:5,12	119:20
149:17	130:1,8,10	plaintiff's	120:15,21
physical 88:18	177:2 247:8	35:4 93:19	137:20
156:4 206:7	Placebo-Co...	140:8 149:21	141:14
224:8 234:16	34:2	153:1 154:4	152:10,15
physician	placed 51:23	178:20 186:7	163:8,9
19:19,25	70:8 88:8	189:5 192:22	178:7,23
24:22 27:21	100:14 101:3	193:17,20	187:9 190:17
27:22 67:7	108:21	215:4 219:4	196:20
140:12	111:15	232:8 237:1	198:16
142:10	112:16	238:15	215:11 216:8
149:25 210:1	128:24	242:21 245:2	227:21 233:6
223:18	131:13	plaintiffs	233:9
physician's	placement	40:22	pointed 228:13
140:11	98:18 173:13	plan 47:4 87:3	points 42:1
physicians	173:20 190:7	planning 32:25	101:6 190:8
26:23	placing 111:8	plans 21:3	190:10,20
physicist 42:8	112:5,13	234:18	236:7,12
226:10	128:2	plastic 115:4	poking 20:9
pick 131:10	plaintiff 1:3	116:15	polarized
picked 56:24	2:5 5:6,19	play 109:24	56:17
picture 63:9	35:22 41:22	110:25	poles 56:18
63:10 110:7	86:15,16	229:21	policy 25:15
174:7	93:16 135:4	playing 93:21	26:13 174:25
pictures	138:4 140:16	plays 111:2	175:9 176:2
125:10	142:3 144:4	please 5:10,23	204:8,25
174:17	148:2 150:1	6:3 16:2	205:2 219:25
pieces 70:6	150:14,22	18:22 77:5	population
pill 21:12	151:2,11,19	93:2 114:4	182:6
119:9	152:22 153:2	201:25	portals 59:14

portion 32:11 34:13 35:24 37:3 101:16 189:5	posture 89:10 90:8 104:13 120:16 130:3 165:23 185:2	Practitioner 28:14	preprinted 23:17
posing 134:23	potential 21:12,16 202:20 220:19,24	pre 77:16	prescribe 26:15 28:1 89:6,16 118:24 218:14
position 25:13 94:19 100:23 104:19,21,25 112:16 116:14 120:20 121:8 121:12 127:25 130:16 138:24,25 139:3 140:4 140:8 174:18 177:9,14,18 178:22 183:18 245:18,19	potentials 21:20	Precision 3:12 3:14 6:2 12:13,15 13:20 32:12 32:17 35:3 35:20 43:5 43:11 45:19 45:25 46:2 47:7 49:12 52:14,23 53:22 102:9 134:19,22 135:2,7 136:5 138:1 138:8,14 143:18 148:10 174:25 201:22 202:7 203:22,24 204:4,15 207:4 208:22 214:17 218:8 220:17 224:23 242:6 242:13,25	prescribed 22:9,15 24:18 217:5 218:9 219:10
positive 56:18 77:10	pounds 181:21 181:23	practice 6:16 6:23 7:19 8:12,25 11:14 12:13 17:18,22 19:5,22 23:2 23:22 27:15 27:17,20 28:6 35:16 43:18 57:22 60:18 88:7 102:9 134:21 135:8 136:5 136:12 138:1 138:17 174:25 175:9 176:3 202:25 203:1 204:25 207:3 208:21 211:10,10 212:4 213:11 213:23 217:11 218:20,23 219:13 236:17 242:7 242:16	prescribing 22:5 23:11
possible 73:15 120:17 125:4	practically 242:12	practitioner 30:3	prescription 20:23 22:2 23:10,14,24 23:25 24:8,9 24:11,12,16 26:12,16,24 219:4,8,14 219:19 220:5 220:13
possibly 121:4 132:18 220:1 233:7,16	practices 26:17	predates 67:19 202:25	prescriptions 21:8 22:24 26:10 213:19 219:24 224:13
post 32:19 67:13 83:12 162:21 191:20	practicing 5:25 213:18	predating 86:24,25	presence 60:10
post-anest... 238:8		preexisted 95:22	present 7:5 12:2 13:8 18:7 54:13 144:1 162:15 167:17 179:11 208:2 211:24 237:15
post-concu... 238:8,14		preexisting 71:8	presentation 74:19 123:11
posted 28:14 28:18 32:20		prefer 47:10	presented 6:25 53:25 60:10 114:11 240:9
posterior 64:9 97:1 101:18 104:16,23 131:22		prejudice 133:20,22	presents 84:3 119:6
posteriorly 96:23		prelude 139:22	president 208:23
postoperative 115:20,21		prep 101:13,15	pressure 101:6
		preparation 99:20	
		prepare 101:13	

115:11	53:25 74:15	probationary	proceedings
116:20	prior 30:10	8:4 16:9	5:8 223:23
166:12	46:23 54:5	probe 198:20	246:8
presumably	70:19 71:18	problem 22:10	process 6:20
71:21 74:16	71:20 74:17	22:13 25:20	7:11 8:14
137:9 201:7	74:21 78:2	66:18 153:6	112:4 131:20
presume 214:8	78:12,16,21	157:8 161:19	237:7
220:10	78:23,24	172:6 195:1	processes
presurgical	79:1,3,4,8	202:16,17	108:19 112:3
99:25 100:6	79:13,17,21	243:12	produce 170:20
176:16	79:22,22,24	problems 8:15	product 21:16
pretrial 47:22	79:24 80:3,6	8:19 9:3	professional
pretty 69:2	80:16,20,20	14:15 56:5	3:8 14:24
116:10	81:3,4,10,14	91:10 222:5	16:18 27:4
117:20 134:7	81:19 82:2,2	223:11 224:1	28:8 31:21
180:21	82:13,17,17	237:24	174:20
195:10	82:22 83:7	238:17,22,25	209:23 211:4
205:10 210:3	85:25 87:25	239:5,8,23	professors
218:2	93:15,20	240:5	60:15
prevent 105:9	123:14	procedure	profile 22:11
185:3 190:20	146:18 147:2	51:22 88:12	95:7
243:15	147:16 148:2	98:10,13,15	prognosis
prevented	148:4 152:1	99:18,21	170:7
213:18	162:20	100:4 101:22	program 6:12
prevention	201:21 204:5	101:24 102:1	6:22 10:14
10:18	204:18 209:3	102:7,18	19:19,22,25
previous 29:2	217:25 218:5	103:2,21	60:5
45:15 55:15	218:9 219:6	115:8 116:10	progress 36:16
77:14 83:25	228:14 237:1	120:13 128:6	142:12
84:5 89:12	private 6:16	131:15,24	156:25 157:4
89:13 109:9	privileges	136:11 173:8	157:25
123:23	29:24	174:22 176:3	224:14
146:20	probably 11:20	184:19,20,21	Progressive
156:18 162:7	15:23 41:10	204:25	1:5 2:10
227:6 241:23	93:20 96:2	215:15	prohibit
244:9	100:11	216:11	243:11
previously	113:19	234:25	project 98:5
14:4 27:2	115:19 116:8	procedures	projected
45:21 48:24	130:20	35:22 36:18	172:17
49:1 72:4	132:20 134:7	89:13 90:20	projects 33:19
93:6 109:11	181:21	102:4 171:1	33:20,25
120:15	191:11 201:5	171:3,16,25	prolonged
154:21	205:10 210:8	172:5 184:18	99:10 100:7
172:14 220:4	probation 8:6	224:12	101:2 238:9
234:22	19:9 212:20	proceed 99:15	238:23
primarily 51:9	213:22	120:23 141:6	prone 101:3

proper 8:8 20:19 76:8 234:19 properly 27:21 27:22, 25 propriety 80:13 pros 216:9 protocols 8:20 prove 19:24 provide 46:16 130:1 190:20 provided 31:24 32:14 61:8 171:18 214:14, 17 219:2 225:6 225:6 Provider 28:21 providing 225:8 provisions 27:14 Public 1:11 247:4, 20 publish 15:13 18:8 28:24 31:12 34:22 38:16 210:15 published 10:12, 19, 22 28:13 38:8 210:16 publishing 15:11, 22 48:13 202:17 202:23 pull 28:22 31:5 34:20 47:12 172:19 172:22 186:17 pulled 172:15 173:2 pulling 210:11 pure 57:4	purely 166:12 166:18 purple 110:19 purpose 200:11 204:19 211:16 231:22 purposes 11:7 157:20 168:21 175:2 186:25 197:2 211:3 pursue 33:22 pushed 64:15 put 33:4 81:22 100:16, 24 107:1, 6 115:10, 23 117:3 132:3 137:3 179:19 190:22 194:24 202:11 210:7 231:25 232:1 234:17 puts 115:4 putting 5:9 132:1 194:21 195:3	126:8 133:4 133:5, 6 139:7, 10, 16 139:17, 18, 22 139:24 145:23 146:11, 22 152:18 153:16 154:11 164:3 166:1 172:6 175:14, 17, 17 175:18 176:1 179:15, 16, 24 179:24 180:1 180:2, 6, 9 193:12 195:7 195:10 197:25, 25 199:12 200:20, 21, 21 214:23 218:25 227:4 227:6 232:15 235:15 241:20, 23 242:11 244:6 244:9 245:1 question's 217:3 questioned 29:21 154:13 155:11 questioning 18:6 75:22 80:13 82:21 142:19 186:25 198:6 199:5 218:2 226:20 228:22 240:22 243:13 questions 7:8 7:12 14:13 14:14 18:7	18:19 20:13 31:2 42:19 46:15 120:12 121:2 133:11 133:13 137:1 139:9 142:14 155:8 158:21 159:12 164:21 178:16 220:3 221:20 222:22 225:10 226:23 229:3 231:20 235:12 236:21 240:14 246:2 246:4 quick 222:25 quite 41:7 61:17 133:13 230:15 quote 240:19 quote-unquote 239:9
R			
R 2:1, 11			
radiating 54:19 55:9 85:20 86:1 205:22 207:23			
radiation 86:3			
radicular 88:25 90:12			
radiculopathy 206:24			
radiological 149:14			
radiologist 57:14, 16, 20 60:7 64:5 74:23 76:15			
radiologists			

57:22 58:2	126:16 129:1	194:20	9:14,17
58:24	146:18	214:24	42:11 90:1
radiology	147:16	215:19 216:5	171:6 226:8
57:22,23	148:12 153:3	218:1 240:20	reconstruc...
59:5 60:6,12	153:21	245:5	10:6 91:2
60:14	162:13,19	received 14:25	record 5:2,11
Randomized	164:3 165:23	26:22 29:10	12:22,23
34:1	168:5 180:8	31:15 46:3	13:17 15:3,6
randomly 126:4	184:4 188:19	54:6 63:2	15:20,21
range 186:13	190:4 193:10	68:1 72:14	16:2,2,4
rare 92:5	198:10 199:1	86:5,15 94:3	17:25 18:22
rate 54:25	203:24	94:5,7 98:6	18:24 19:23
rated 54:18	204:10 217:3	104:1 108:12	29:3 31:11
55:8,21,23	219:1 223:21	109:5,13	37:9,19,21
rattle 42:2	224:15	113:4 114:17	39:1 43:16
reach 197:20	233:13	115:15 116:3	47:16,18
200:22	236:24 237:2	117:15	48:20 50:20
reaching 140:7	240:16	128:13 135:4	51:16 52:5
200:7,12	rear-end	187:12 188:3	53:7,9 58:5
201:16	226:22	189:1 202:3	58:6,21
read 18:2,9,11	rear-ended	222:4 224:10	61:13,21
18:12 29:19	151:3	receiving	62:4,9,10,14
29:19 30:18	reask 19:1	26:22	65:20 66:7
120:10 127:6	126:7 146:11	recertific...	66:13,14
153:24 154:2	241:21	7:16	67:11 70:24
154:17,19	reason 22:9	recertified	71:13,15
155:8 193:6	112:9 142:2	7:15	75:4,5 76:13
197:15,15,21	155:20,22	recess 62:12	77:17,19
198:23 212:8	156:22	113:1 199:7	78:1 83:4
212:18 219:1	175:10 181:3	recollect	86:10,11
227:3,5	182:4,10,17	203:17	87:19 93:2,3
241:22 244:8	184:22	recollection	94:2,10 95:5
Ready 33:4	190:17	29:20 77:6	97:20 102:4
realistically	191:19	84:1 85:12	102:12,14,21
141:10	204:19 219:9	96:5 98:9	102:22
reality 242:4	224:20	216:6	103:15 106:2
really 37:11	reasonable	recommenda...	106:17 110:2
37:15 41:9	46:17 139:21	89:17,25	112:18,19
59:16 68:22	reasonably	recommenda...	113:3,14,15
69:6 76:2	139:18	170:7 172:12	114:3,6
80:24,25	reasons 137:13	recommended	122:14,15,24
88:23 91:4	175:11	97:16	125:23,25
97:3 105:16	181:10	recon 67:6	126:1 127:19
111:24 118:6	recall 20:10	reconstruct	133:3,24
118:7 121:6	40:17 154:25	104:12	134:11
124:4 125:4	173:15,17	reconstruc...	135:19 136:2

137:24	8:7,8,22,22	122:8 124:15	128:9 147:12
139:11 140:2	9:1 13:24	144:25 145:6	200:17 205:3
143:6 145:20	20:15,16,20	160:16 169:4	205:15 222:4
146:9 159:2	21:2 22:7,11	169:21 186:2	223:17
159:4,17	43:11,14	199:18	224:22
168:8,17	45:11,17,22	213:21	225:12
173:23 175:4	45:25 46:2	226:20	regardless
175:23 176:3	47:1 48:14	reference	225:5
179:22	50:7 51:12	32:12 69:11	regimen 217:9
180:13	52:22 72:5	77:4 79:13	217:13
186:16,21	77:18 82:1	145:24	Regional 98:21
187:4 191:24	83:8,9 86:24	168:10	99:19
192:3 193:2	87:1,2 96:5	221:25 232:3	regular 59:15
193:15	149:14	references	regularly
195:13,15	161:14	82:2 202:20	57:21
196:9 197:24	191:20 192:7	243:11	regulation
198:2,3,5	203:2 204:15	referred 64:2	24:13 27:14
199:9 202:12	215:24	146:12 147:6	regulations
202:13,19	217:15 219:9	referring	205:3
203:11	219:14 223:6	30:14 56:12	relate 13:7
210:10,12,17	224:4,9,11	66:10 78:20	related 21:1
210:19	229:17,24	79:1 80:19	207:9 208:1
212:16 213:8	231:20 237:8	80:21 147:17	208:7 235:25
222:18 223:4	237:18	169:10 177:1	238:5
224:8 225:24	239:19,22	215:3,6,7	relates 86:18
225:25 227:8	240:10	225:13 243:8	relating
227:10	recovery	refers 24:14	223:22 224:2
228:19	117:23,25	79:16 143:21	relationship
229:12	118:21	185:16	140:11,13,23
231:12,13	recreate 56:25	reflect 174:6	141:12,16
232:13,16,19	104:20	reflection	150:4
233:21,24	RECROSS 236:22	173:11,18,25	relative
235:9 241:2	redacted 20:5	reflects 198:5	247:11,13
241:20,25	redactions	refresh 35:13	relatively
242:2 243:5	203:4	77:5 85:12	96:24
244:11,13	redirect	96:4 98:9	relaxers 88:10
246:7	221:21	refreshes	release 121:13
recorded	222:23	29:19	122:1
181:20	231:22	regard 52:19	relevance
239:16,24	236:23,25	regarding 9:8	146:17
recording	240:13	35:22 46:13	147:13,14
173:14	reduced 52:18	52:14 55:6	149:2
174:11	refer 47:8	56:2 62:22	relevant
recordings	62:3 77:8	72:7 76:18	146:18
175:13	79:2,16	77:1 103:1	147:16
records 3:14	84:25 97:20	124:7 128:9	148:18 211:9

213:2,5	repeat 84:16	172:13 173:3	36:6,10,19
240:7	repeatedly	176:9,20,24	36:22 37:3
relied 87:1	146:2	176:24	39:7 57:24
relief 169:16	rephrase 58:16	177:23	74:25 76:18
170:21	58:18 82:11	178:18 190:2	84:9 161:17
171:19 172:5	83:19 87:13	190:5 192:11	171:14,15
relies 58:10	139:19,23	194:8,22	186:1,11
rely 47:1	151:15	197:1,5,6,10	197:3 200:16
57:21 58:1	168:13 179:1	197:14	203:5 209:16
59:14,20	report 3:23	199:13,13,20	209:18
remainder	4:14 19:18	201:17,21	214:13
125:19	19:21 46:13	202:2,7,10	224:14
remained	51:19 57:19	202:24	229:25
213:25	61:7 62:6	203:14,17,20	231:16 232:8
remaining	76:22 77:5,8	204:5,9,12	232:10 240:6
220:1	77:24 78:3	204:16,18,24	represent
remedied 90:5	78:10,19	206:10,11	29:20 74:22
remember 41:13	79:10,13,21	207:3,13,14	91:14 92:21
46:6 47:6	80:7,14,17	207:15,18	113:8 239:11
77:15 84:6	81:2,15,21	208:10,13	represents
84:24 97:18	81:22 82:3,4	209:3,9,11	120:17
150:17,25	82:14,15	209:13,14	reproducing
151:20,23	97:22 98:3,6	218:10	10:21
152:12 153:5	120:3,5	221:24	reps 174:14
154:16 161:6	122:8 142:24	224:18	request 46:16
174:6 181:24	143:7,8,14	226:15	168:22
193:22	143:16 144:1	228:24	requested
194:13 201:6	144:8,14	report's	197:4 239:19
201:9 239:7	145:11 146:3	206:20	239:21
239:8,13	146:13,14	reported 126:9	require 23:21
240:22	147:5,11,22	148:6 150:13	24:4 126:15
244:21	148:18	161:13	required 90:1
remote 1:8	149:10,17,20	169:15	151:5 170:14
106:6	150:16,23,24	170:18,19	177:15
remotely 1:12	154:3 156:5	179:8 191:21	206:12 207:1
remove 104:16	156:20,25	reporter 1:11	212:2 220:21
108:6	157:5,14,14	5:9 38:11	requirement
removed 105:13	157:19,22,24	81:24 195:24	198:7,9,11
render 77:24	158:2 160:23	227:5 241:19	198:13,14
rendered 45:18	161:14,15	241:22 244:6	requires 221:3
223:17	162:9 164:23	244:8 247:4	rescheduled
rep 173:25	165:3,5,10	Reporters 1:22	12:3
174:8	165:11 166:5	reporting	research 10:15
rep's 174:10	166:24 167:9	52:16	32:21 33:6
repair 113:12	168:1,3,19	reports 30:10	33:16,24
114:9	168:20,25	30:15,15	34:13 180:16

184:15	89:4,15	67:13 72:5	118:5 120:9
Reserve 33:4	102:7 118:24	76:18 77:1	122:21
reset 104:21	224:15,24	80:3 81:10	127:12 128:9
residency 6:11	resulted 20:22	81:19 82:1	129:2,21
6:22 9:10	resulting	83:20,25	132:22
60:5	155:12 231:4	84:9 86:24	134:20,25
residents	results 136:8	149:11,21	135:5,10,13
10:14	resume 31:21	154:4 158:3	135:14 137:9
resolved 124:2	209:23	160:9 161:13	138:6,18
resonance	resumes 211:13	180:16 194:6	140:14,17,21
56:15	retract 105:2	199:14 200:6	141:17,21,25
resort 47:10	107:6	217:12	142:20
resources	retracted	219:14	143:16,19,23
217:18	104:11 107:5	229:15	144:6,19,22
respect 46:15	retractor	reviewing	145:18
50:23 64:3	105:14	10:23 50:24	146:16,24
65:16 68:11	106:21,23,25	58:25	148:7,15
72:20 123:1	107:7	revision 91:3	150:5,9
123:24 124:6	retractors	rib 157:2	151:13
205:17	107:2	ribs 112:1	153:13,25
221:13	review 7:1,4	ridiculous	155:21,25
226:13	10:24 13:24	126:24 196:7	156:14,19
respective	43:11 49:14	right 10:16	157:11,17,22
228:16	49:19 50:7	13:15 15:15	158:3,10,15
respiratory	52:21 54:4	17:8 19:7,13	159:1,14,21
99:9 119:7	59:23 60:17	19:20 20:1,7	160:5,14,18
responded	60:24 62:19	20:16,25	161:1,14,22
88:20 136:22	65:14 74:25	21:10,22	162:2,22
response 71:1	76:22 83:8	22:6 23:2,12	163:1,5,12
71:11 126:7	83:11,23	24:14 28:3,9	163:18 164:4
136:22 137:4	84:3,4,13	28:17,19	164:17 165:9
137:19 180:9	89:15 100:2	30:5,25	165:14 167:1
241:20	100:14	33:17 34:9	167:14 168:5
responsibi...	149:24	35:5,10 36:1	168:21 169:7
8:24	152:25	38:19 44:9	169:22
responsible	153:17	46:24 48:12	170:16
30:9,9 225:3	154:11,20	48:13 49:7	171:10,12,13
responsive	159:20	50:2 52:11	171:23 175:2
71:2,11	197:19	55:22 61:5	176:7 177:16
restate 175:25	217:25 218:5	71:10 76:10	177:20
restore 184:21	219:16 220:8	77:7,8 86:3	178:10,14,24
restored	237:7,18	89:3 96:9	179:5,8
184:18 185:1	242:20	103:12	180:22 181:4
result 27:18	reviewed 45:10	104:21	182:22 183:5
30:1 56:4	46:23 60:10	110:10,24	183:15 184:5
83:11 84:13	65:9,12	111:25,25	185:17,19,24

186:4,12	98:19 128:4	saw 46:7 61:4	143:10 208:5
188:12,16,20	129:21 130:3	71:8 84:14	210:22
189:12,13,20	130:8,11	84:15,18,22	234:15
189:25	role 173:21	85:13 86:7	scanned 210:21
191:22 192:2	roll 13:14	86:16,25	scar 121:11
192:25	room 25:4	88:5 89:2	scene 149:17
194:21 197:2	100:18 174:6	91:7 95:24	scheduled
197:14	root 52:2	114:11,13	20:24 21:15
201:18	73:12,12,14	121:5 124:3	Scheuermann's
204:15 206:7	roots 51:23	152:13	91:17,20,22
206:14,18	73:11 164:16	155:22	92:1 94:13
207:1,6,11	ROSENBERG 1:22	167:21	94:25 95:14
208:8,14,17	rotate 9:11	224:10	school 6:9,9
208:22 209:6	60:11	225:23	6:15 33:22
209:17,19,24	rotations 9:15	saying 79:23	184:25 201:7
210:15	rounding	80:24 87:13	science 11:1
211:24	200:13,22	106:20	201:3
212:19 215:9	rounds 60:9	121:25	scientific...
216:23 217:7	row 222:21	126:18	10:25
221:2,6,12	rule 15:14	158:17 170:1	scissor 106:22
222:1,6	67:2 75:18	170:23,23	scoliosis 9:17
223:16 225:5	166:23	178:14 179:1	10:4,5,6,24
237:5,14,24	run 110:13	179:7 188:14	157:3
240:11,25	159:13	193:5 194:20	scoot 19:10
241:10 243:4	running 233:12	211:12	scope 226:4
243:16	rupture 96:23	237:12 239:7	228:22
245:17,24	97:2	239:9 243:15	231:17
rigid 130:15		says 18:3	scrape 105:8
risen 65:2,3	S	33:25 54:22	screen 14:18
risk 91:1,3,4	S 2:1,8,11,11	78:11 79:15	14:19,20
91:5 99:8,9	3:5 4:1	81:4 82:18	16:13,22
100:4 101:9	S 172:24 73:14	120:9 144:8	22:4,8 28:25
119:14 122:6	sacral 72:24	144:13,17	29:1,13
216:24	sacrum 129:6	156:7 170:6	31:10,18
risks 22:5	187:22	170:11	34:21,23
90:15,19,21	230:13	205:14 207:4	38:2,13,21
90:22,24,25	safe 118:13	212:21 217:4	59:10 62:21
98:25 99:2	safely 101:8	238:19 239:5	111:7 174:2
119:10,13,22	112:9	240:3	186:14,23
119:24	safety 34:3	scale 41:18	187:10,24
Road 1:23 2:3	118:15	54:23,24	188:6 189:4
robot 173:25	Sakr 49:13	scales 52:17	189:9 201:23
174:19	53:5,15,16	scan 33:24	201:24
rocks 94:18	56:7	101:11,11	screens 59:13
rod 131:25	Sakr's 51:19	110:14	59:16
rods 90:10	56:6	111:21	screw 108:23

111:15 112:9	158:2 161:1	97:6 105:16	241:9
129:18	161:8 162:2	105:25 106:9	seeing 23:4
130:24 131:4	168:25	106:9,13,21	35:15 51:8
screws 90:10	169:14	109:23 110:9	111:15
98:19 108:20	210:24 211:3	111:5,13,22	150:17 155:5
112:16	sections 162:1	111:23 112:3	166:24
121:14 128:4	187:21	112:11 115:1	191:25
128:25 129:1	secured 130:9	115:21 116:9	213:19
129:2,17,22	securing	116:11 120:7	217:10 225:9
129:23 130:9	131:25	121:20	seen 13:11
130:9 131:13	sedation 119:5	128:19,25	16:13 26:2
131:25 190:8	119:8	129:2,11,17	29:15,17
190:23,23	see 9:22 14:19	129:21 134:9	46:23 53:4
235:3 236:8	14:20 16:12	134:23 144:9	92:9 165:21
scroll 20:3	16:22,25	144:13,19	192:4,4,5
30:12 176:10	17:1,19 20:9	145:1 147:3	202:10
176:15	22:18 26:7	150:23 158:4	203:14
178:18 206:9	27:5,9,15	158:12 160:6	211:13
206:19	29:1,13,19	160:10 161:5	219:21
scrolling 53:1	30:20 31:18	161:16,17	236:19
scrubs 173:22	32:12,22	162:13	segment 103:11
seat 225:20	34:3,23,25	164:13,14,16	segments 92:15
second 7:16	34:25 35:3	165:13,16,20	self-evalu...
38:24 86:20	35:12 36:15	166:1,17	155:15
132:21	49:2,12 59:9	167:5,7,13	self-reported
144:18,22	59:13,21,21	167:16 169:2	171:19
145:15	61:4 63:17	169:17 170:8	sending 118:8
146:15 147:8	64:7,7,8,12	172:16	sense 48:4
147:24 151:1	64:13,18,20	173:11,14,20	241:17
153:8 158:6	64:25 68:15	177:4,11	sent 214:5
160:16	68:18,22	182:16 184:8	sentence 17:10
178:17	69:1,11,18	186:19 187:1	18:11,13
194:17	69:24 70:1	187:10,22	30:18 144:9
199:11 203:8	70:18 71:17	188:6 201:24	144:17 208:5
206:10 210:9	72:20,24	201:25 202:8	separate 35:19
215:16	73:3,6,7,10	205:19	149:22 151:6
Secondarily	73:11,13,15	206:20 208:3	September
226:13	77:6 80:7	210:22,24	143:7 194:8
Secondly	81:11 82:18	211:20	sequence 57:2
228:23	85:18 86:19	214:12	131:5
Secretary	87:10,10,12	223:13	series 54:12
14:24 16:17	91:23 92:16	227:22 228:1	serve 8:6
section 24:14	92:23 94:15	228:8,9,9	served 9:16
144:1 147:2	95:8,12	232:25 233:9	40:24 41:1
148:1 156:5	96:14,18,19	233:10	service 6:8
156:8,9	96:23,24	237:20 238:3	212:4 225:6

225:8	52:19 54:2	73:11 96:25	site 34:12,13
serving 40:20	55:17,20	97:6 121:25	sitting 85:21
set 17:13	77:7,9 78:2	167:7	situation
39:22 112:23	79:14,22,23	signature 20:6	24:21 26:4
119:6 137:1	81:3,14	20:7	89:20,21,23
138:21 139:9	82:13 84:2,7	signed 144:14	119:15
187:20 247:8	shoulders	208:10	124:12,24
settlement	51:13 63:11	significance	130:22 141:2
135:14 136:8	77:2 82:23	68:11 70:13	153:15 200:2
seven 9:25	83:24 205:23	74:13 78:13	200:10
10:23 26:15	show 47:21,23	significant	218:19 234:7
41:11 162:12	48:23,25	81:4 82:16	situations
246:3	79:20 85:4	120:21 135:3	218:15
severe 25:1	92:19 97:13	138:2 141:1	six 9:25 10:22
85:17 89:9	97:24 103:24	151:9 157:15	23:16 36:17
90:3 119:5	108:9 109:11	166:22	41:11 101:25
122:5 188:21	113:7 114:20	169:16	117:24 119:2
206:4,24	128:12 158:7	170:20	131:16 172:3
207:24	186:14	171:19	184:20
shade 57:5	187:25	178:23 194:5	218:18
shades 57:11	188:23 201:8	207:22	sixth 168:24
shape 182:20	201:15,16	215:15	skeletonized
share 14:18	208:17 211:6	signing 20:10	230:17
28:25 31:10	245:16	silhouette	skin 21:25
34:21 47:11	showed 165:8	128:19	101:7,15,17
62:21 186:23	226:16	129:20	104:7,8
189:4,9	showing 15:9	Silvadene	115:12
201:23	49:10 102:16	115:25	117:10,12
sharing 74:8,9	103:1 185:7	silver 105:15	skip 23:7
106:5 180:15	202:16 211:3	115:23	sleep 54:21
187:24	shown 175:2	similar 76:5	55:13,25
sharp 54:18	187:15,17	109:9 117:20	100:16,24
55:21 86:1	shows 33:16	similarly	238:2
sharper 59:19	34:20 49:6	165:5 199:20	slick 195:6,18
shiny 108:20	73:19 150:9	simple 195:10	slickness
shoot 121:17	173:19	simply 162:24	196:3
shooting	shrink 176:11	singular 26:4	slide 188:23
205:22	side 55:22,22	sir 140:4	slightly 73:3
short-term	86:3 110:22	148:22	73:10 235:20
171:1,24	119:3 129:11	179:15	sloped 94:23
shorter 68:20	129:14	180:15	slow 188:1
68:24	sides 85:23	212:10	slowly 44:8
shortly 15:23	86:2 129:2	238:13	small 16:23,24
61:5,6	129:23	239:21	33:11,14
shoulder 50:2	sign 97:2	sit 25:11 84:6	205:10
51:9 52:11	signal 73:2,3	132:5	smaller 201:1

201:4	137:20 139:2	52:17 157:20	72:7,14,20
smart 79:19	151:6 152:22	192:14	72:21,23,25
smashed 151:4	153:16 157:7	213:24 217:8	73:16,17,19
snap 70:5	157:8 161:24	specificat...	73:23 74:2
snowy 94:23	163:23 164:6	17:12	88:14 89:11
societies	164:16 170:7	specifics	90:2,7,8,13
210:24	179:3 183:3	218:13	90:21 92:17
211:18	187:15 199:4	speculate	95:19 97:5
society 211:14	217:24 232:9	233:18	104:12,13,22
soft 164:14	sought 113:11	241:12	104:24 107:3
166:22	114:8	spend 59:6	107:8,13
solve 126:9	sound 148:15	spinal 4:11,12	108:18 121:7
somebody 37:14	173:15 192:2	4:13 10:9,18	128:21,22
75:20 119:19	sounds 12:25	10:19 51:23	129:24 130:6
144:12	61:11 134:17	64:16,17,18	130:14
174:21	181:4	64:22,23	134:19,22
245:21	source 172:6	73:7,7,8	135:2,8
something's	200:2,3	78:25 112:14	136:5 138:1
44:7	sources 180:18	112:15	138:8,15
somewhat 93:12	space 110:15	121:10,20	143:18 148:3
188:1	span 41:7	155:12	148:10 156:8
soon 231:6	148:14,23	180:22	156:18 157:2
sorry 12:21	222:10	186:24	157:7,8,21
40:14 41:19	223:11	187:12,16	162:2,20,25
63:19 65:21	spanning	188:3,8	163:10,17,17
68:21 69:6,6	243:21	189:1 230:4	164:7,19,24
69:12 72:13	speak 161:19	spine 3:13,14	165:6,24
73:1 83:14	204:10 224:3	6:2,13 9:13	166:2,13,19
83:15,18	232:13	9:17,17,24	182:9,9
84:16 85:6	speaking	10:1,3,9,18	183:11,20
86:6 88:4	138:15 166:7	10:21 12:13	185:17
95:4 96:10	242:12	12:16 13:20	188:15 189:6
96:11 106:4	special 23:5	32:13,17	189:12,24
114:21 127:9	101:4 115:22	35:4,20	190:11,16,16
129:8 133:17	specialists	36:17 43:5	197:16
143:12 158:5	7:6	43:12 45:19	201:22 202:8
159:19 191:8	specialties	45:25 46:3	204:4 207:4
195:14	9:11	50:12,13,14	208:22 218:8
198:18 200:3	specialty 59:1	50:16 51:8	220:18 222:1
204:1 228:3	59:3	52:14,23	225:22
233:25	specific 36:12	53:23 56:8	227:20 230:8
sort 16:8 17:5	42:16 69:5	59:2,6 61:10	230:10,14,25
32:11 36:4	74:4 84:2	63:1,11,12	231:3 232:22
54:23 56:11	100:22 181:5	65:8 67:24	234:8,10
88:3 110:5	specifically	68:1,6,11,13	235:16,17,18
135:12 136:4	20:24 23:24	68:20 70:6	236:4,12

238:21 242:6 242:13,25 245:10,22 spine's 102:9 130:2 203:23 203:24 204:15 spines 187:19 230:17 spinning 234:11 spinous 108:19 112:4 spins 56:22 split 192:17 195:8 196:13 197:6,20 199:16 200:7 200:12,22 201:17 228:23 spoken 215:18 spongy 70:9 spontaneous 44:7 sports 9:12 spreadsheet 48:8 spurt 92:4 square 63:18 68:14,16,16 68:18 69:15 squared 69:3 stability 230:9 stabilization 120:24 stabilizing 231:3 stable 100:3,7 stairs 118:13 stamped 48:4 stand 94:17 95:1 190:10 230:5 241:17 standard 134:7	164:6 198:8 198:25 211:17 234:5 234:13 standardizing 10:24 standing 7:22 7:24 27:23 82:22 85:21 89:10 103:6 190:11 245:14 stands 103:2 staples 117:1 117:13 start 6:16 32:16 44:14 44:15 120:9 179:25 221:22 started 8:25 12:14 124:24 155:5 starting 128:24 129:3 158:9 244:7 starts 15:24 72:23 state 1:11 5:24 7:20,21 17:18,22 19:5 23:10 23:13,19,22 24:8,13,15 27:14 28:6 28:19 29:23 30:5,7 39:15 39:21 124:17 149:10 161:5 161:9 176:23 177:10,19 190:12 221:11,13 247:4,21 state-issued 23:20 24:3,5	statement 81:12 154:10 155:17 203:6 216:11 219:7 222:3 statements 17:7 states 6:7 21:14 23:20 181:2 216:7 stating 241:7 status 8:4 120:19 stay 118:6 stayed 18:4 19:9 212:19 213:21 stemming 125:2 stenographic 15:21 stenograph... 247:7 steps 118:12 steroid 51:20 51:21 171:18 stimulation 121:18 stones 183:1 stop 32:7 74:8 74:9 122:1 176:17 180:15 187:24 stopped 30:23 story 231:18 straight 95:9 95:10 112:4 130:13 230:11 straighten 104:19,24 129:25 130:12,17 179:4 straighter 121:13 130:4	strategy 234:19 street 2:8 21:17 strength 94:22 118:18 181:16 235:25 stress 190:17 190:25 227:20 236:5 stricken 71:12 126:10 137:19 strictly 235:24 strike 67:17 71:1 80:15 97:14 126:7 136:21 striking 127:1 strong 88:8 219:10 233:2 structure 70:16 230:19 structures 57:12 112:12 struggling 233:9 stuck 121:12 130:15 studies 57:13 60:1,16 61:2 61:5,9,24 62:3,18 74:7 74:10 75:2 76:18,19 78:17 79:2 80:16,20 81:19 83:9 83:12,12,20 83:23,25 84:5,14,19 89:8 123:12 158:1,7 162:24 185:6
--	--	--	---

230:16	22:6,25	41:20 46:21	surgeon 7:6
study 34:2,6	substances	48:2 51:7	46:11 51:8
59:12 62:2	20:24 28:1	53:25 60:5	115:4 116:16
65:9,11	substantial	63:7,25	174:20
67:13,18,20	27:13	68:12 69:12	209:12
67:23 68:4	suck 108:24	76:1 78:9	215:20
71:25 72:17	suction 107:10	82:8 83:16	216:12
73:16,17	107:23,24	84:17 85:8	217:22
77:14,14	108:2,23,24	91:22 96:17	218:21
78:12,21,23	suffer 217:1	97:21 100:2	surgeons 6:18
78:24 79:3,4	suffered	100:20 101:6	211:20,23
79:8,17,24	124:14,21	101:8 104:6	212:13,25
79:25 80:3,7	suffering	105:5 108:17	214:4 215:18
81:4,10 82:2	94:13 155:1	109:20 110:1	216:1,6
82:3,17,18	sufficient	111:3,21	surgeries 9:24
128:10	181:12,15	118:8 120:11	10:1,4,4
162:21	sufficiently	123:10	36:17 92:10
stuff 159:8	116:17	128:18	141:1 170:3
163:24	suggest 215:14	129:13 142:1	174:11 176:4
164:16	244:1	142:18,21	191:19,22
229:25	suggesting	144:15	surgery 6:14
sub 183:13	241:5	150:12,24	7:1 9:8,10
subject 3:9	suit 40:10	153:17	9:13,13,17
29:5 159:1	Suite 2:8	155:15 158:1	10:13,19,21
159:21	summary 157:5	163:3 166:24	11:24 14:10
230:18	166:5 167:2	166:24	25:8,9 59:2
submitted	superfluous	174:13 176:2	84:8,11 90:6
10:15	196:1	176:18	90:16,21,22
submitting	SUPERIOR 1:1	181:20	90:24 91:3
7:13	supervising	184:12,12	91:12 92:2
subparagraph	27:20,23	186:23	97:16,19
205:21	supine 25:13	201:12 203:8	98:20 99:11
subscapularis	support 130:2	203:14 205:8	100:17,20,25
77:10	141:2	210:5 211:16	101:10,17
subsequent	supports 230:7	214:12,20	109:3 113:9
123:6,13,19	suppose 15:13	217:2,18	113:12 114:9
124:21	113:18	219:23	115:3 117:22
125:20 138:5	supposed 33:3	227:13	121:7 128:2
183:12	162:10	236:24	141:4,6
184:22 185:3	178:11	244:16	148:3,3
207:17	supposedly	245:23	173:3 177:2
208:14	140:20	surface 70:10	177:15 178:1
229:23 233:7	sure 6:6 9:9	94:24 105:6	178:12
subspecialty	27:23 30:8	surfaces	179:13
9:16	36:4,7 37:6	107:12	181:18
substance 22:3	38:20 39:23	131:18	184:14

189:15	suture 117:10	T2 191:3	62:12 71:25
191:20	117:12	T4 128:24	92:22 104:10
206:18,23,23	sutures 116:22	189:12	106:24 113:1
207:1 210:2	swelling 115:7	T7 68:16	116:13
213:19	160:2 165:1	T8 68:15 72:13	158:25
218:17 234:9	165:8,13	T9 68:17,21	159:20
237:24	166:25 167:5	121:9 176:6	160:13
238:16,20,21	167:22,24	188:11,16	164:24 165:6
239:6,10	switch 192:10	189:6,16	166:3 167:20
240:5,6	sworn 5:14	table 100:22	199:7 247:7
surgical 3:24	149:22 152:2	110:8	takes 58:9
3:25 4:3,4	153:1,2	tables 199:21	119:9 131:15
12:3 89:20	154:5,21	199:22 200:1	131:17
89:21,23	155:9 239:16	200:5,6,9,11	220:23
100:22	242:22	tablets 25:15	talk 38:11
101:21 103:2	243:17	tailored 229:7	41:20 66:17
104:1 108:12	symptom 44:15	take 7:2 12:1	78:22,24
109:5,13	symptoms 44:3	12:9 13:7	81:24 82:14
120:13,18	44:14 88:25	16:12 25:23	137:14 139:5
141:9 148:2	90:12 206:4	26:6 27:24	140:10
148:9 173:7	207:22,24,25	29:18 32:10	151:18
176:19,24	syndrome 10:20	38:5 47:24	160:25 161:8
216:11 234:7	system 19:23	57:23 58:23	171:16 173:6
245:3	30:21 39:15	58:23 67:23	192:10,16
surprised	43:16 101:12	72:11 74:6	195:24
183:25	101:20 108:2	76:3 79:7	237:10
surround 231:3	110:11,14	92:10 99:18	talked 91:15
surrounding	111:9 121:15	100:17	142:25
166:9	121:24 131:8	101:24 102:3	143:12 150:3
suspect 137:19	131:19 174:9	102:10	191:8 207:14
suspended	systems 174:17	107:11	talking 28:2
17:19,23		111:17,18	30:2 41:6
19:6,8	T	112:22	82:9 96:16
212:11	T2 :11 3:5 4:1	115:11	145:12
213:11	190:3 227:22	116:18	148:12,22,25
220:11	T10 68:21,25	118:12 120:1	149:1,16
suspension	69:23 188:19	134:25 139:2	151:23 174:7
3:10 20:15	T10-11 74:15	142:24 159:8	176:22
20:22 23:1	T10-T11 227:22	162:11 172:2	182:22 183:3
29:6,24	T11 68:25	174:17	183:4 186:2
212:19	69:18 188:19	191:18	186:3,10
214:10	T11-12 73:9	192:14	209:4,9
220:14	T12 69:1 73:21	224:17	talks 79:21
sustained 86:5	176:7 188:11	230:17 242:9	205:21
127:23	188:16 189:6	245:18	Tampa 25:3,25
205:18	189:16	taken 1:9,12	26:21

Tanabe 34:8	227:22 228:1	74:24 76:16	33:13,25
tap 131:12	231:6 233:3	76:17 79:6	58:14 64:10
tape 115:1	tendon 77:11	92:22 93:21	76:24 87:16
tapping 111:10	tendonitis	103:8 138:24	100:10
taps 111:12	77:11	150:8 152:2	105:15 125:9
targeting	term 19:8 44:9	152:5 153:2	125:15,21
207:15	50:12 70:13	154:5,21	133:21 151:6
teach 10:14	185:6,16	193:23 219:3	159:24 160:5
tear 77:10,11	terminology	219:4 223:17	215:21
84:10	70:14	225:16	217:20
technical	terms 40:20	226:18 237:2	218:22
50:12	59:10 74:19	237:11	244:21
technician	77:7 79:6	239:17	things 36:3
74:1	124:13	243:17	44:20 59:13
technological	140:23	244:22 247:6	78:12,13,14
174:8	146:17 194:6	testing 100:1	93:12 118:17
telemetry	194:23 201:2	tests 56:6	121:6 130:8
110:14,17	235:14	textbooks	137:6 142:16
tell 9:7 43:24	test 34:6	197:19,21	142:16
46:18 47:8	73:25 164:1	199:14	143:11
49:11,14	tested 163:23	Thank 5:12,16	147:16
51:11 53:2	164:5	7:18 14:7	160:19
54:15 63:5	testified 5:15	55:3 67:22	165:21
68:10 90:18	11:15 45:21	71:23 72:10	174:19 190:1
91:20 104:4	58:9 59:22	74:6 84:12	203:22
107:14	83:6 86:23	92:18 95:20	211:11
122:13	138:16 154:6	96:13 97:12	218:16 226:2
128:16	175:6 218:8	98:2 103:23	226:10
129:15	219:18 220:4	109:10	228:21
142:20 171:9	242:24	112:17	230:20,24
176:17	testifies	117:14,21	232:2 233:8
191:16	38:14	119:25 121:1	234:17
193:25	testify 30:9	122:7 129:10	238:11,11,24
201:24	66:19 80:11	132:4,11	239:13,15
212:24	80:11 81:22	172:23	240:5 245:16
215:25	188:18 209:1	184:12	think 10:21
216:19	testifying	205:11,13,14	12:11 13:19
220:15	34:18 35:14	228:12 230:3	15:16 16:6
221:18	38:22 79:11	233:19 234:2	28:10 29:17
229:15	79:12 131:16	234:20	30:13 34:16
telling 181:24	testimonies	236:15,20	39:4,25 43:4
temporary 3:9	218:6	therapy 88:19	45:21 46:1
29:5,24	testimony	169:5 170:12	49:1 59:22
90:25 170:25	11:22 12:2	thereof 232:9	66:2 72:4
tend 68:14	35:8 38:1,1	thin 70:10	80:23 81:23
73:23 96:14	40:2,3,8,9	thing 18:3	82:10,11

83:2 87:15	56:8 63:11	222:21	103:15 106:2
88:25 92:6	67:24 68:1, 6	235:15 237:4	106:17 107:5
103:25 109:8	68:11 72:7	242:23 243:9	112:20 113:3
122:18 124:3	73:16, 19	243:22	113:16 114:6
132:9 137:18	89:9 92:17	throw 230:25	122:16, 24
141:14, 25	97:4 104:9	throwing 196:2	124:19 126:2
142:25 143:9	112:6, 7	thrown 225:20	127:19
144:25	123:16	227:13	133:25
150:12	128:24 129:3	thumbs 134:24	134:12
151:12, 22	129:5 130:6	till 26:18	135:20 136:2
152:18 153:9	148:19 156:7	124:3 232:24	136:20
160:1 163:6	156:23 157:2	time 5:3 7:23	137:24
164:20	157:21	8:2 9:2, 6	139:12 140:2
168:12 169:4	160:10, 21	12:6, 9, 24	145:21 146:9
171:1 172:16	162:6, 20, 25	13:17 14:10	152:13
172:17	164:24 165:6	15:7 16:4, 11	153:15 159:5
173:24, 24	166:2 167:20	18:1, 24 23:4	159:17
174:4, 6, 7	182:9 187:21	26:13 35:15	165:20 168:9
175:16	190:15 222:1	37:22 38:2	168:17 175:5
178:13, 19	230:12	39:1 40:3, 14	175:23 177:5
180:8 182:24	thoracolumbar	40:18 41:1	179:12, 17, 22
182:25 184:6	98:17 117:6	41:21 46:10	180:2, 5, 13
184:10	227:21	46:22 47:19	181:9 186:22
185:12 187:9	thought 139:2	48:20 49:25	187:6 193:3
188:18	184:25	51:6 52:10	193:15 194:7
191:13 192:5	211:17	52:15 53:4	194:21
192:23 197:1	thousand 35:18	53:16, 22	195:15 196:9
200:7, 25	39:20, 23	54:3, 17 55:7	198:4 199:9
204:20	three 9:25	56:7 58:7, 21	202:14
209:14, 23	17:23 19:7	59:6 60:23	203:11
213:4 217:16	35:9 36:17	61:14, 21	210:13, 19
217:19	41:15, 24	62:9, 11, 14	212:13, 17
218:19, 22	51:4 72:22	65:22 66:7	213:8, 13, 22
221:3, 13	92:5, 13 96:2	66:15 67:11	214:1 219:21
229:18, 21	128:23, 23	70:25 71:15	222:19 223:4
232:7 235:11	148:13, 23	75:6 76:7, 13	223:11, 15
239:2, 14	149:22	77:20 83:4	226:1 227:10
244:19 246:2	150:19	84:14, 18, 21	228:20
thinking 48:8	153:19	85:13, 15	229:12, 15
third 19:11	155:10	86:7, 12	231:14
86:21 147:9	160:19	87:19 88:5	232:19 233:1
147:23	169:14	91:4, 9 92:8	233:17
160:18 169:1	189:18, 19	93:4, 8, 17	234:24 236:9
170:8 215:19	191:11 218:1	94:10 95:24	237:22 241:3
thoracic 3:17	218:6, 15	96:1, 6, 8, 16	242:2 243:6
50:14, 16	219:17	101:2 102:23	244:13 246:6

247:7	20:14 25:7	transfer 20:17	140:20 185:7
times 11:18, 20	26:19 27:17	transition	treated 9:21
40:5 41:3, 11	40:8, 13	190:15 236:4	21:3 24:19
41:15, 24	122:2 142:5	transporta...	26:20 40:25
59:4 79:20	142:7 192:20	25:10	41:16, 25
92:5, 14	193:18	transported	51:2 53:13
95:14 141:1	200:16	25:3	55:15 56:1
141:3 183:19	215:17, 20, 21	transverse	88:6 138:3
222:21	tolerate	112:3	185:11
232:23	120:21	trash 150:22	215:10
233:12	178:23	151:3, 11	treating 11:10
237:21	tool 234:14	152:9 153:3	11:14 12:16
239:12	tools 234:16	153:12, 20, 23	13:21 30:6
timing 66:17	top 17:1, 17	154:5, 7, 15	43:6, 17 51:6
67:4	69:2, 3 70:1	154:24	52:9 67:7
tin 236:11	95:1 107:15	155:14	140:11, 12
tingling	117:6 128:21	157:11	142:9 147:13
205:24	156:8, 8	160:14	149:25
tiny 56:19	227:14	192:18, 25	223:18
tissue 57:6, 7	topic 15:14	193:21	treatises
57:7 105:10	146:18	196:16	199:14
109:1 166:22	topical 21:9	199:16, 23	treatment 8:20
230:7	21:11 22:15	204:13	14:4 21:3
tissues 59:7	23:5, 16	207:17	35:21 43:10
164:14 166:9	totally 71:5	208:14	43:22 45:18
titled 204:23	153:3, 21	209:11	46:14 47:4, 6
today 11:21, 23	157:21	225:13	50:8, 15, 23
12:2, 4, 9	touch 25:6, 24	226:22	52:6, 22 53:3
28:3 34:18	touched 235:11	trauma 9:13	53:9 54:6
35:25 45:14	track 37:18	10:8 181:17	72:8 83:8, 9
53:19 84:19	39:9 41:9	191:15	85:2, 7 86:4
103:8 123:22	83:17 125:23	238:14	86:15 87:3, 4
127:24 132:5	trained 59:25	traumas 238:4	88:3, 15
132:8, 14, 25	190:19 191:2	traumatic 44:5	135:4 136:16
138:24	training 9:8	travel 26:7	138:3 141:9
145:12 147:8	9:16 10:14	64:24 244:19	168:25
150:8, 14, 21	14:2 60:4, 11	travel-rel...	170:12, 19
151:18 152:7	transcript	244:21	171:17, 21
152:21 163:1	153:1 193:6	traveling	172:11
165:1, 8	247:6	244:24	209:15, 15
166:4 167:21	transcripts	travels 216:4	222:3, 12
192:8 196:14	153:18 154:4	treat 13:25	223:6, 17
209:5 226:18	154:12 219:2	29:7 30:20	224:9 225:4
today's 5:2, 5	223:22	36:25 39:3	234:18
5:8 11:24	237:10	135:13 136:7	treatments
told 19:3	242:21	136:15	52:20 88:21

169:5, 15, 22	105:12	147:20	176:12
171:17	119:19	159:12 168:5	192:13, 19
224:12	125:14	169:24	unaware 153:23
trial 15:24	129:24 190:6	181:10 185:5	154:16, 21
40:2, 3 53:19	190:22	189:18, 19	155:10, 14
75:22 79:12	194:25	190:1 191:11	213:23 218:7
138:11 150:7	196:23 230:1	191:13 194:5	218:11 219:3
150:10, 21	244:17	196:24 197:9	219:20
152:7 186:11	trying 108:7	204:25	242:23 243:4
208:16, 25	126:17	215:18	244:22
240:16 241:8	130:21	222:20 226:6	unchanged
trickle 108:25	151:22	228:21	78:11, 12
tried 194:9	152:21 163:1	235:15	unclear 24:2
trip 118:17	164:12, 25	two-level	undergo 84:10
truck 95:2	165:7 166:4	137:16	100:7 184:18
150:15, 18, 22	167:21 171:2	Tylenol 183:24	219:11
150:25 151:3	174:15	type 92:8	undergone
151:12 152:9	194:15, 24	100:4 105:8	224:13
153:3, 13, 20	196:14 209:5	108:1, 6	underlying
153:23 154:6	222:24	117:18	44:21
154:7, 15, 24	238:20	166:11, 16	underneath
155:14	tube 107:23, 24	167:17 186:9	115:25 151:4
157:11	108:1, 4	217:20	understand
160:14	115:22	218:19 228:8	24:6 26:1
192:18, 25	tubes 107:21	233:2	35:25 44:1
193:22	115:2	types 88:20	80:18, 24
196:16	turn 14:17	191:19	82:24 86:22
199:16, 24	77:22 121:16	typical 11:23	93:18 137:15
204:13	123:21	116:10 118:3	140:24 170:1
207:17	201:21	typically	176:1 198:22
208:14	236:11	26:15 91:23	201:20 225:2
209:11	turns 173:12	163:20	243:10
225:12, 13, 18	twice 41:4, 14	183:22	understanding
225:18	41:15, 23	223:12	11:8 19:8
226:22	192:5	229:16	26:18 46:9
true 17:8 19:3	two 6:23 29:9	237:17	50:6 76:25
80:6 138:12	39:13 41:24		93:11 103:20
212:10	68:25 70:5		119:21
241:16 244:4	72:22 107:1		120:11, 22
247:6	120:14		understood
truly 152:17	121:22 125:8		30:22 119:22
trust 141:16	128:23		underwent
141:21, 24	129:21, 21		206:13, 18
142:3 150:4	131:17 132:2		undomiciled
try 69:14	143:15		141:4
103:11 105:9	145:23		unfair 133:22

U

ultimately

8:23 22:25
89:6 97:15
131:12 171:4
171:4
Umm-hum 97:21
108:11
160:24

unfortunately 108:25 177:6	105:6,11,14 110:16 112:9	215:1 239:15 239:24	103:14 106:2 106:17
uniformity 92:16	112:10,15 117:13,13	versus 5:7 11:10 41:22	109:13 110:2 110:25 111:2
unique 24:21	121:13,15	141:7 195:2	112:18,19
unit 201:4	130:7 131:8	245:4	113:2,15
United 6:7 21:14 181:2 216:7	196:17 200:25 230:1 234:17 238:9	vertebra 63:19 63:20,20,21 63:23 111:5	114:5 122:15 122:23 126:1 127:18
units 193:25 195:7 196:11 196:17,22 201:1	238:23	111:16 112:7	133:24
University 6:6 6:9 9:10	usually 73:9 92:10,11,15 116:15 118:4 167:18 168:6 168:22 197:3 198:10	vertebrae 166:8 177:20 177:21,25 189:18 230:6 231:5	134:11 135:19 136:1 136:19 137:23
unlimited 212:3,22	utilizing 90:9 101:14,19 111:9 131:11	vertebral 68:13,19,20 68:23,24 69:7,14,16 69:17,19,21 69:25 70:3 73:21 92:13 92:15 108:21 111:4,22 112:2,2 123:16 131:1 165:24 182:20 183:4	139:11 140:1 145:20 146:8 159:4,16 168:8,16 173:9,23 174:14 175:4 175:13,22 179:21,22 180:12 186:21 187:4 193:2,14 195:15 196:8 198:3 199:8 202:13 203:10
UNN-L-1873-23 1:2			210:12,18 212:16 213:7 222:18 223:3 225:25 227:9 228:19 229:11 231:13 232:18 233:21 234:21,22 235:2 241:2 242:1 243:5 244:12 246:7
unrestricted 8:1 16:8,10 212:3,9,21 213:16 214:1	V	video 5:2 12:23 13:16 15:6 16:2,3 17:25 18:21 18:23 37:21 38:25 47:18 48:20 58:6 58:20 61:13 61:20 62:10 62:13 65:20 66:6,14 67:10 70:24 71:14 75:5 76:12 77:19 83:3 86:11 87:18 93:3 94:9 102:10 102:22	video-reco... 40:2 videographer 2:12 5:1,5
unsafe 130:17	valid 30:19 validity 27:3 value 59:8,18 values 198:19 198:21		
unstable 124:16,17	various 10:19 10:25 187:21		
untruthful 244:3	vascular 57:7		
unwise 130:22	vehicle 49:21 50:16 67:16 68:8 74:12 87:23 123:3 124:21 144:18,22 145:7,14,16 146:23 147:8 149:23 151:4 151:5 205:18 207:10 208:7 219:6		
updated 89:7 89:11 214:19 214:21	vehicles 151:6 verdict 135:14 136:8		
upper 55:9,10 63:9,11 104:9 129:3 205:23	verify 219:9 version 32:13		
upright 94:16 95:18			
upset 121:21			
upwards 118:4			
use 14:2 23:9 23:25 24:3,9 24:11,15 57:10 73:23 100:20 104:13,14			

12:23 13:16	222:18 223:3	W	64:19, 21
15:6 16:3	225:25 227:9	Wael 4:14	234:11
17:25 18:23	228:19	202:2	way 20:3 26:9
37:21 38:3	229:11	Wait 79:19	37:6, 17
38:25 47:18	231:13	wake 119:9	38:16 39:9
48:19 58:6	232:18	walk 24:25	58:4 59:1
58:20 61:13	233:21 234:1	118:11	63:13 69:9
61:20 62:10	241:2 242:1	walker 118:10	70:3 101:18
62:13 65:20	243:5 244:12	118:20, 20	103:7 104:9
65:22 66:6	246:5	walking 25:19	105:9 111:11
66:14 67:10	Videographers	85:21	126:6 127:13
70:24 71:14	1:22	walls 229:8	129:4 148:9
75:5 76:12	videographic	want 13:1 25:9	169:1 177:19
77:19 83:3	102:6	58:16 69:13	178:14 179:3
86:11 87:18	videos 102:17	80:6, 10, 11	185:6 193:6
93:3 94:9	103:1 175:1	101:10	198:9 210:8
102:22	videotape	104:19 107:4	211:10
103:14	174:21	122:1, 5	220:24
105:20, 21	videotaping	126:7 148:16	227:18
106:1, 16	150:8 173:7	148:24 152:8	243:18
112:19 113:2	view 59:15	152:16	245:23
113:15 114:5	95:8 108:17	172:21 187:1	we'll 5:8
122:15, 23	109:8 110:5	189:4 202:18	15:22 18:14
126:1 127:18	129:12, 15	209:21	18:16 82:18
133:24	viewing 59:3	223:14	127:13 134:9
134:11	violated 70:17	236:24	139:13
135:19 136:1	violation	237:15 239:4	147:19, 21
136:19	20:20	241:21	162:8 168:14
137:23	visa 244:20	wanted 38:20	180:7 203:4
139:11 140:1	visit 53:10, 14	89:7 120:9	203:6
145:20 146:8	53:18 87:25	173:6 221:8	we're 14:17
159:4, 16	219:25	wants 81:15, 22	28:2 38:9, 12
168:8, 16	visual 54:24	warning 91:13	38:20 39:12
173:9 174:4	visualize	wasn't 24:6	45:24 46:1
175:4, 22	57:12 245:10	33:18 71:4	48:13 49:6
179:21	vitae 3:11	144:11	53:19 61:3
180:12	31:14, 20	145:13 146:5	63:6 67:24
186:21 187:3	voir 14:11	146:14, 18, 22	77:25 82:6
187:6 193:2	42:20 226:7	161:13 162:6	84:19 93:11
193:14	volunteer	180:1 197:25	103:25 104:5
195:14 196:8	214:8	201:19	104:22 107:8
198:3 199:8	Vreeland 1:23	231:21	111:1, 19
202:13	vs 1:4	waste 223:14	112:13
203:10	vulnerable	water 56:16, 16	113:22
210:12, 18	124:13, 20	56:20, 22, 22	127:24
212:16 213:7		57:1, 3 64:11	128:12, 16

129:14,15	23:4 36:15	withdraw 66:3	134:24
142:22	36:17 116:9	133:4,6	worker's 29:23
145:12 147:7	118:4	233:22	29:25 30:16
148:12,24	weeks 39:13	withdrawing	30:21,24
149:7 150:8	125:8 184:20	233:23	39:15,19,21
150:13,21	194:5 231:7	withdrawn	40:7
151:18 152:6	weigh 200:15	93:14	workers 29:7
152:21 159:6	weighed 181:19	witness 3:2	30:4
159:9 162:25	181:23	13:4 18:17	working 6:16
164:25 165:7	weight 181:25	40:21 79:12	8:12 12:14
166:4 167:21	230:16	80:9 105:24	32:7,16,21
171:2 176:21	245:10	106:11,13,15	33:5 107:3,9
178:19 183:3	well-balanced	126:25 127:4	152:13
183:3 186:2	230:19	127:8,11	works 174:12
187:9 188:2	well-demon...	172:23	175:21
196:14	68:22	232:16	workup 114:12
198:12	well-known	241:15	worn-out
201:22 202:6	180:21	women 163:13	163:17
207:16 209:3	went 6:8,11,13	word 133:17	worried 116:19
209:5 210:10	6:16 33:12	196:7 234:13	worry 118:18
210:23 225:7	53:16 100:10	239:9	119:8
229:4 234:1	153:9 171:5	words 56:17,21	worse 44:19
242:18,18	184:8 189:17	68:19 69:15	86:3 88:24
we've 10:10	189:18	70:7 80:2	89:3 92:3
21:6 30:2	218:10 221:1	81:6 92:12	worsened 153:8
90:14 95:21	225:17	95:13 99:10	worsening
116:13 120:2	240:17	101:3 124:16	46:12 132:18
151:12	weren't 11:21	235:4	worst 55:1
160:17	147:16 163:3	work 6:24 7:13	68:25
182:21 209:9	219:2	30:10 31:8	wouldn't 37:17
weak 244:7	wet 94:18,23	32:10,21	39:9 80:2,2
weakness 25:1	whatsoever	40:20 113:19	94:25 132:22
55:10 235:15	213:18	134:19 135:8	137:11
wears 172:1,3	222:12	136:5 138:2	165:15,19
website 28:8	white 38:21	151:19,20	167:15 178:6
28:11 31:24	97:6 115:14	152:10,11,14	178:8 237:13
32:5 134:23	129:1 197:16	152:22 201:9	239:17 240:1
212:1 220:18	wide 92:11	201:15,16	wound 109:1
220:23	widened 131:11	228:14,25	115:12 116:7
221:15,16	wider 131:6	229:24	116:18
wedge 182:20	willful 27:12	233:10 242:7	write 26:10
183:4	willfully 23:9	242:9	36:6,15,19
wedge-shaped	win 241:8	worked 24:22	209:13
182:15 183:1	243:15	32:4 33:20	writing 26:14
wedges 92:16	wish 62:5	60:5,12,13	36:22 213:18
week 9:23 10:1	wished 120:23	worker 30:7	written 7:3

99:6 194:8 209:16,20 wrong 66:23 244:1 wrote 22:24 26:23 30:15 145:11 146:14 147:5 147:21 157:13 201:18 204:5 212:6 218:10 www.nyspin... 31:25 www.Rosenb... 1:24	103:10,10 106:12,14 109:18 113:20 117:4 117:20 127:4 135:6 139:15 141:12,18,19 141:22 142:14,17 144:13 148:16,21 149:13 151:14,14 158:9 160:1 160:19,20 162:3 164:13 166:17 168:6 169:23 172:1 172:20 173:19 175:1 179:6,14 181:24 183:6 187:2 204:3 205:13 208:19,23 216:18,24 221:4 228:7 233:25 244:24 245:13 year 7:14 9:16 10:17 32:3 36:6,11 39:12,13 40:3,9,15,16 53:5 89:14 91:9 114:13 117:24 118:21 130:16 146:21 151:14 179:14 180:4 180:5 181:2 181:22 191:11,17	194:14 213:15 214:6 224:10 229:14 yearly 7:12,12 36:4 years 6:12,23 7:15 9:9,15 9:21,24 10:2 11:11 17:23 19:7 24:24 25:20 32:25 41:6,7,21 60:13 96:1,2 96:8,11,12 120:14 121:22 185:5 197:17 204:25 220:22,22 yellow 108:3 115:25 197:15 York 7:25 8:5 14:15 16:7 16:17 17:18 17:22 19:6 23:10,14,19 23:19,23,25 23:25 24:2,9 24:9,10,11 24:12,15 25:10 28:7 29:23 30:5,8 30:16 39:14 39:19,21 221:6,16 young 233:2 younger 96:3 Yup 13:13,13 15:18 158:11	zoom 1:12 2:1 2:11 69:9,13 zoomed 109:8 0 046-781 3:9 29:5 07932 1:23 08817 2:3 1 1 11:12 48:5 54:25 55:2 1-11-23 160:10 1-6 156:5 1-6-2022 206:13,18 1-7-22 4:14 202:2 1,000 35:8 1:15 1:13 5:3 1:26 12:24 1:27 13:17 1:28 15:7 1:29 16:4 1:32 18:1 1:33 18:24 10 7:14 24:13 52:18 54:19 54:22 55:1,2 55:8,22,23 69:7 85:19 100:12 121:9 190:4,4 191:9 220:21 220:22 10-18-21 205:16 10-7-25 4:10 128:13 10-8-21 205:15 100 121:23 165:20 241:7 1015 2:3 104 3:24 108 3:25
X X 3:1,5 4:1 x-ray 4:10 100:1 112:11 128:13,18,19 129:7,8,15 158:3,10,21 158:25 159:20 160:6 184:8 234:15 236:8 x-rays 10:24 56:13 89:10 164:10 224:7 Xeroform 116:2	Y ya 47:8 yeah 13:3,6 15:20 23:3 28:10 30:12 31:6,8 37:11 39:25 41:8,8 50:1 53:4 57:19 58:18 66:23 78:7 83:1 85:3 87:6,6,13,14 88:17 97:25	Z zero 119:19 201:2 246:4 zone 236:5	

109 4:3, 4	86:16 138:4	189 4:13	123:24 124:5
10th 20:4 52:8	143:22 145:9	19102 2:8	145:24 149:1
85:3	145:13	1994 6:7	219:12, 15
11 68:25 69:7	146:12	1995 96:8	2022 52:8, 24
92:21 121:9	148:13		53:6, 11, 18
190:4, 4	151:17 152:7		53:24 54:5
192:1 209:4	154:7, 14, 22	2	55:19 61:2, 9
222:9	162:21 217:5		61:25 62:1
11-1-21 205:16	220:6	2 120:4, 7	62:18 63:7
11-29-21	12-8-22 207:16	158:2	65:17 67:14
205:16	128 4:10	2:01 37:22	67:19 68:5, 8
113 4:5	12th 21:6	2:02 39:1	70:20 71:18
114 4:9	49:22 50:17	2:13 47:19	71:22, 25
115 4:6	61:9 62:1, 18	2:15 48:21	72:18 77:9
116 4:7	63:7 72:18	2:28 58:7	83:13, 14, 24
117 4:8	123:12, 23, 24	2:29 58:21	86:7 87:22
119 85:5, 11	145:24	2:33 61:14, 21	87:23 88:4
12 69:7 74:16	13 1:13 68:5	2:34 62:11	92:23 95:23
121:9 188:22	71:21 162:16	2:44 62:14	114:14 123:6
12-12-22 63:1	133 3:3	2:48 65:22	123:11, 13
72:14	13th 5:3	2:49 66:7, 15	124:7, 8
12-12-22-held	123:13 177:2	2:50 67:11	127:23 133:9
3:15, 18	192:1 225:23	2:55 70:25	148:20 149:5
12-13-22 68:1	14 3:3, 8	2:56 71:15	155:5 207:4
160:21	15 9:24 10:2	20 88:4 117:18	219:22 220:1
12-13-22-held	11:11, 20	130:21	221:24 222:8
3:17	138:6 143:22	200 181:21	222:9 223:7
12-15-2025	147:7 150:20	2004 6:10	223:7, 8
35:5	152:9, 20	211:23	224:2, 6
12-15-25 3:12	154:8, 15, 23	2010 8:7 26:18	225:14, 16, 17
12-15-man	192:18, 25	2011 8:7 21:8	225:23
33:14	193:22	26:9	228:15
12-17-21	196:16	2013 21:8	2023 12:14
205:16	197:17	2014 41:11	32:18 144:8
12-23 147:15	208:14	2015 8:5 30:17	144:12
151:11	150 9:23 23:3	2018 8:5	2024 13:23
12-23-2022	36:15	202 4:14	32:9 43:9
138:6 143:23	15th 2:8 50:22	2020 41:10, 11	46:8 84:23
144:18, 21	51:2, 4 77:9	41:13 191:16	85:3, 14 89:5
147:6, 22	83:24	2021 45:15	96:7, 11
148:14	16 11:20	49:22, 23, 25	98:11 124:25
152:20	148:14, 23	50:9, 17, 22	143:2, 8
12-23-22	17 3:8 14:25	51:2, 4 55:15	144:6 155:6
160:14	17th 67:16, 18	56:2 65:7	157:14
12-8 147:15	187 4:11	67:16, 18	191:14
12-8-2022	188 4:12	72:6 77:16	192:12
		83:8, 21 84:2	
		84:7 123:23	

204:14	2nd 152:19	3rd 85:8, 14	204:14
207:15		89:5 96:7, 10	207:15
209:10	3	96:11 144:6	209:10
226:15	3 16:21 210:23	157:4, 25	226:15
2024's 143:16	3,000 35:9		5:02 159:5
2025 40:3, 19	3:02 75:6	4	5:03 159:17
129:9 191:13	3:03 76:13	4 131:9 160:23	5:15 168:9, 17
192:1	3:05 77:20	4:02 113:3, 16	5:24 175:5
2026 1:13 5:3	3:12 83:4	4:03 114:6	5:25 175:23
39:12	3:18 86:12	4:15 122:16, 24	5:30 179:22
2032 7:17	3:19 87:19	4:19 126:2	5:31 180:13
20th 49:23	3:28 93:4	4:21 127:19	5:40 186:22
22 72:1 123:7	3:29 94:10	4:29 133:25	187:6
177:2	3:40 102:23	4:30 134:12	5:50 193:3, 15
221 3:2	3:41 103:15	4:31 135:20	5:53 195:16
228-9100 1:24	3:45 106:3, 18	136:2	5:54 196:9
22nd 83:13	3:52 112:20	4:32 136:20	5:56 198:4
23 1:23 187:9	30 2:8 26:7, 10	4:34 137:24	50 69:22, 24
236 3:3	26:11 36:18	4:36 139:12	126:4
23rd 72:1	95:25 125:17	140:2	50,000 19:12
74:12 87:23	126:5 127:5	4:44 145:21	500 39:25
123:7, 14	127:11 131:1	146:9	5th 122:9
124:7, 8	192:21	40 125:17	143:2 157:24
132:13, 24	193:19	126:25 127:4	
152:20	196:13	127:8, 11	6
154:23	199:15, 22	130:20	6 122:10
225:14	30/70 195:5	192:22	144:12
228:14 232:4	228:23	193:19	171:15
24 30:17 34:16	302 53:2	400-and-so...	6-11-15 3:7
177:3	303 52:4	226:16	6-11-25 14:22
24th 155:5	30x100190500	43 3:2	6-24-15 29:9
25 34:16 36:18	247:20	45 103:8 126:6	6-3-2024 86:17
96:2 130:22	31 3:11	127:3, 5	6-7 64:7
188:24	316 51:16	131:2 192:23	6.5 131:13
264 22:24	320 181:23	193:4	6:09 199:9
267-428-6943	321 50:20	452 48:3, 5, 5, 5	6:13 202:14
2:9	35 3:13	49:1, 10	6:14 203:11
27 95:25	352 53:2	46 3:14	6:25 210:13
28 21:9 22:4	356 49:10		6:26 210:19
96:1, 11, 12	36 17:19 18:3	5	6:28 212:17
28-year-old	19:6 212:11	5 3:2 52:18	6:29 213:8
97:10 164:1	220:12	78:4, 6	6:43 222:19
29 3:10 96:8	36-month 8:6	131:12, 13	223:4
299 53:8	20:22 23:1	143:15	6:47 226:1
29th 98:11	213:10	157:14	6:50 227:10
2a 34:1	39 18:3	192:11	6:52 228:20

6:53 229:12	219:7		
6:55 231:14	8-20-21 205:15		
6:57 232:19	8-29-24 3:23		
60 130:19	98:6 179:13		
186:6	8-9 69:6		
63 3:16	80-year-old		
68 3:17	163:13		
6th 144:8	181:14		
219:22	80.69 24:14		
	8th 45:13		
7	52:23 53:11		
7 55:23 129:9	53:18 61:2		
169:19	61:25 65:16		
192:14,16	67:14,19		
207:4 223:7	68:8 70:19		
7:08 241:3	71:22 74:11		
7:09 242:2	74:14,17		
7:11 243:6	83:14 86:6		
7:13 244:13	87:21 88:4		
7:15 246:6,8	92:23 95:23		
70 192:24	114:14 123:6		
193:21	123:13,20		
196:15	125:13,19		
199:23	132:7 133:9		
70/30 195:8	194:12 222:8		
196:13 197:6	223:8 224:2		
197:20 200:7	224:6 225:17		
200:12,14,22	229:20 238:7		
201:17,18			
701 2:8	9		
72 3:19	9 54:19,22,22		
732-650-0444	55:8,22 69:7		
2:4	74:16 85:19		
7th 221:24	188:22 190:3		
222:8	190:4		
	9-17-21 205:15		
8	90 52:16		
8 71:18,25	90s 33:12		
86:6 88:4	94 3:20,21,22		
127:23 190:4	973 1:24		
225:15	98 3:23		
8-12-2021	99 11:13		
147:3 148:6	9th 53:5,24		
148:13	54:5 55:19		
205:18	85:25 123:11		
207:10 208:7			