

In The Matter Of:
Chica Torres v.
Ryder Construction Inc., et al.

February 17, 2026

Queens Supreme Court, Civil Division

1 SUPREME COURT OF THE STATE OF NEW YORK
2 COUNTY OF QUEENS: CIVIL TERM: PART 17
-----X

3 VICENTE F. CHICA TORRES

4 Plaintiff, INDEX NO.
713854-2022
5 -against-

6 RYDER CONSTRUCTION INC. and
7 39 WEST 23RD STREET, LLC

8 Defendants. Jury Trial

-----X

9 H E L D :
10 88-11 Sutphin Boulevard
11 Jamaica, New York 11435
February 17, 2026

12 B E F O R E :
13 THE HONORABLE JOSEPH J. ESPOSITO

14
15 A P P E A R A N C E S :
16 PENA & KAHN, PLLC
17 Attorneys for the Plaintiff
1250 Waters Place, Suite 901
18 Bronx, New York 10461
BY: STEPHEN B. KAHN, Esq.
19 PEIRCE & SALVATO PLLC
20 Attorneys for the Defendant
8 Cottage Place
21 White Plains, New York 10601
BY: RICHARD A. SALVATO, Esq. and
22 BREANNA C. KROMER, Esq.

23
24
25 NOAH COLLIN
EILEEN AGNOLETTA

1 THE CLERK: We're here for a returning case on file.
2 Index No. 713854-2022. Let the record reflect all counsel
3 are present.

4 You can all have a seat.

5 There's an application to the Court.

6 THE COURT: Yes. I understand there's an application.
7 By whom?

8 MR. KAHN: It is by me, I guess.

9 THE COURT: Yes.

10 MR. KAHN: We have a medivisual of the actual surgery
11 that Dr. Merola performed. I'd like to use it to aid him
12 in explaining the surgery to the jury. The defendant has
13 an objection.

14 THE COURT: And what say you?

15 MR. SALVATO: Yes, Your Honor. We have no objection
16 to the use of the spine to explain it to the jury or the
17 MRI films of the records. But a demonstrative exhibit not
18 of the plaintiff's actual back, but of the procedure blown
19 up in color this way is just to enflame the passions of the
20 jury. It's more prejudicial than probative as to what
21 occurred when other actual pieces of evidence from the
22 surgery are available to them.

23 THE COURT: OK. I will permit its use. I don't think
24 it's capable of enflaming the jury. You can explain to
25 them when you cross-examine the witness that it's general

1 display of evidence and not of this particular plaintiff.

2 It is not evidence as well. Demonstrative only.

3 Are we ready to go?

4 MR. KAHN: Yes, Your Honor.

5 MR. SALVATO: Yes, Your Honor.

6 THE COURT: Ken, line them up.

7 THE CLERK: Your Honor, should I bring the witness in?

8 THE COURT OFFICER: Are we ready, Judge?

9 THE CLERK: Yes.

10 THE COURT OFFICER: All rise. Jury entering.

11 (Whereupon the jury entered the courtroom.)

12 THE CLERK: Do all parties stipulate to the presence
13 and proper seating of the jury?

14 MR. KAHN: So stipulated.

15 MR. SALVATO: So stipulated.

16 THE CLERK: Thank you. You may be seated.

17 THE COURT: I'm happy to see that you all came back.

18 Thank you and good morning.

19 At this time I'll ask Mr. Kahn to call his next
20 witness.

21 MR. KAHN: Your Honor, the plaintiff calls Dr. Andrew
22 Merola.

23 THE COURT: Dr. Merola, come on up next to me. Remain
24 standing. The clerk will swear you in before you testify.

25 We have one witness in the morning, ladies and

1 gentlemen. We have one in the afternoon. And that's
2 Tuesday.

3 D R. A N D R E W M E R O L A, a witness called on
4 behalf of Plaintiff, after having been first duly sworn by the
5 clerk of the court took the witness stand and testified as
6 follows:

7 THE CLERK: Have a seat. If you want to get
8 comfortable, you can move the chair up so you're closer to
9 the microphone. We ask that you speak clearly and slowly
10 into the microphone. And then if there's any unique words,
11 just slow for the spelling of those words.

12 In a loud, clear voice please state and spell your
13 name.

14 THE WITNESS: Andrew Merola, M-E-R-O-L-A.

15 THE CLERK: And your business address?

16 THE WITNESS: 567 1st Street, Brooklyn, New York
17 11215.

18 THE CLERK: So last name is Merola, M-E-R-O-L-A.
19 Address is 567 1st Street in Brooklyn, New York 11215. Is
20 there a suite or a floor?

21 THE WITNESS: No.

22 THE CLERK: Thank you.

23 Your Honor, the witness has been sworn.

24 THE COURT: Thank you. Mr. Kahn.

25 MR. KAHN: Thank you, Your Honor.

1 DIRECT EXAMINATION

2 BY MR. KAHN:

3 Q. Good morning, Doctor.

4 A. Good morning.

5 Q. Are you licensed to practice medicine in the state of
6 New York?

7 A. Yes, I am.

8 Q. And can you please tell the jury when you would be so
9 licensed?

10 A. 1992.

11 Q. Are you licensed in any other states?

12 A. New Jersey, Florida, Colorado. I did my fellowship in
13 Colorado, and Nevada as well.

14 Q. Could you please give the jury your educational
15 background.

16 A. Sure. I went to NYU undergraduate. I then went to
17 Howard University College of Medicine. Subsequent to medical
18 school, I did my training in general surgery and orthopedic
19 surgery at Kings County Hospital in Brooklyn. And I did a spine
20 fellowship at the University of Colorado in Denver.

21 Q. What is your specialty?

22 A. I'm an orthopedic surgeon that deals with neck and
23 back issues.

24 Q. OK. A fellowship, explain to the jury what a
25 fellowship is.

1 A. So a fellowship is training in a subspecialty after
2 you've completed your general training. So orthopedic surgery
3 is a five-year program, and so I spent an additional year doing
4 just spinal work.

5 Q. Are you in private practice now?

6 A. Yes, I am.

7 Q. And is it all spinal surgery?

8 A. The majority of it is, yes. Of course, I see patients
9 who have orthopedic issues, but spinal surgery is my
10 subspecialty.

11 Q. Can you explain to the jury the process to become
12 board-certified in orthopedic surgery.

13 A. So after you completed your education and your
14 training in orthopedic surgery, there's a written examination
15 which you take. After you pass the written examination and
16 you've been in practice for two years, minimum, you gather all
17 of your patients and your surgical cases, and then you
18 essentially present those cases to a board of examiners. And
19 you do an oral examination based on the cases that you've seen
20 and evaluated and treated.

21 Then when you pass that second part -- so it's two
22 parts -- and when you pass the second part, you become
23 board-certified.

24 And then the process has to be renewed every 10 years.
25 So I was first board-certified in 1996 -- no, 1998, and then

1 2008, 2028, next one, et cetera, et cetera, et cetera.

2 Q. Can you tell the jury your hospital affiliations.

3 A. Yeah. So I have -- I teach at Downstate, and I also
4 have affiliations with New York Presbyterian.

5 Q. When you say you teach at Downstate, what are you
6 teaching?

7 A. So medical students, orthopedic residents, and allied
8 health professionals who are doing any kind of orthopedic
9 rotation or spine rotation. Those folks can rotate with me, and
10 they do, either in the office or in the operating room. And
11 then we also do research at Downstate as well.

12 Q. Have you published any works?

13 A. Yes.

14 Q. Can you tell the jury about that.

15 A. Yeah. So part of the teaching responsibility is
16 typically when you are looking at either clinical work, which
17 means how patients respond to certain treatments, or you're
18 doing, in orthopedics, what we call biomechanical work, which is
19 where you're testing parts of the body or you're testing
20 implants, you gather data on that. And then you see how that
21 can affect the way populations and the human body behaves.

22 You then present that material to peer-reviewed
23 journals, and it's part of the academic learning process.

24 Q. Are you a member of any outside associations?

25 A. Yes. I'm a member of the American Academy of

1 Orthopedic Surgeons as well as the Scoliosis Research Society.

2 Q. Now, the Scoliosis Research Society, that interests
3 me. Could you explain what your work has been and what their
4 mission is.

5 A. Yeah. So that deals with pretty much children and
6 adults who have what we call spinal deformity, so those are
7 curvatures of the spine. And they can occur for a number of
8 different reasons. You can be born with a curvature, or you can
9 develop a curve or deformity as life progresses.

10 And the Scoliosis Research Society looks at
11 populations of patients that have those conditions and how best
12 to treat them.

13 Q. Now, do you do any missions with them?

14 A. Yeah. So --

15 Q. Can you explain about that.

16 A. Yeah. So one of the things is -- particularly over
17 the last 15 to 20 years, we've been doing more outreach into
18 different countries where there could be a lack of care for
19 patients with deformities involving either children or adults.
20 And that takes place pretty much across the globe. We've done,
21 you know, Central/South America, Eastern Europe, Asia Pacific,
22 pretty much the whole world.

23 Q. And do you operate during those missions?

24 A. Yes. We operate with local surgeons in order to go
25 over practices with them and ways of treating their patients,

1 yes.

2 Q. Is that voluntary, or do you get paid for that?

3 A. It's part of the thing that we do with the SRS, which
4 is essentially 100 percent voluntary.

5 Q. OK. Could you explain what orthopedics is.

6 A. Yeah. Orthopedics and orthopedic surgery deal with
7 whatever it takes to make sure that you can be up and about
8 doing your normal activities of daily living. So it's the
9 musculoskeletal system. It's your bones, your joints, your
10 muscles, your tendons, your nerves.

11 Whenever your brain sends a signal to a part of your
12 body that wants you to get up and do something and you are able
13 to do that using your body, that's basically what orthopedics
14 deals with.

15 MR. KAHN: Your Honor, at this time I would offer
16 Dr. Merola as an expert in spinal surgery.

17 MR. SALVATO: Your Honor, we would object only to the
18 extent that he was designated as a treating physician, not
19 a 3101(d) and reserve our rights to object to questions
20 that go beyond the extent of a treating physician.

21 THE COURT: That application is granted to that
22 extent.

23 He's a treating physician; correct?

24 MR. KAHN: He is.

25 THE COURT: He's not an expert in his field.

1 MR. KAHN: He is an expert in his field.

2 THE COURT: Well, I mean, he's an expert in his field,
3 but he's not an expert to the extent that there's a
4 3101(d)?

5 MR. KAHN: No. We didn't serve a 3101(d), but he's
6 still an expert in spinal surgery.

7 THE COURT: He's a treating. He doesn't have to,
8 right.

9 MR. KAHN: Right. OK.

10 Your Honor, with the Court's permission, I have a
11 model of the spine, and I would ask the doctor if he can
12 kind of give us a tutorial on the working of the spine, the
13 interaction between the vertebrae and the disks.

14 THE COURT: Any objection?

15 THE COURT OFFICER: Your Honor, it hasn't been marked.

16 THE COURT: Let me first see if he's objecting to it.

17 MR. SALVATO: No objection to the extent that it has
18 to do with the treatment rendered by Dr. Merola to the
19 patient to explain it on the spine as a demonstrative
20 exhibit, Your Honor.

21 THE COURT: Of course. We don't have to mark it.

22 THE COURT OFFICER: I want to make sure, Judge, that
23 you're good.

24 THE COURT: Thank you.

25 THE COURT OFFICER: You're welcome.

1 THE COURT: It's used to assist the witness in his
2 testimony.

3 BY MR. KAHN:

4 Q. So, Doctor, if you can just give us kind of a tutorial
5 about the spine itself, the interaction between the disks and
6 the nerves, how they all play out and work.

7 A. Sure. So basically in the spine is a column that
8 supports your head over your pelvis. So it attaches your head
9 to your pelvis. The column is made up of a number of bones that
10 are stacked on top of each other. The bones are numbered from
11 top to bottom based upon where they are.

12 The upper portion of your spine is your neck or your
13 cervical spine. The middle portion of your spine is your
14 midback or your thoracic spine. And the lower portion of your
15 spine is your lower back or your lumbar spine. So every bone is
16 numbered based on where it lies: 7 in the cervical area, 12 in
17 the thoracic area, 5 in the lumbar area.

18 At the bottom of your spine is a part of your pelvis
19 called the sacrum, and that's essentially one large bone that
20 basically joins each half of your sacrum together. So the
21 stacking of these bones is very specific so that they all line
22 up together where they belong. And each bone is essentially
23 glued to the other bone by a little piece of cartilage in
24 between each bone called a disc. It's called a disc because it
25 kind of looks like a disk. And it's a piece of cartilage that's

1 job is to maintain the bones in their proper alignment and to
2 glue the bones together so that they're not sliding around
3 abnormally.

4 Now, in addition to that, because your head is on top
5 and your pelvis is on the bottom, your head needs to send a
6 whole bunch of nerves down into your arms and legs and the rest
7 of your body. And the way that it does that is it takes
8 advantage of the column by sending those nerve fibers through
9 your spinal cord and your spinal canal. So the wiring that it
10 uses in order to move your arms and legs around comes through
11 the spinal column through an opening in the back of the spinal
12 column called the spinal canal.

13 So not only is it a structure that supports your head
14 over your pelvis, but it's also a conduit that through which
15 your nerves basically travel into your arms and legs and hands
16 and feet.

17 Q. What is the function of the disc? I know you said to
18 keep the vertebrae together, but does it play more of a function
19 for the body?

20 A. Yeah. So the disc -- I mean, the disc does a whole
21 bunch of different things; most importantly, maintaining normal
22 alignment of your bones and absorbing the stress and strain that
23 the column of your bones goes through when you're performing
24 your normal activities. So when you're bending or you're
25 lifting or you're twisting or any motions that you do, those

1 discs are absorbing the stress and strain that's involved in
2 those activities in order to make sure that the bones remain in
3 normal alignment and are not basically damaging any other parts
4 of the spine or damaging any of the nerves.

5 Q. Now, without the discs, if there was just one bone
6 from the top all the way down to sacrum, would the human body be
7 able to bend and to move or rotate?

8 A. Yeah. So, you know, there is a disease whereby your
9 spine turns into one big bone. It's called ankylosing
10 spondylitis. And those patients are extremely stiff, and
11 they're very brittle as well.

12 So you might imagine bone is a very -- it's like --
13 it's a very dense and, in some respects, a brittle substance.
14 So if you just had one big column that was one solid piece of
15 bone, it would not have the flexibility or resilience that it
16 otherwise has with all of these discs in between each bone.

17 Q. I neglected to ask you: If you weren't with us here
18 today, what would you be doing?

19 A. So Tuesday is typically an office based practice day,
20 so I would be typically in the office starting around 8 a.m.,
21 and then finishing in the afternoon depending on how many
22 patients I have.

23 Q. And are you being compensated for your time for being
24 away from your office?

25 A. Yes, I am.

1 Q. OK. Can you please tell the jury how much you're
2 being compensated.

3 A. Sure. It's \$750 per hour for time away from the
4 office and time away from the practice.

5 Q. And how many days a week do you operate on?

6 A. Two days a week.

7 Q. And you've doing this, or practicing medicine, I guess
8 it's 30 years now; right?

9 A. Yes. I'm older. It's been at least 30 years, yes.

10 Q. And how many spinal surgeries have you done, do you
11 estimate, over the last 30 years?

12 A. So typically it's a couple of hundred spinal surgeries
13 per year, so times 30 years would put it into the thousands.

14 Q. OK. Now, did there come a point in time where Vicente
15 Chica Torres came under your care as a patient?

16 A. Yes.

17 Q. And your records have been admitted into evidence as
18 Plaintiff's Exhibit 9. I believe you have a copy in front of
19 you. And can you tell us what took place on -- when was the
20 first visit?

21 A. February 6th of 2023.

22 Q. OK. And what brought him to your office that day?

23 A. He had been brought in as a referral for an evaluation
24 regarding pain and symptoms in his neck and back.

25 Q. OK. What were his complaints?

1 A. So the complaints that I had listed at that time were
2 both neck and back pain with something called radiating symptoms
3 into his arms and hands and legs and feet.

4 Radiating symptoms mean pain that travel from either
5 the neck or back area down into your hands and fingers and down
6 into your legs and feet.

7 Q. What causes the pain to radiate from the neck down the
8 arms to the fingers, from the low back down the legs to the
9 toes?

10 A. Yeah. So typically different things can cause pain to
11 radiate. The issue for a spinal evaluation is to see whether or
12 not any of those radiating or traveling symptoms are coming from
13 either the neck or the back.

14 Q. OK. And did he tell you what kind of difficulties he
15 was having at that time with -- because of the pain and the
16 radiating symptoms?

17 A. Yes.

18 Q. What was that?

19 A. So typically, for example, and I had mentioned it
20 before with the spine, where everything in the spine is designed
21 to assist you with your ability to perform your activities,
22 particularly activities that require bending or lifting or
23 twisting, for example, putting on shoes and socks or making the
24 bed or taking out the trash. These kinds of things that require
25 you to bend or lift or twist and manipulate your spine are

1 activities that reproduced symptoms.

2 Q. So I want to go back to the radiculopathy. And how
3 does that, if at all, relate to the discs in the person's body?

4 A. So because the spinal column, as I said, transfers the
5 nerves from your brain down into your arms and hands and legs
6 and feet, those nerves pass through the spinal canal. And the
7 spinal canal is a structure that's formed by the bones and the
8 discs. So the bones and the discs act as, if you would consider
9 it, the floor of the spinal canal. And then there are a layer
10 of bones that act as the roof of the spinal canal called the
11 lamina. So the canal --

12 THE COURT REPORTER: I'm sorry. What is it called?

13 THE WITNESS: The roof of the spinal canal are formed
14 by the bones called the lamina.

15 THE COURT REPORTER: Lamina. Thank you.

16 THE WITNESS: And they're like shingles on a roof.

17 So the nerves pass through the canal, and the floor of
18 that canal is formed by discs and bones.

19 BY MR. KAHN:

20 Q. What causes the radiculopathy, though?

21 A. So typically --

22 Q. How does that happen?

23 A. So a couple of different things can happen. Since
24 those nerves are exiting the spinal canal and traveling beyond
25 the bones and the discs, any abnormality with a bone or a disc,

1 for example, if a disc protrudes or herniates into an area where
2 a nerve is, it can cause irritation or pressure on the nerve.

3 The other way that you can have nerve pain is if the
4 disc is damaged and leaks any kind of an internal fluid down
5 onto the nerve, it can cause pain through a process of
6 inflammation.

7 And then the other way that pain can occur is if the
8 bones are not behaving properly. In other words, if the bones
9 are no longer aligned properly or moving properly, they can
10 cause irritation to the nerves as well.

11 So there are multiple ways that the bones and the
12 discs can cause a problem with the nerves because the nerves are
13 intimately involved with where the bones and discs are.

14 Q. Did Mr. Chica Torres relate to you on that first visit
15 the difficulties in his everyday life that he was having as a
16 result of the pain and the radiculopathy?

17 A. Yes.

18 Q. Can you please tell the jury what that is.

19 A. Sure. And those difficulties were exactly related to
20 activities that required him to either bend, lift, or twist, for
21 example, putting on shoes and socks, making the bed in the
22 morning, those kinds of activities.

23 Q. Now, you set forth in your record what a pain scale.
24 In other words, what was he complaining of pain, what level?

25 A. Yeah. So a pain scale is essentially a way of trying

1 to understand, you know, how a patient perceives their pain, how
2 bad their pain is.

3 And so what I'll typically tell a patient is 0 is
4 absolutely no pain at all; and 10 is, you know, severe pain that
5 is really interfering with your life and your activities. So a
6 0 is nothing; 10 is really very, very, very bad pain. And so
7 where do you fall in between there, between the scale of 0 to
8 10?

9 And in this case it was, in general, particularly with
10 activities, at an 8 out of 10.

11 Q. Now, did you perform a physical examination?

12 A. Yes.

13 Q. And can you tell the jury what the examination
14 consisted of and what the results were of the examination.

15 A. Sure. So typically an examination involves a couple
16 of different components. One is observation. So you look to
17 see how a patient is behaving in terms of their ability to walk
18 and how they hold their posture. And then you also essentially
19 touch and/or palpate a body in order to test the nerves and the
20 muscles and the joints.

21 And that thing where you combine observation and your
22 testing of the patient while you're touching them gives you now
23 an opportunity to understand how their body is working and where
24 some of these symptoms may be coming from.

25 Q. Now, taking a look at your note, you say, "The patient

1 has an antalgic and kyphotic gait pattern with spasms."

2 What does that mean?

3 A. Yeah. So typically when you walk, it should be very
4 smooth, and your heels and your toes should strike normally, and
5 you really shouldn't notice the body bouncing too much. If you
6 notice that the body is spending a little bit more time on one
7 leg than another, that translates to a limp. A limp in medical
8 terms is antalgia.

9 The other thing is posture. Normal low back posture
10 should have a reverse -- a reverse bend to it; that's called
11 lordosis. An abnormal lumbar posture has a forward bend to it;
12 that's called kyphosis.

13 So in this case, if you looked at the low back posture
14 in addition to his walking, the pattern was described, as I
15 described it, as a kyphotic and an antalgic gait pattern.

16 Q. Did you make any impressions at that time, or did you
17 continue with your exam?

18 A. Yeah. So typically observationally, from what you can
19 see in a kyphotic lumbar pattern in patients that have limps,
20 you understand that there is a problem with the lower
21 extremities -- that's the legs and the feet -- in addition to
22 the lower back.

23 Q. Now, you also set forth that he had spasm in the
24 cervical, thoracic, and low back regions of the spine. What is
25 spasms? What causes it?

1 A. So spasm, if you've ever had a charley horse or a
2 muscle cramp, that's your muscle going into spasm. That's a
3 very severe spasm. You can tell that that muscle is in spasm
4 because, well, you feel it; it's painful, number 1.

5 And number 2, it's harder, right. It's an area where
6 your muscle, it has a certain hardness to it that muscles that
7 are not in spasm don't have.

8 So when you're doing your examination of the patient,
9 you can palpate the muscles in the back. Your back muscles go
10 straight up and down your lower back on both the right and left
11 sides, from top to bottom. So you can feel if there are
12 differences in the softness or the hardness of the muscles. And
13 it's the same for your arms and legs and the legs and feet. You
14 can see, by comparing one muscle group to another, whether or
15 not you feel hardness or an abnormal contracture of a muscle.

16 And those things can tell you about those areas of the
17 body because spasm is -- typically your body doesn't want to be
18 put in a position that causes pain, so your muscle is going to
19 contract to prevent that from happening; and, therefore, you
20 would feel or see or in some way elicit spasm from a patient.

21 Q. In the simplest term, is spasm the body's reaction to
22 pain to protect it?

23 A. Yeah, correct.

24 Q. And you mentioned something about palpate, which means
25 to touch?

1 A. Yes.

2 Q. Were you able to actually touch his body and feel the
3 spasms that were going on at that time?

4 A. Yes.

5 Q. And would that be an objective test?

6 A. Yes.

7 Q. Let me ask you something: The body -- we've heard
8 about degeneration, degenerative conditions. What does that
9 mean?

10 A. So, you know, when you're born and you're growing and
11 your shoe sizes and your clothing sizes change, you're in a
12 process of rapid generation; right? Your cells and your body is
13 rapidly producing more body as you get bigger and taller and
14 wider.

15 When you've reached your level of maturity, your
16 skeletal maturity, your body stops rapidly growing; you start to
17 see the effects of wear and tear more than replacement. And
18 when those wear and tear effects start to take over, those wear
19 and tear effects are called degeneration.

20 So after you've reached skeletal maturity, which is
21 somewhere around 12 or 13 for women, and about somewhere between
22 14 to 16 for boys, beyond that your wear and tear process takes
23 over a bit more rapidly than your production process.

24 And that's the process of degeneration.

25 Q. Is it fair to say it's all part of the natural aging

1 process?

2 A. Yes.

3 Q. Everybody here in the courtroom is going through it --

4 A. Yes.

5 Q. -- fair?

6 A. Yes.

7 Q. Now, just because there's some degeneration in an area
8 of the body, be it a spine, the knees, the shoulders, does that
9 mean that that part of the body can never be injured by trauma?

10 MR. SALVATO: Objection.

11 THE COURT: Overruled.

12 THE WITNESS: So as I understand your question --
13 would you repeat that, please.

14 BY MR. KAHN:

15 Q. Sure. Just because someone has some evidence of
16 degeneration in a body part, such as the spine, the knees, the
17 shoulders, does that mean that those body parts can never be
18 injured again --

19 A. No.

20 Q. -- by trauma?

21 A. No.

22 Q. Now, did that complete your examination?

23 A. So, I mean, in addition to the spasm, some of the
24 things that were found were -- with respect to particularly the
25 lower back, was what's known as a provocative test. A

1 provocative test is, you actually try to reproduce the symptom.

2 And in this case it's a test called the straight leg
3 raise, which is a test where you're actually pulling on the
4 large nerve that travels down your leg, and you're seeing
5 whether or not there's a withdrawal response from you placing
6 that nerve into a position that reproduces pain. And in this
7 case it was positive.

8 And that test also went along with reflex and sensory
9 loss in the large nerves that travel down into the lower legs.
10 So that was also kind of confirmatory for a problem with the
11 nerves traveling into the lower legs.

12 Q. Now, you also write in your report of February 6,
13 2023, "There is L4, L5, and S1 dermatome, distribution sensory
14 loss noted." What does that mean in laymen's term?

15 A. Yeah. So that goes along with what I just said in
16 terms of the large nerve going down into your leg. So that
17 nerve is responsible for what your leg feels and how it feels
18 things. And when your sensation is abnormal, you would have
19 abnormal sensory loss.

20 Q. And he had that?

21 A. Yes.

22 Q. Now, did you have an opportunity during that visit to
23 review his MRI films of his low back from March of 2022?

24 A. Yes.

25 Q. And what did they reveal?

1 A. So that showed that there were what are called
2 herniations or disc protrusions; that is discs that stick out
3 beyond the confines of the normal bony vertebrae, particularly
4 at L4-L5 and at L5-S1.

5 Q. Was there also a herniation at C5-C6?

6 A. Yes.

7 Q. OK. And did your opinion and your evaluation of those
8 MRI films, was that -- did you concur with the evaluation of the
9 radiologist, Kolb Radiology, Thomas Kolb?

10 A. Yes.

11 Q. So your diagnosis and Dr. Kolb's diagnosis was in
12 lockstep that the MRIs, March of '22, showed herniations at
13 L4-L5 and L5-S1; is that fair?

14 A. That's correct. There were herniations at both those
15 levels, yes.

16 Q. OK. And you talk about also an EMG study. Can you
17 discuss with the jury what an EMG study is and what it showed.

18 A. Sure. An EMG is an electrical study of the way your
19 nerves behave inside your muscles. So if you think about the
20 "E," it's electrical; the "M" is basically with respect to the
21 muscles; and then the "G" stands for graph, which is a graph of
22 the way your nerves behave inside your muscles.

23 It's a test that involves placing needles into
24 specific muscles in your body that you can then send electrical
25 current through in order to test how the nerves are functioning

1 within those muscles.

2 Q. What was the result of the EMG study, the nerve
3 conduction study?

4 A. So that -- the basic result there was that it showed
5 that there was a C5-C6 radiculopathy, which is the area where
6 the disc and the neck was. And then L4-L5 radiculopathy, right
7 in the area where the L4-5 disc was.

8 Q. Now, after taking a history from Mr. Chica Torres,
9 performing an examination, reviewing the lumbar and cervical
10 MRIs and the EMGs, did you reach a diagnosis at that point?

11 A. Yes.

12 Q. And what was your diagnosis that you reached?

13 A. The diagnosis was -- so cervical, neck; lumbar, lower
14 back; radiculopathy, meaning problem with nerves in your neck;
15 and problem with nerves in your lower back at C5-C6 and at
16 L4-L5.

17 Q. Now, with regards to the radiculopathy in the low
18 back, after reviewing the MRIs, did you reach an opinion as to
19 what exactly was causing that radiculopathy? And if you can use
20 the spine maybe to show the jury.

21 A. Yeah. So the diagnosis is based on that patient's
22 history, what they tell you, and their complaints and their
23 symptoms, the physical exam findings of some nerve dysfunction
24 in the neck and back, and the MRIs and the nerve testing.

25 And that all led to a problem with the nerves in the

1 neck area and the nerves in the lower back area.

2 Q. What was causing those problems with the nerves?

3 A. Protrusions of those discs, the herniation at C5-C6
4 and at L4 through S1 in the lower back.

5 Q. Is it correct to say that in order to have
6 radiculopathy, the discs have to protrude and compress the
7 nerves at those level, which then produces or results in
8 radiculopathy?

9 A. Yes.

10 Q. Is that what he had to a reasonable degree of medical
11 certainty?

12 A. Yes.

13 Q. I want you to assume the following: That Mr. Chica
14 has previously testified that on July 6 of 2021, about seven
15 months prior to his accident here, he was working at Lawrence
16 Hospital in the Bronx for painting. He bent down to pick
17 something up. He felt some pain in his low back.

18 The following day he went to Flushing Hospital with
19 those complaints. They gave him an injection. The pain went
20 away after the injection.

21 He missed a couple of days of work. For the next
22 seven months he was working full-time as a painter five days a
23 week and sometimes on Saturday and Sunday for overtime.

24 He was never under the care of a doctor for his low
25 back. He was -- never received any physical therapy or any

1 treatment for his low back. He never had an MRI prior to the
2 accident that we're here for today; that at no time prior to the
3 accident we're here for today did he ever see a spinal surgeon
4 or receive a recommendation that he needed surgery in his lower
5 back.

6 And then on February 11, 2022, which is the accident
7 that we're here for today, while on the fifth rung of a ladder,
8 he fell backwards onto cartons of appliances, had immediate
9 pain, was taken by ambulance to Bellevue Hospital where he was
10 seen for -- I don't know -- 10 hours or so. Discharged from the
11 emergency room. Came under the care four days later of CitiMed
12 receiving physical therapy to his neck and back and other body
13 parts as well.

14 Do you have an opinion with a reasonable degree of
15 medical certainty as to the cause of the herniation at L4-L5?

16 MR. SALVATO: Objection.

17 THE COURT: Overruled. What's the objection to? Come
18 up.

19 MR. SALVATO: Yes.

20 THE COURT: Come up.

21 (Whereupon a sidebar was held off the record outside
22 of the presence of the jury).

23 THE COURT: I'll give you a lot of leeway.

24 MR. SALVATO: Thank you, Your Honor.

25 MR. KAHN: I --

1 THE COURT: Wait a minute. Stop.

2 Noah, what's the last sentence of Mr. Kahn?

3 THE COURT REPORTER: It's very long. Do you want me
4 to read the whole thing?

5 THE COURT: No. The last sentence. What did he say?

6 MR. KAHN: I withdraw it.

7 THE COURT: Just put the last question to him again.

8 THE COURT REPORTER: Do you want me to read it to him?

9 THE COURT: No, no. Mr. Kahn can do it.

10 BY MR. KAHN:

11 Q. Do you have an opinion with a reasonable degree of
12 medical certainty as to what the cause of the herniation that
13 you found on the MRI in Mr. Chica Torres's low back was?

14 THE COURT: You may answer.

15 A. Yes.

16 Q. Can you please tell the jury what the cause of that
17 herniation is.

18 A. The fall of February 11, 2022.

19 Q. And can you explain why, how that happens? What are
20 the mechanics of it?

21 A. Sure. You have a history of what we would consider,
22 based upon that history, a low back strain that had otherwise
23 resolved with a return to work and duties up until a point in
24 time where there was an incident; that subsequent to that
25 incident, there was a lengthy process of care and treatment with

1 complaints and symptoms specifically indicating radiculopathy.

2 Q. Same question: Do you have an opinion with a
3 reasonable degree of medical certainty as to the cause of the
4 herniation at L5-S1?

5 A. Yes.

6 Q. And can you please tell the jury what that is.

7 A. Yeah. It would be the same rationale based on a
8 history of previous resolution with a subsequent history of a
9 fall on February 11, 2022, with significant complaints and
10 symptoms requiring long-term care regarding the low back injury.

11 Q. Could you show the jury, please, on the spine model
12 where L4-L5 is and L5-S1 is and why we call them that.

13 A. Sure. So in the lower back, the green bones that you
14 see here are the lumbar vertebrae, and they're numbered from 1,
15 which is on top to 5, which is on the bottom.

16 The orange triangle you see is the sacrum. So L5-S1
17 is the last bone of the lumbar spine and the first bone of the
18 sacrum. And L4-L5 is the bone that's directly on top of L5.

19 Q. How about in the neck, C5-C6?

20 A. So in the neck the first bone directly under your head
21 is the C1. And C5-C6 is found right between the fifth bone down
22 and the sixth bone down, which is essentially in the center
23 portion of your neck.

24 Q. Now, at that time, after history, examination, review
25 of diagnostic testing, MRI and EMGs, did you reach an opinion

1 with regards to whether he was disabled at that time?

2 A. Yes.

3 Q. And what was your opinion?

4 A. That he was temporarily totally disabled from his
5 work.

6 Q. And was that with a reasonable degree of medical
7 certainty?

8 A. Yes.

9 Q. What was the plan at that time?

10 A. So at that time, after I had initially seen him, I
11 wanted to obtain -- I ordered a CAT scan of his lower back to
12 better assess the bones themselves because the MRI is very --
13 you know, it's good at looking at discs and nerves. And a CAT
14 scan gives you a little bit clearer picture of what the joints
15 and the bones themselves look like. So I had ordered a CT scan
16 of the lower back.

17 Q. Did he come back three weeks later to your office
18 after having the CAT scan of his lower back?

19 A. Yes.

20 Q. And what took place at that visit?

21 A. So same thing. Discussion with the patient regarding
22 his symptoms, and they were essentially the same. A physical
23 examination, which continued to bear out abnormal findings
24 indicative of nerve problems. And the CT images were available
25 at that time, which I looked at.

1 And one of the things that was noticed on the CT scan
2 was that in addition to the area where the herniations were,
3 there was a displacement. In other words, there was an abnormal
4 alignment of the bones in the lower part of the back,
5 particularly in between L4 and L5. And that's called the
6 listhesis, a spondylolisthesis, which is just a fancy term for
7 an abnormal displacement of the bony alignment.

8 Q. Did that have a medical significance to you?

9 A. Yes.

10 Q. Can you please tell the jury what that is.

11 A. Yeah. Because, once again, one of the ways that you
12 can have a problem with the nerves is not only through the disc
13 protruding and touching the nerve, but it can also happen
14 because there is a structural problem with the spine, and a
15 structural problem such as a listhesis or a displacement of the
16 bones would contribute to the radiculopathy.

17 Q. Do you have an opinion as to what the cause was of the
18 listhesis?

19 A. So based on the herniation at L4-L5, and since the
20 discs' primary job is to maintain normal alignment, an abnormal
21 alignment was resulting in those bones secondary to the disc
22 problem at L4-L5.

23 Q. Did you formulate any treatment plan at that point?

24 A. Sure. Yes, I did.

25 Q. And can you tell the jurors what the treatment plan

1 was that you formulated after receiving the CAT scan and
2 evaluating it?

3 A. Yeah. So at that time, because the patient had had
4 symptoms which had been essentially going on for over a year by
5 the time I had seen him with the subsequent follow-up, and he
6 had done therapy, had been on medications, and then also had
7 some pain management procedures, he was essentially at the end
8 of his treatment protocol.

9 So you've done conservative treatment, which you've
10 exhausted; and, therefore, there are only surgical options left
11 in order to decrease symptoms that he was having and to prevent
12 any further deterioration.

13 Q. What is the treatment protocol that you were just
14 talking about?

15 A. So typically everything -- unless there is a life or
16 limb threatening issue, most treatment protocols, 90 percent of
17 them, if you will, involve nonsurgical care, which is basically
18 starting with rest, modifying your activities, utilizing
19 medications, doing physical therapy.

20 And then if those do not help, there are subsequent
21 treatments, which can involve injecting medications into the
22 areas that are producing symptoms. Those are typically pain
23 management procedures.

24 So conservative care essentially involves treatment
25 that does not require an open, structural, surgical procedure.

1 Q. Now, did you recommend surgery on that second visit?

2 A. Yes.

3 Q. And can you tell the jurors the type of surgery that
4 you recommended.

5 A. So the type of surgery is called the laminectomy,
6 which means taking pressure off the nerves by opening the spinal
7 canal area, and a spinal fusion, which means gluing the bones
8 together where the bony displacement was in order to stabilize
9 the area to prevent it from continuing to displace.

10 Q. Now, because he was involved in a work accident, did
11 you have to await authorization to do the surgery?

12 A. Yes.

13 Q. Now, did he continue to see you over the following
14 months until the surgery actually took place?

15 A. Yes.

16 Q. OK. And at a certain point, did you receive the
17 authorization to go forward with the surgery?

18 A. Yes.

19 Q. Can you tell the jurors when the surgery actually went
20 forward?

21 A. The date of surgery is March 13, 2024.

22 MR. KAHN: Now, with the Court's permission, I would
23 ask that the doctor be allowed to come down. And I have a
24 medivisual illustration of the surgery to help the doctor
25 in explaining the surgery to the jurors.

1 THE COURT: Officer.

2 THE COURT OFFICER: Coming, Judge.

3 THE COURT: If you can set that up for Mr. Kahn.

4 THE COURT OFFICER: Uh-huh.

5 MR. KAHN: Thank you, Your Honor.

6 THE COURT: You have something to put that on?

7 THE COURT OFFICER: I'll find something.

8 MR. KAHN: If we could just do the tripod, Your Honor.

9 THE COURT: Where did she put it?

10 THE COURT OFFICER: Catherine put it over here; that's
11 why.

12 Judge, do you want to be able to see this also?

13 THE COURT: Yes.

14 THE COURT OFFICER: OK. So, Doctor, if you look this
15 way, tell me which way I can move it to help you see
16 better. Do I got to go closer to the table?

17 THE WITNESS: I think you're OK.

18 THE COURT OFFICER: Because I don't want you to be
19 uncomfortable while you're trying to see it.

20 THE WITNESS: No, no, I'm fine. No problem.

21 THE COURT OFFICER: And you can see. All right.

22 THE COURT: If he needs to step down, he can do so.

23 THE COURT OFFICER: Come down, Doctor, if you want to.

24 MR. KAHN: You may want to use this (indicating).
25

1 BY MR. KAHN:

2 Q. So, Doctor, we have in front of you Plaintiff's
3 Exhibit 10, which we're using for demonstrative purposes.

4 THE COURT: That means it's not evidence. It's not
5 evidence. And you're not going to take it with you when
6 you deliberate. It's to help the doctor testify about what
7 he's about to tell us.

8 BY MR. KAHN:

9 Q. Doctor, taking a look at Plaintiff's Exhibit 10, is
10 this a fair and accurate representation of the surgery that you
11 performed on Mr. Chica on March 13, 2024?

12 A. Yes.

13 Q. And could you please explain the surgery using
14 Plaintiff's Exhibit 10 for the jury?

15 THE COURT: Doctor, did you create this diagram?

16 THE WITNESS: I reviewed it, Your Honor.

17 THE COURT: What's that?

18 THE WITNESS: I reviewed it, Your Honor.

19 THE COURT: That's not my question. Did you create
20 it?

21 THE WITNESS: I didn't. I did not draw it, Your
22 Honor, no.

23 THE COURT: OK. That's a factor that they can
24 consider. You didn't draw it. Tell us what it is.

25 THE WITNESS: So this is -- there are multiple images

1 that describe the operative report regarding the procedure
2 on the lower back that was done in March of 2024.

3 BY MR. KAHN:

4 Q. Please continue.

5 A. So the procedure itself is made on the backside of the
6 lower back. There's an incision that's made in the midline,
7 over the area of L4-L5 and S1 so that you can expose those bones
8 in order to do the procedure, which involves basically two
9 processes.

10 One is the laminectomy; that is, to take pressure off
11 the nerves. And that involves shaving away these backside
12 coverings of the spinal canal called the lamina. And that's
13 done between L4 and L5, which is seen in the right-hand upper
14 corner here (indicating) and between L5 and S1.

15 So those laminectomies are designed to basically make
16 more room for the nerves which are being irritated in those
17 areas.

18 The second part of the procedure involves this area
19 where there was displacement of L4 and L5. And that was an area
20 that required the process of stabilization, also known as
21 fusion. And in order to achieve fusion, you have to basically
22 fix those bones together to get them to glue in place so that
23 they no longer continue to displace.

24 And that's done by inserting several what we call
25 pedicle screws, which are attached to rods to internally brace

1 the bones of L4 and L5 because that was an area that
2 additionally had displacement. So in order to address the
3 displacement, that area was stabilized.

4 (Whereupon, the following was recorded and transcribed
5 by Official Court Reporter EILEEN AGNOLETTA.)

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 Q The bone graft, could you explain what that is all
2 about?

3 A So bone graft is the glue that your body uses in order
4 to stabilize the bones together, so if you broke your bone, like
5 your arm or your wrist, that fracture would create an area where
6 your bone cells would go in there and then glue those bones
7 together, that is the way you would heal a fracture.

8 When you are stabilizing bones that are not fractured,
9 you harvest your own bone from other areas, for example, from
10 the area where the laminectomy was and also from the area where
11 a portion of the pelvis called the iliac crest is, and then you
12 combine that with some donated bone, so you make kind of a bony
13 glue to place in between the area that you want to stabilize.

14 So bone graft can be considered a way of gluing your
15 bones together so that they heal together for stability
16 purposes.

17 Q Now, the fusion itself, exactly what is a fusion, what
18 are you fusing?

19 A So you are stabilizing L4-l5, which are the bones that
20 were previously displaced.

21 Q Now, the pedicle screws that you had identified, what
22 are those made of?

23 A Titanium.

24 Q And what about the rods?

25 A Titanium.

1 Q And are they still in his body?

2 A Yes.

3 Q Will they be there for a lifetime?

4 A Yes.

5 Q Anything else about the surgery that you want to
6 discuss?

7 A No. It's basically just the -- the basic understanding
8 is removing pressure off the nerves to give the nerves a chance
9 to heal and stabilize the bones so they don't continue to cause
10 any other damage.

11 Q Now, the lamina that you removed, the bone in the L4
12 and the bone in L5-S1, will that every grow back?

13 A I mean, yes, you can have some bone grow back --

14 Q Okay.

15 A -- in the area where the fusion is, particularly, but
16 that bone when and if it does grow back you still got extra room
17 for the nerves.

18 Q Now, is this a permanent alteration of Mr. Chico
19 Torre's spine?

20 A Yes. It's a structural procedure. It changed the way
21 the lower back is operating in order to best prevent any further
22 damage, yes.

23 Q Now, the fusion, we have a fusion between L4 and L5, is
24 there a total loss of motion now between those vertebrae?

25 A Well, L4 and L5 essentially grow into one vertebra.

1 Q So that there is one bone there now?

2 A Yes.

3 Q All right. You can have a seat now, Doctor. Thank
4 you.

5 Now, doctor, do you recall that long question I gave
6 you earlier?

7 A Was this the question regarding causation?

8 Q Correct.

9 A Yes.

10 Q And I want you to assume all of that as well, and do
11 you have a opinion within a reasonable degree of medical
12 certainty as to whether or not the accident of February 11, 2022
13 was the competent producing cause for the need for the surgery
14 that you just discussed with the jurors that took place on
15 March 13, 2024 Joe?

16 MR. SALVATO: Objection, just preserving my right
17 for the record.

18 THE COURT: Overruled.

19 A Yes.

20 Q And can you tell the jurors what your opinion is?

21 A My opinion is that the need for the surgery was
22 secondary to the fall of February 11, 2022.

23 Q Now, did Mr. Chico Torres see you postsurgery?

24 A Yes.

25 Q And can you tell us the jurors when he came back for a

1 postop visit?

2 A So the operation was on March 13th. The first
3 postoperative visit was March 25th.

4 Q And what took place on that visit?

5 A First postoperative visit is essentially a visit to
6 make sure that the patient has not had any problems or
7 complications from surgery, and in this case he was healing
8 appropriately subsequent to the surgery.

9 Q And what were your recommendations at that time?

10 A That he was he postsurgically stable and could start
11 physical therapy as long as he felt comfortable enough to do
12 that, and to avoid bending, lifting, and twisting and to avoid
13 repetitive motion to the neck and back.

14 Q When was the next time you saw him?

15 A July 1st of 2024.

16 Q And what took place in July of '24?

17 A So that was the second followup. At that time he was
18 continuing to recover from surgery, and his physical findings
19 showed that that recovery process was essentially going
20 normally.

21 Q Now, you say in the physical exam he was still antalgic
22 and still kyphotic, why is that?

23 A So that means that the lower portion of the back had
24 flattened it out, that kyphotic posture that was there, which
25 was there, which is typical in patients that have had a low-back

1 issue requiring surgery.

2 Q You also go on to say that there was spasm in the
3 cervical, in the low-back regions. Why was he still spasming at
4 that time?

5 A So spasm is apart of the normal process regarding his
6 neck and back in the postoperative intervals, so that is not an
7 unusual finding for that period of time.

8 Q Fusion surgery in and of themselves, what are they
9 actually designed to do?

10 A Stabilization, so any time you hear the word fusion,
11 you are talking about stabilizing a condition in order to
12 prevent any further damage.

13 Q Are they designed to eliminate all pain?

14 A No. So you can think about a spinal surgery the same
15 way you could think about diabetes medicine or high blood
16 pressure. It's a way to manage the condition, so if you have
17 high blood pressure or diabetes, you are never really curing
18 those conditions, but you are taking medications to manage the
19 condition.

20 Spinal fusion surgery is a way to manage the problem in
21 order to prevent it from getting worries, the same way you would
22 take medication for high blood pressure or diabetes.

23 Q Now, is the fusion surgery designed to eliminate any
24 further neurologic damage?

25 A From the areas that have been operated upon, correct.

1 So the areas that are causing neurological problems with damage
2 you want to prevent that neurological damage from getting worse
3 and that is the primary goal of the surgical procedure.

4 Q And when we say neurological damage, can you explain in
5 layman's terms what we are talking about?

6 A So your nerves do a bunch of different things. Number
7 one is they feel things. They can also cause pain. They move
8 your arms and legs and your bones and joints around and they are
9 responsible for your reflexes.

10 You might imagine that that if the neurological
11 condition gets worse, each one of those actions that nerves are
12 responsible for can become impaired, right, so you could have,
13 in addition to sensory loss, you can now lose your reflexes or
14 you could lose the ability to move your arms and legs.

15 Weakness is the primary issue that you want to avoid,
16 so the primary neurological damage that you'd like to avoid is
17 you would like to avoid weakness, you would like to decrease
18 painful symptoms, and you want to avoid any progressive
19 weakness.

20 Q Whatever nerve damage is already there, is it already
21 there, in other words, do nerve regenerate?

22 A So nerve behave a little -- they behalf differently
23 depending on what they do. The best chance for a surgery to
24 help is with pain. About 90 percent of the time you can
25 decrease radiating pain and symptoms.

1 Reflexes only come back about 50 percent of the time,
2 and weakness only comes back about 30 to 40 percent of the time,
3 so those nerve fibers have different sensibilities, depending on
4 what they are responsible for, which is why you want to prevent
5 weakness from happening because it has a lower success rate in
6 terms of regenerating.

7 Q What would have happened if he had not had the fusion
8 surgery and prevented further neurologic damage?

9 A So the issue would be weakness in the legs and feet and
10 difficulty with the ability to walk.

11 Q Now, you further noted in your July 1, 2024 note that
12 there is still residual L4-L5 and S1 distribution sensory loss.
13 What does that mean?

14 A So sensation and reflexes, as I said, have about a
15 50 percent chance of getting better, so that's where he is right
16 now, at that 50 percent mark. That means you are, you know, you
17 are on the fence. Is sensation going to completely and entirely
18 return? Well, you have a 50 percent chance of that happening.

19 Q Do you have an opinion as to whether it is going to
20 come back?

21 A It really depends on what most recent visit was, and if
22 I may jump up to October.

23 Q Right. Now, October 7, 2024, that was the last visit?

24 A Yes.

25 MR. SALVATO: Objection.

1 THE COURT: There's an objection.

2 MR. SALVATO: Yes, to the question, the last visit
3 is in 2025.

4 MR. KHAN: Oh, I'm sorry.

5 MR. SALVATO: Just to save time.

6 MR. KHAN: Thank you.

7 Q So October 7, 2024, what do you say about the sensory
8 loss?

9 A That at that point in time it had not returned.

10 Q And at that point in time did you come to an opinion as
11 to whether or not that would be a permanent condition?

12 A At that point in time it would more likely than not be
13 permanent because of only a 50 percent chance of getting better.

14 Q Now, after that you saw him a year later. Let's go to
15 your last note of October 6, 2025, about four months ago.

16 Can you tell us what was going on on that visit?

17 A Sure. So that was a follow-up visit from the previous
18 October visit of 2024, and let's see. He had what appeared to
19 be some quadriceps reflex improvement, but some persistent lower
20 extremity, that is L4-L5 and S1 sensory loss.

21 Q So he still had had the sensory loss about four months
22 ago. Can we agree at this point it is probably a permanent
23 condition?

24 A Yes.

25 Q Also you say that he still has mechanical and axial

1 low-back pain. What is mechanical and axial low-back pain?

2 A Pain with activities that are mechanical, bending,
3 lifting, twisting.

4 Q Is that is a permanent condition?

5 A Sure.

6 Q In regards to his neck, what were his complaints on
7 October 6, 2025?

8 A Let's see.

9 Q First page, third paragraph.

10 A Occasional neck pain, some radiating symptoms
11 intermittently.

12 Q Did you perform an examination on that date?

13 A Yes.

14 Q And can you tell the jury what the examination
15 consisted of and what your findings were?

16 A Sure. So he had some mild sensory loss in the sixth
17 nerve root distributions.

18 Q That's in the neck?

19 A Correct. There were no muscular problems, no
20 significant weakness, and primarily spasm in the neck.

21 Q Okay, and let's go to the lower back. What did your
22 exam consist of; what were the results?

23 A So L4-L5, S1 sensory loss, some return of the
24 quadriceps reflexes, and spasm in the lower back.

25 Q And how about his range of motion in the low back?

1 A So his range of motion was in, let's see, in extension
2 it was 40 out of 60, in flexion it was 45 out of 60, bending to
3 the right was 40 out of 45, bending to the left was 40 out of
4 45, and rotation was 45 out of 80 on both the right and left
5 sides.

6 Q Okay. So with regards to lumbar extension, that's the
7 ability to bend backwards?

8 A Correct.

9 Q And you say that his numbers were 40 out of 60, 60
10 being normal?

11 A Yes.

12 Q And would it be fair to say that he's lost a third of
13 his ability to bend backwards?

14 A That's right, yes.

15 Q Is that a permanent condition?

16 A Yes.

17 Q Now, with regards to flexion, that is the ability for
18 us to bend forward?

19 A Yes.

20 Q And you said he was 45 out of 60, 60 being normal,
21 correct?

22 A Yes.

23 Q Would it be fair to say that he lost 25 percent of his
24 ability to bend forward?

25 A Yes.

1 Q And is that a permanent condition?

2 A Yes.

3 Q And do you have an opinion with a reasonable degree of
4 medical certainty as to whether or not the accident of
5 February 11, 2022 was the cause of the loss of range of motion
6 in Mr. Chico's low back?

7 A Yes.

8 Q Can you explain to the jury what your opinion is.

9 A Yes. So the whole treatment plan here was based on the
10 disc problems stemming from the February 11, 2022 injury that
11 required surgery, and so these are his persistent postoperative
12 findings.

13 Q Now, did you reach an opinion with a reasonable degree
14 of medical certainty as to his disability status at the time
15 that you last saw him in October of 2025?

16 A Sure, so let's see. I indicated that he would be at, I
17 determined, the 66-percent disability from the entire labor
18 market.

19 Q What does that mean, 66 percent disability?

20 A So, you know, a hundred percent would mean you really
21 can't do anything, zero percent means you could do everything up
22 until heavy, heavy, heavy duty labor. 66 percent would mean
23 something between sedentary to light duty. Light duty capacity
24 is typically somewhere requiring the manipulation of about 25 to
25 30 pounds of pushing and pulling and lifting, so somewhere in

1 that neighborhood.

2 Q Could he go back to being a painter?

3 A So a painter would be more -- wouldn't be a -- let's
4 see, that would be it's not a heavy duty labor, but it is
5 moderate duty labor so that would be no.

6 Q Can he go back as a construction worker?

7 A No, sir.

8 THE COURT: Can he paint?

9 THE WITNESS: Occasionally, of course, yes.

10 Q Could he go back as a laborer on a construction site?

11 A No.

12 Q Now, do you know if there are -- withdrawn.

13 You are not a vocational rehabilitation specialist; is
14 that fair?

15 A Correct.

16 Q So you would not have any knowledge or opinion as to
17 what the job market was out there for him, fair?

18 A Correct.

19 Q So what what is his prognosis?

20 A I would say the prognosis -- well, when you talk about
21 prognosis, that means what is your -- what is your best educated
22 medical guess for the future, and it's the same educated medical
23 guess that you would give a patient with diabetes or high blood
24 pressure, which means you need to continue care and treatment.
25 The definition of requiring care and treatment in terms of a

1 prognosis is guarded, guarded basically means you guarding them,
2 right you're watching them and you are maintaining them over
3 their care of their lifetime, so their prognosis would
4 technically be guarded.

5 Q Did you make any recommendations to him with regards to
6 future treatment or activity modification?

7 A Yes, so activity modifications and restrictions,
8 specific avoiding repetitive, consistent repetitive motion, such
9 as bending, lifting, and twisting, and then using your body as
10 your guide to do what you can and cannot do, which basically is
11 to avoid activities that reproduce pain and symptoms, and then
12 would not be unreasonable to use medications, such as
13 anti-inflammatories, to treat periods of exacerbation in pain or
14 symptoms to the neck and back.

15 Q Why did you advise him to avoid repetitive motion,
16 avoid bending, lifting, and twisting?

17 A So with the neck and back, as we said, your primary
18 issues for your neck and back are activities that involve
19 bending, lifting, and twisting, those are mechanical.

20 When you alter a patient's normal anatomy, you are now
21 changing their normal way that the spine works. So constant
22 repetitive bending, lifting, twisting in motion produces extra
23 stress and strain to those parts of the spine that you've
24 modified with your surgery, so it only stands to reason that
25 decreasing those activities prolongs the surgical procedure that

1 you've done.

2 Q Now, what if somebody would do that repetitive bending,
3 lifting, and twisting with the type of procedure that Mr. Chico
4 Torres underwent?

5 A So you are going to first off develop symptoms, right.
6 You'll have symptoms of pain and spasm from the constant
7 repetitive motion, and then you'll also start to develop some
8 wear and tear in the areas where you've done your surgery.

9 Q And when you say wear and tear in the areas on which
10 you've done the surgery, what type of wear and tear are we
11 referring to?

12 A So you would be referring to degenerative changes from
13 a breakdown of the tendons, and the joints, and the discs in the
14 area adjacent to the area where the surgery was.

15 Q Now, would it be fair to say that painting involves
16 repetitive motion, such as bending, lifting, and twisting?

17 MR. SALVATO: Objection.

18 THE COURT: Overruled.

19 MR. KHAN: He's not painting at an easel.

20 A So, you know, painting on a consistent basis would
21 involve consistent bending, lifting, and twisting.

22 THE COURT: Painting?

23 THE WITNESS: On a consistent basis, yes,
24 depending on what kind of painting you are doing and
25 whether or not you had any ergonomic modification.

1 MR. KHAN: I have nothing further, Doctor. Thank
2 you.

3 THE COURT: Are you ready to do cross-examination?

4 MR. SALVATO: So we can go through it fully, if we
5 take a two-minute bathroom break, I can finish before
6 lunch.

7 THE COURT: Good, that's what. We'll take a
8 five-minute bathroom break. Do don't discuss the case.
9 Don't do any research of your own.

10 Don't discuss your testimony, Doctor, during the
11 break with your attorney.

12 Ten minutes.

13 THE COURT OFFICER: All rise, jury exiting.

14 (Whereupon, the jury exits the courtroom.)

15 Doctor, you can step down. You can't discuss your
16 testimony. If you need the need the restroom, you go out
17 in the hallway and it's right here. Thank you.

18 (Whereupon, a short recess was taken.)

19 THE COURT OFFICER: All rise, jury entering.

20 THE CLERK: Do all parties stipulate to the
21 presence and proper seating of the jury?

22 MR. KHAN: So stipulated.

23 MR. SALVATO: So stipulated.

24 THE COURT: Welcome back everyone.

25 Mr. Salvato, he's your witness now.

1 CROSS-EXAMINATION

2 BY MR. SALVATO:

3 Q Good morning, Dr. Merola.

4 A Good morning.

5 Q I want to start with if at any point during my
6 questioning my pronunciation is so poor, ask me the word again
7 and I'll spell it out for you. Okay?

8 A Yes.

9 Q Now, Doctor, you are a orthopedic spinal surgeon,
10 correct?

11 A Yes.

12 Q And you're not a radiologist, correct?

13 A Correct.

14 Q And I'd ask, going forward on the record, that you do
15 what you you're already doing. If you able to answer yes or no,
16 please do, and if you can't please let me know.

17 Did you review the prior findings of the radiologist?

18 A Yes.

19 Q And in this case the MRI films that you reviewed were
20 predominantly from Kolb Radiology, correct?

21 A Yes.

22 Q And can you recall a time where you ever disagreed with
23 radiological findings of Kolb Radiology?

24 A Yes.

25 Q On about how many occasions, if you know?

1 A I don't know, but I have, I sometimes disagree with
2 radiology findings.

3 Q And, now, you've testified numerous times on behalf of
4 your patients who are plaintiffs in personal injury litigation,
5 correct?

6 A Yes.

7 Q And have you testified over 200 times on behalf of the
8 plaintiff?

9 A I would say over the course of the last 30 years, yes.

10 Q And you charge for time away from your office, correct?

11 A Yes.

12 Q And if I understood you correctly, \$750 per hour?

13 A Yes.

14 Q And you are being paid for your testimony at that rate
15 here today?

16 THE COURT: Not for his testimony, for his time.

17 Q You are being paid for your time at that rate today,
18 right?

19 A Yes.

20 Q And how much have you been paid thus far for your time?

21 A I don't know. I don't have that information with me.

22 Q Do you have a general idea?

23 A No.

24 THE COURT: You don't know how much you're being
25 paid on this case?

1 THE WITNESS: I don't know how much I've been paid
2 or I don't know how time I will be here today, so I don't
3 know those specifics numbers, your Honor.

4 Q And when was the first time you had any discussion with
5 plaintiff's counsel about this claim?

6 A I believe it was about a week or two ago.

7 Q Now, Dr. Merola, are you able to quantify for the
8 members of the jury, given the procedure that you performed on
9 Mr. Chico Torres, the multiple visits with him, how much you've
10 actually billed for your services for Mr. Chico Torres?

11 A I'd have to go back and look at the billing records so
12 I'm not sure exactly.

13 THE COURT: Did you bring your records with you?

14 Q There was a my next question. Did you bring your
15 billing records here today?

16 THE COURT: Don't interrupt me.

17 MR. KHAN: I apologize.

18 THE WITNESS: Not billing records, your Honor.

19 THE COURT: He doesn't have them.

20 Q You were served a subpoena in this case to produce your
21 records here today, correct, Dr. Merola?

22 A Yes.

23 Q And did that include a request for your billing
24 records?

25 A Yes, and I believe for the billing records they are

1 outsourced so they go to a separate company.

2 Q So is it your position that you are not in possession
3 of any of your billing records?

4 A Yes.

5 Q And do you have a general idea, even using \$25,000 to
6 \$50,000 of wiggle room as to how much you billed for the
7 surgeries and treatment in this case?

8 A So I think the standard fee schedules for an initial
9 visit is \$125. I think the followups are anywhere between 75 to
10 a hundred and some odd. Then I think the fusion is, I think
11 that's anywhere between, and I'm guessing, I think that's
12 somewhere between maybe 9 and 12 or 13, so those are guesses.

13 Q Anywhere between 9 and 12 or 13 is the portion of the
14 bill that you personally would receive, correct?

15 A Yes.

16 Q The overall bill of a lumbar fusion, is that in excess
17 of \$100,000?

18 A No.

19 Q Now, as we sit here today, though --

20 A When you said overall, I'm sorry to interrupt you, do
21 you mean like the hospital and everything else or you just mean
22 me?

23 Q Everything involved in the lumbar fusion surgery which
24 would include the hospital.

25 A So I don't know what those numbers would be.

1 Q And you don't have anything here that would help you
2 substantiate the actual cost?

3 A Yes, that's correct.

4 Q Now, Doctor, would you agree you perform about 250 of
5 these fusion surgeries per year?

6 A More or less, depending on the year.

7 Q And would you agree the average spinal surgeon performs
8 approximately 80 to 90 of these spinal fusions?

9 A Depends on where you are and what your referral sources
10 are, so it can vary, so it's variable. I would say anywhere
11 between that number, or less to my number or more depending on
12 what your practice is like.

13 Q But would you agree that that is half of the amount of
14 surgeries you perform?

15 A I'm not specifically aware of those numbers.

16 THE COURT: Well, would your number be on the high
17 side or the low side, nationally or statewide, if you know?

18 THE WITNESS: I don't know, your Honor.

19 Q Thank you, Dr. Merola. Now, when Mr. Chico Torres came
20 to see you for the first time, did he fill out an intake form?

21 A Yes, some folks do fill it out at intake, that's
22 correct, yes.

23 Q And in terms of the subpoenaed medical records, is the
24 intake form continued within those records?

25 A I don't believe so, no.

1 Q Do you know why it is not contained within those
2 records?

3 A So for the intake forms, sometimes they get scanned in
4 the chart, sometimes I just transcribe it. It depends on when I
5 do the transcription for the office note.

6 So I will transcribe whatever is on the intake form
7 into the office note, so if I do it right away and rapidly that
8 may not get into the chart. If I have to do it at a later date,
9 then it would get scanned and then I can go back and reference
10 it and do my dictation.

11 Q What happens to the intake form if you don't keep it as
12 a record?

13 A All the medical records that we don't scan into the
14 chart are typically shredded so that we don't have any records
15 laying around.

16 Q So any intake form he fills out on his first visit
17 you're saying is mostly shredded?

18 A Yes, if it is not part of the electronic medical record.

19 Q And it is not part of the record subject to subpoena?

20 A I don't believe so, no.

21 Q How was Mr. Chico Torres referred to you?

22 A I didn't know specifically indicate it, but I did
23 receive some records from CitiMed, so I think he had come in
24 from CitiMed because we had gotten even some records for him for
25 his initial visit.

1 Q The way he was referred to you, would that normally be
2 on the intake sheet?

3 A Not necessarily, no.

4 Q Now, before we get into everything, I just want to be
5 clear about something. You said, you indicated the plaintiff
6 can return to light or sedentary work, correct?

7 A Yes.

8 Q And if I understood your testimony here today
9 correctly, that means pushing, twisting, and lifting up to 25 to
10 30 pounds?

11 A Sure.

12 Q So while if I understood everything you said already
13 about the bending and twisting each day of a painter, light duty
14 or sedentary work, as you recommended, could be a security guard
15 at the front of a building, a front desk clerk, things of that
16 nature?

17 A Sure.

18 Q And you've told him since October of 2025 or even the
19 appointment before that he was capable of performing that work?

20 A Yes.

21 Q Now, Dr. Merola, would you agree the majority of
22 individuals who undergo a spinal fusion return to work in some
23 capacity within two years?

24 A Certainly, sure, patients that have undergone spinal
25 fusion surgery can return to work within two years or so, yes.

1 Q Would you agree that majority of spinal fusion patients
2 recover well enough that they can perform most, if not all, of
3 the duties of household activities, the day-to-day activities of
4 living?

5 A Sure.

6 Q Now I want to move to Mr. Chico Torres specifically.
7 If I understand correctly, he's a gentleman in his
8 mid-thirties who told you he had a fall in 2022, correct?

9 A Yes.

10 Q And your first evaluation of him was on February 6th of
11 2023, about one year after the alleged incident?

12 A Yes.

13 Q And if I understand correctly, by the time you saw him
14 a year later he had already had MRI films of both his cervical
15 spine and lumbar spine from March of the previous year?

16 A Yes.

17 Q So those films had occurred about 11 months before?

18 A Yes.

19 Q And those MRI films from March of 2022, they showed
20 disc herniations at L4 and L5, S1, correct?

21 A Yes.

22 Q And, Doctor, would you agree those MRIs from that same
23 date in May of 2022 showed no lumbar fracture, no listhesis and
24 normal vertebral alignment at the time?

25 A Yes.

1 Q And, Doctor, would you also agree that the March 7,
2 2022 lumbar MRI read by Dr. Kolb showed normal disc, normal
3 marrow, no fractures, no listhesis, and normal vertebral
4 alignment?

5 A Yes.

6 Q Now, Doctor, would you agrees those are classic
7 indications of chronic disc disease?

8 A They can be.

9 Q Now, turning to your notes from the February 9, 2023
10 visit, which would be the second visit, correct?

11 A First visit was February 6th, second visit was
12 February 27th.

13 Q Okay. So, Doctor, would your notes indicate that the
14 CT of the lumbar spine taken on February 9th showed
15 spondylolisthesis and foraminal narrowing at L4 and L5 and S1?

16 A Yes.

17 Q And another lumbar MRI any was taken by Dr. Kolb again
18 on October 6th of 2023, correct?

19 A Yes.

20 Q And that MRI noted a posterior disc herniation at a
21 L4-L5, a posterior disc herniation and L5-S1, Grade I
22 retrolisthesis on L4-L5, correct?

23 A Yes.

24 Q And those were all described as unchanged compared to
25 the March 22nd report 18 months earlier?

1 A Yes.

2 Q Did you agree with Dr. Kolb's findings that those were
3 unchanged during that 18-month period of time?

4 A No.

5 Q So now the radiological reports from Dr. Kolb in March
6 of -- I don't want to get the dates wrong -- in March of 2022
7 and October of 2023 did not show worsening, they showed stable,
8 low grade retrolisthesis; is that correct?

9 A Yes.

10 Q Would you agree, Doctor, that a Grade I retrolisthesis
11 is a mild, low-grade backward symptom often seen with a
12 degenerative disc disease?

13 A Yes, it can be.

14 Q And it is relatively common in construction workers in
15 their thirties and forties?

16 A Yes, it can be.

17 Q And, Doctor, would you agree that the L4 and L5, S1,
18 those areas you showed us on the spine, those are among the most
19 active regions of the spine?

20 A Yes.

21 Q And as some of the more active regions of the spine,
22 they would undergo more wear and tear than the thoracic spine
23 discs would, correct?

24 A Yes.

25 Q And as you pointed out, L4 and L5, S1, that has to do

1 with the bending, and getting up, moving around and twisting,
2 correct?

3 A Yes, sir.

4 Q And, Doctor, would you agreed if someone is standing up
5 a lot, like a painter, we can expect to see more degeneration in
6 the spine in those areas after a prolonged amount of years of
7 work?

8 A Sure.

9 Q Now, Doctor, before today -- well, withdrawn. Before
10 you first spoke to plaintiff's counsel about a week or two ago,
11 were you aware that Mr. Chico Torres had complained of back pain
12 the year before this incident?

13 A No.

14 Q Were you aware that he had gone to the hospital and
15 gotten injections in his lower back before you heard it from
16 plaintiff's counsel?

17 A No.

18 Q So when you were treating Mr. Chico Torres, do you
19 speak Spanish?

20 A Yes.

21 Q Okay. You speak flute Spanish?

22 A Yes.

23 Q So you were able to speak with him directly?

24 A Correct.

25 Q And he never mentioned to you that prior to

1 February 11th of 2022 he had bent over and was unable to get up
2 and had to go to the hospital the next day?

3 A Yes.

4 Q Would that have been valuable information when you're
5 attempting to make a diagnosis as to the causation of his
6 injuries?

7 A Not so much if it was only transient.

8 Q Now, Doctor, someone who can't get up, requires an
9 injection, do you consider that transient?

10 A If there is no further treatment, yes.

11 Q But you don't know if there was any further treatment
12 because he never told you about it in the first place?

13 A Not at the time.

14 Q Well, he never told you, correct?

15 A Yes.

16 Q And it's not contained anywhere in your medical
17 records?

18 A Yes.

19 Q And so as a result you were unable to inquire as to
20 whether there were medical records from Flushing Hospital that
21 you could look at related to his lower back because he never
22 told you?

23 A Yes.

24 Q Now, without the knowledge of the prior lower back
25 injury on February 5th of 2024, you labeled plaintiff's

1 injuries, in part, as posttraumatic symptomatic spondyloptosis
2 at L4-L5, correct?

3 A Yes.

4 Q And you claim there was severe vertebral foramina and
5 spondylolisthesis at L4-L5 as per the MRIs of the spine,
6 correct?

7 A Yes.

8 Q Those same imaging records from Dr. Kolb, they simply
9 describe a Grade I retrolisthesis and unchanged disc herniations
10 without any acute fracture, edema, or acute instability,
11 correct?

12 A Yes.

13 Q So the posttraumatic characterization within your
14 notes, that is your medical characterization, not the
15 radiologist's objective description, correct?

16 A Yes.

17 Q And to be clear, this radiologist's description we are
18 talking about, this wasn't a radiologist hired by defendants,
19 this was the treating radiologist of the plaintiff, correct?

20 A Yes.

21 Q Now, at your initial visit in February 6, 2023, if I
22 understood you correctly, at that time you characterized the Mr.
23 Chico Torres as temporarily, a hundred percent disabled,
24 correct?

25 A Yes.

1 Q And between the first visit and almost exactly a year,
2 so February 5, 2024, we are one day short, your surgical request
3 note, you noted that he still was a hundred percent temporarily
4 totally disabled as a result of the widespread pain and
5 difficulties with daily activities, correct?

6 A Yes.

7 Q And when it come to widespread pain or difficulty with
8 daily activities, you are relying upon the subjective complaints
9 of Mr. Chico Torres, correct?

10 A In part, yes.

11 Q Now, your operative report, Doctor, on March 13th of
12 2024, you state the purpose of the decompression and
13 reconstruction it was posttraumatic low-back pain and
14 radiculopathy, correct?

15 A Yes.

16 Q And you wrote that the purpose of this decompression
17 and reconstruction was primarily to prevent the condition from
18 getting worse, correct?

19 A Yes.

20 Q And you're not claiming you were preventing paralysis
21 or loss of ambulation at this point, you were just trying to
22 prevent any worsening of the current situation?

23 A Yes.

24 Q And you were operating basically because of pain
25 complaints since the imaging hadn't changed in roughly two

1 years?

2 A Yes.

3 Q And those pain complaints are the subjective complaints
4 from Mr. Chico Torres, not from anything you can see on the MRI
5 or CAT scan films?

6 A The complaints are subjective, yes.

7 Q Now, the procedure you chose for this 38-year-old man,
8 a fusion of L4-L5 with a Grade I retrolisthesis, that was not a
9 high-grade slip, right?

10 A Yes.

11 Q And the radiologist never described any -- withdrawn.
12 His radiologist, not mine, his. Dr. Kolb never
13 described any dynamic instability on flexion or extension,
14 correct?

15 A Yes, none were taken.

16 Q And you already answered my next question, but I'll
17 just get right for the record.

18 No flexion or extension X-rays showing any gross
19 translation or anything of that matter were even taken before
20 the surgery, correct?

21 A Yes.

22 Q Now, would you agree that patients can have disc bulges
23 and even disc herniations without any symptoms?

24 A Yes.

25 Q And do you accept that the presence of a disc

1 herniation on MRI does not by itself mandate surgery?

2 A Yes.

3 Q And here the MRIs repeatedly showed no progression of
4 the herniations and no change in the Grade I slip, correct?

5 A Yes.

6 Q And just to make sure I have this right, and if I asked
7 it you can object, a Grade I slid is considered a mild
8 condition, correct?

9 A Yes.

10 Q Now, without any change in the herniations or the
11 Grade I slip over two years and without the MRI identifying
12 there are no changes, you proceeded to perform a multilevel
13 decompression and instrumented fusion surgery based on
14 subjective pain complaints and the posttraumatic diagnosis you
15 had provided, correct?

16 A And the treatment I had rendered to the patient, which
17 included his physical exam, yes.

18 Q And now after his first postoperative visit you
19 described him as postsurgically stable, able to go through the
20 physical therapy process, correct?

21 A Yes.

22 Q And at that same postsurgery visit you stated that from
23 a sensory motor and neurological function he was stable,
24 correct?

25 A Yes.

1 Q And now by July 1, 2024, which is several months after
2 you performed the surgery, you noted the surgery had been
3 helpful in preventing significant and severe pain shooting in
4 the extremities, correct?

5 A Yes.

6 Q But the pain you did still characterize in the notes as
7 occurring, the axial low-back pain. That is something that you
8 get based off of the complaints of Mr. Chico Torres, correct?

9 A Yes.

10 Q It's not something you can see?

11 A Yes.

12 Q And at that point in time, several months after the
13 surgery, you still had it at a hundred percent temporary total
14 disability?

15 A Yes.

16 Q Because a surgery like this it takes time to heal,
17 correct?

18 A Yes.

19 Q But by October 7th of 2024 in your permanency report,
20 you said that he had benefitted maximally from the surgery,
21 correct?

22 A Yes.

23 Q And most people who benefit maximally, which is a
24 medical term, from a spinal fusion are able to go back to most,
25 if not all, the activities of daily living; is that correct?

1 A Yes.

2 Q And most people who benefit maximally from a spinal
3 fusion are able to hold some kind of work, I'm not saying the
4 same as before, but some kind of occupation as a result of the
5 success of the surgery, correct?

6 A Yes.

7 Q So even when he has a fusion where he benefitted
8 maximally, are you saying he can't do moderate work jobs, in an
9 abundance of caution, you were recommending sedentary and light
10 work?

11 A I'm not sure I understand the question.

12 Q We had discussions about painting early.

13 A Yes.

14 Q Given that he benefitted maximally from the surgery, I
15 understand you're saying he can't go back to work as a painter
16 full-time, but if he wanted to paint a room in his house, would
17 he most likely be able to do that?

18 A Yes.

19 Q And if he wanted to do any job where he continued have
20 to lift push or pull more than 25 pounds, he should be able to
21 do that as well?

22 A Yes.

23 Q Doctor, what percentage of your patients, after a
24 surgery where you indicate they benefitted maximally, still
25 indicate the axial low-back pain which is subjective in nature?

1 A So if I understand your question correctly, what
2 percentage of patients that have spinal fusion surgery still
3 have axial low-back pain?

4 Q I'm going to withdraw it and phrase it a little clearer
5 because it was a poor question.

6 What percentage of your patients, in your experience,
7 after having a spinal fusion that you characterize as
8 benefitting maximally from still report some level of axial back
9 pain?

10 A Sure. I mean a fair amount. I can't give you the
11 exactly number, but a fair amount will still have low-back
12 symptoms.

13 Q Okay. And in terms of your determining his disability
14 status, does that take into account the subjective complaints of
15 pain?

16 A Yes.

17 Q And does it take into account what the patient is
18 telling you they can and can't do?

19 A Sure.

20 Q Now, March 2022, the lumbar MRI, which was taken just
21 three weeks after the incident that occurred, there was no L4-L5
22 herniations in those images, correct, and in March there was no
23 evidence of listhesis?

24 A Not by reading, correct.

25 Q And, Doctor, would you agree there is nothing in the

1 radiological reports describing vertebral body edema, disc space
2 collapse, or endplate fractures that would be the markers of an
3 acute structural failure?

4 A Yes.

5 Q Doctor, would you agree edema is a term for swelling,
6 increase of fluid in an area of tissue?

7 A Yes.

8 Q And can we agree, Doctor, in looking at the records
9 that you had at your disposal when you first examined Mr.
10 Torres, there was no study, no film, no X-ray, or no MRI
11 exhibiting edema in the lumbar spine, correct?

12 A Yes.

13 Q So your testimony is that he had a traumatic injury to
14 his lumbar spine, correct?

15 A Yes.

16 Q And you are making that diagnosis despite the fact that
17 all the imaging studies that you reviewed during the pendency of
18 your treatment, not one of those films, not one study showed any
19 swelling, correct, to the lumbar spine?

20 A Yes.

21 Q So on the imaging alone, the objective evidence we have
22 in the imaging, you cannot say that the L4-L5
23 retropeddyolisthesis was caused by that incident rather than
24 representing chronic degenerative changes; is that correct?

25 A Based on imaging alone, that is correct.

1 Q Now, in your report you say that all the spinal
2 pathology and the need for fusion surgery are causally related,
3 correct?

4 A Yes.

5 Q And that conclusion ignores the chronic degenerative
6 features described not just from the radiologist at this
7 hospital, but his own radiologist, Dr. Kolb, right?

8 A No, I would disagree.

9 Q Doctor, would you agree that fusion surgeries are
10 generally reserved for cases with instability, deformity, or
11 intractable symptoms that have failed all conservative measures?

12 A Yes.

13 Q And here you did a fusion for a Grade I retrolisthesis,
14 stable retrolisthesis, without any instability documented,
15 correct?

16 A Well, he had an electrical instability on the EMG as
17 well as the physical findings.

18 Q And the physical finding would be where you are
19 speaking with him and performing tests where you ask him to move
20 his body?

21 A That's correct, yes.

22 Q And even the tests for flexion, extension, or anything
23 else, when you ask him to move his body, it relies on the
24 cooperation of the plaintiff or the patient, excuse me, in terms
25 of how far they bend backward or forwards or anything else,

1 correct?

2 A In part.

3 Q In part. Now, you never offered him, before the
4 surgery, a more limited decompression only procedure, correct?

5 A No.

6 Q I'm going to say the question again because it was a
7 double negative and I'm not sure about your no.

8 Did you ever offer a decompression only procedure
9 before recommending the fusion?

10 A So part of that counseling process is to offer him like
11 all of the surgical options, which would include nonfusion
12 versus fusion.

13 Q So is the answer yes, you offered him the decompression
14 only procedure?

15 A Yes.

16 Q And is that contained within the medical records?

17 A Let's see, hang on.

18 Q I'll speed this up to help you.

19 A I was going to say if you go to the counseling session,
20 it just, in terms of the counseling session, when we talk about
21 options and alternatives to include nonsurgical and we do review
22 the surgical options.

23 (Whereupon, the following was recorded by Noah Collin.)

24

25

1 CROSS-EXAMINATION (CONTINUED)

2 BY MR. SALVATO:

3 Q. OK. So several surgical options were presented to the
4 plaintiff?

5 A. Yes. There is always, there's always two basic
6 surgical options, decompression versus decompression and fusion.

7 Q. OK. Now Doctor, would you agree that you never
8 obtained an independent surgical opinion from a non-treating
9 spinal surgeon before recommending the instrumented fusion?

10 A. No.

11 Q. What other spinal surgeon did Mr. Torres see to get a
12 second opinion?

13 A. So I don't know if it was a spinal surgeon, but it's a
14 medical director. There's -- we talked about the whole approval
15 process which goes through a medical director.

16 Q. OK. Now Doctor, your opinion that the incident made
17 the fusion necessary rests primarily on your interpretation of
18 both the complaints of Mr. Chica Torres and the examinations you
19 performed on him, not on the imaging; correct?

20 A. It's a combination of all of those things.

21 MR. SALVATO: I don't have any further questions.

22 Thank you.

23 THE COURT: Redirect?

24 (continued on the next page.)

25

1 REDIRECT EXAMINATION

2 BY MR. KAHN:

3 Q. Now Doctor, did you only recommend surgery because of
4 Mr. Chica Torres' complaints?

5 A. No.

6 Q. Can you explain to the jury why that recommendation
7 was made?

8 A. Yeah. I mean it's -- so when you are treating a
9 patient, obviously you have their complaints and symptoms which
10 are subjective. What it is that's bothering them, how long it
11 has been bothering them, how it interferes with their life. So
12 there is their own perception of their health and well-being.
13 And then you have to combine that with your physical findings.
14 Then you further combine it with your diagnostic testing. And
15 you put all three of those things together to come up with a
16 treatment plan that you then discuss with the patient to see
17 where it is that they fall in that treatment plan.

18 Q. Now Doctor, when you performed the surgery, did you
19 see the herniations in L4-L5 and L5-S1 with your own two eyes?

20 A. I'm just going to refer to the operative report. So
21 in the operative report in the operative findings section, I did
22 indicate that there were disk protrusions with protrusion of
23 annular fibers and herniations at L4-L5 and L5-S1 with stenosis
24 which appeared to be somewhat worse on the left side at L4-L5.

25 Q. On page 2 of 4 of your operative report, under

1 operative findings, it says we did find herniation at L4-L5 and
2 L5-S1; correct?

3 A. Yes.

4 Q. And you saw those with your own two eyes; correct?

5 A. Yes.

6 Q. Do you do these surgeries under magnification?

7 A. Yes.

8 Q. Explain to the jury what that is, this magnification?

9 A. Sure. So if you have ever seen a jeweler working on
10 jewelry or watches, they have these things on their eyes called
11 loops. So they're basically eyeglasses with magnifiers on them.
12 So it is called loop magnification. Sometimes, we use
13 microscopes. But more often, it's just loops and a headlight so
14 that you can illuminate and magnify the area that you're
15 operating on.

16 Q. You've been performing spinal surgeries for over 30
17 years; correct?

18 A. Yes.

19 Q. Do you review all of your own MRIs?

20 A. Yes.

21 Q. OK. Would you rely on what a radiologist tells you
22 without you yourself reviewing the MRI before operating on a
23 patient?

24 A. No.

25 Q. OK. Do you know if any spinal surgeon would ever just

1 operate on a patient just based upon what the radiologist says?

2 MR. SALVATO: Objection.

3 THE COURT: Sustained.

4 BY MR. KAHN:

5 Q. Do all spinal surgeons review their own films?

6 MR. SALVATO: Objection.

7 THE COURT: Sustained.

8 BY MR. KAHN:

9 Q. How many MRI films do you review per year?

10 A. It's got to be over 1,000.

11 Q. So in your entire medical career, it would it be
12 somewhere over 30,000?

13 A. Yes.

14 Q. How long does it take for a fusion to heal?

15 A. Typically, you want to think over your maximum healing
16 at one year.

17 Q. Are you aware that the Bellevue emergency room records
18 indicate that Mr. Chica Torres had tenderness down his entire
19 spine, his cervical, his thoracic, and his lumbar spine?

20 MR. SALVATO: Objection.

21 THE COURT: Sustained.

22 BY MR. KAHN:

23 Q. I want you to assume that the Bellevue Hospital
24 records are in evidence as Plaintiff's Exhibit 8. Tell us what
25 that means, tenderness down the entire spine, cervical, thoracic

1 and lumbar.

2 MR. SALVATO: Objection.

3 THE COURT: Sustained.

4 BY MR. KAHN:

5 Q. You told us that you and Dr. Kolb had disagreed on the
6 interpretation of the films, the lumbar of the March '22 and
7 October of '23. Tell us what the difference was.

8 A. I think the major difference is that when we updated
9 the diagnostic testing, there was also a CT scan which also gave
10 more information about the status of the lower back which was
11 clearer in terms of looking at the spondylolisthesis.

12 Q. Did any of the questions that you received on
13 cross-examination change your opinion that the accident of
14 February 11, 2022 was the cause of the herniations in
15 Mr. Torres's body at C5-C6, L4-L5, L5-S1 and the need for
16 surgery to the lower back?

17 THE COURT OFFICER: Objection.

18 THE COURT: Sustained.

19 Q. Are you still of the opinion the accident of
20 February 11, 2022 is the competent producing cause of the
21 herniations at L4-L5 and L5-S1?

22 A. Yes.

23 Q. And you're still of the opinion that the accident of
24 2/11/2022 are the competent producing cause of the need for
25 surgery that you performed in March of 2024?

1 A. Yes.

2 MR. KAHN: I have nothing further.

3 THE COURT: Recross.

4 MR. SALVATO: No, Your Honor?

5 THE COURT: You may step down.

6 THE WITNESS: Thank you, Your Honor.

7 (Whereupon the witness exited the courtroom.)

8 THE COURT: Do we have anybody else or we have to wait
9 until 2 o'clock? 2 o'clock.

10 The next witness, ladies and gentlemen, is going to be
11 testifying remotely, not coming to the courtroom to
12 testify. With that in mind, we'll break now until
13 2 o'clock.

14 Ken, try to get them back here, even at 5 to 2 if
15 possible. We have a long lunch break. So, I want to get
16 started at 2 o'clock if at all possible.

17 Keep an open mind. Don't discuss the case. Don't do
18 any research. Don't speak to any of us. We will finish
19 with the second witness in the afternoon. Have a good
20 lunch.

21 THE COURT OFFICER: All rise. Jury exiting.

22 (Whereupon the jury exited the courtroom and the
23 luncheon recess was held.)

24 (continued on the next page.)

25